

Page 1	Page 3
<p>UNITED STATES DISTRICT COURT CENTRAL DISTRICT OF CALIFORNIA CAUSE NO. 2:13cv2700-GHK(SS)</p> <p>SIDNEY CARTER, Plaintiff,</p> <p>-vs-</p> <p>ELI LILLY AND COMPANY, an Indiana corporation, Defendant.</p> <p>30(b)(6) VIDEO DEPOSITION OF CHRISTINE PHILLIPS, Ph.D.</p> <p>The deposition upon oral examination of CHRISTINE PHILLIPS, Ph.D., a witness produced and sworn before me, Amy Doman, CSR, RPR, CRR, Notary Public in and for the County of Hamilton, State of Indiana, taken on behalf of the Plaintiff, at the offices of COHEN & MALAD, LLP, One Indiana Square, Suite 1400, Indianapolis, Indiana on Friday, July 18, 2014, pursuant to the Federal Rules of Civil Procedure.</p>	<p>1 APPEARANCES CONTINUED: 2 Dawne Davis 3 ELI LILLY & COMPANY 4 Lilly Corporate Center 5 Indianapolis, Indiana 46285 6 Phone: 317-651-2925 7 ddavis@lilly.com 8 9 VIDEOGRAPHER: Sara Williams 10 11 EXAMINATION INDEX 12 WITNESS: Christine Phillips, Ph.D. 13 BY MR. O'BRIEN (page-6) 14 15 16 17 18 19 20 21 22 23 24</p>
Page 2	Page 4
<p>1 APPEARANCES 2 FOR THE PLAINTIFF(S): 3 Kevin O'Brien 4 POGUST BRASLOW & MILLROOD, LLC 5 .8 Tower Bridge, Suite 1520 6 .161 Washington Street 7 Conshohocken, Pennsylvania 19428 8 Phone: 610-941-4204 9 kobrien@pbmattorneys.com 10 11 FOR THE DEFENDANT(S): 12 Phyllis Jones 13 Jaclyn Martinez Resley 14 COVINGTON & BURLING, LLP 15 .1201 Pennsylvania Avenue, NW 16 Washington, D.C. 20004 17 Phone: 202-662-5662 18 pajones@cov.com 19 20 21 22 23 24</p>	<p>1 EXHIBIT INDEX 2 Exhibit 1 Notice (page-10) 3 4 Exhibit 2 Resume (page-96) 5 6 Exhibit 3 Cymbalta label changes 7 (page-181) 8 9 Exhibit 4 Discontinuation warning for 10 Cymbalta since 2004 (page-182) 11 12 Exhibit 5 May 2007 label changes 13 (page-209) 14 15 Exhibit 6 October 2007 label changes 16 (page-209) 17 18 Exhibit 7 Defendant's responses and 19 objections to plaintiff's 30(b)(6) notice 20 (page-212) 21 22 23 24</p>

1 THE VIDEOGRAPHER: We are now
2 on the video record. This is the video
3 deposition of Christine Phillips taken by
4 the plaintiff in the matter of Sidney
5 Carter versus Eli Lilly & Company, in
6 the United States District Court,
7 Central District of California, Case
8 No. 2:13cv2700-GHK.

9 This deposition is being held
10 at the law offices of Cohen & Malad,
11 One Indiana Square, Suite 1400,
12 Indianapolis, Indiana, on Friday,
13 July 18th, 2014, at 8:56 a.m.

14 I am Sara Williams, the
15 videographer. The court reporter is Amy
16 Doman. We represent James DeCrescenzo
17 Reporting at 1880 John F. Kennedy
18 Boulevard, Philadelphia, Pennsylvania.
19 Counsel will now introduce themselves and
20 state whom they represent.

21 MR. O'BRIEN: Kevin O'Brien on
22 behalf of plaintiffs.

23 MS. JONES: Phyllis Jones on
24 behalf of Eli Lilly and Company.

1 BY MR. O'BRIEN:
2 Q. Hello, Dr. Phillips.
3 A. Hello.

4 Q. We had an opportunity to
5 introduce each other earlier today. My
6 name is Kevin O'Brien. I represent the
7 plaintiffs in this action against Eli
8 Lilly.

9 Could you please state your
10 full name?

11 A. Christine Ann Phillips.

12 Q. And would you provide me your
13 address?

14 MS. JONES: I'm sorry, do you
15 want her work address?

16 BY MR. O'BRIEN:

17 Q. Business address would be fine.

18 A. Okay. Lilly Corporate Center,
19 Drop Code 2653, Indianapolis, Indiana,
20 46285.

21 Q. And your current employer?

22 A. Eli Lilly.

23 Q. And your current title?

24 A. Advisor, global regulatory

1 MS. MARTINEZ RESLY: Jaclyn
2 Martinez Resly on behalf of Eli Lilly and
3 Company.

4 THE VIDEOGRAPHER: The reporter
5 will now swear in the witness.

6 CHRISTINE PHILLIPS, Ph.D.,
7 having been duly sworn to tell the truth,
8 the whole truth, and nothing but the
9 truth relating to said matter, was
10 examined and testified as follows:

11 DIRECT EXAMINATION

12 BY MR. O'BRIEN:

13 Q. Hello, Dr. Phillips.

14 MR. O'BRIEN: Just one thing
15 for the record, before we get started. I
16 just want to put on the record that this
17 case is also being noticed in the Hixon
18 versus Eli Lilly and the Herrera versus
19 Eli Lilly, the accompanying California
20 cases. Do you understand that to be
21 true?

22 MS. JONES: Yes, I understand
23 that.

24 MR. O'BRIEN: Okay.

1 affairs.

2 Q. Have you ever been deposed
3 before?

4 A. I have.

5 Q. How many times have you been
6 deposed before?

7 A. Once.

8 Q. How recently was that
9 deposition?

10 A. That was in December of 2013.

11 Q. In what context were you
12 deposed?

13 A. I was deposed as a 30(b)(6)
14 witness on behalf of Lilly in another
15 product liability case.

16 Q. Did it involve Cymbalta?

17 A. It did not. It involved
18 Prozac.

19 Q. Do you know if you have copies
20 of your deposition transcripts?

21 A. I do not.

22 Q. Do you know if they exist
23 somewhere that you're aware of?

24 A. Yes.

1 Q. Where do they exist?
 2 A. I believe Lilly legal has them
 3 as well as Pepper Hamilton, which was the
 4 external counsel on that case.
 5 Q. And that was my next question.
 6 In that matter you were represented by
 7 Pepper Hamilton?
 8 A. Yes.
 9 Q. Do you remember the name of the
 10 counsel from Pepper Hamilton that you were
 11 represented by?
 12 A. Andy Kantra and John, began
 13 with a B, his last name.
 14 Q. Are they local counsel?
 15 A. No, they're based out of
 16 Philadelphia, I believe.
 17 Q. I know you probably understand
 18 the basic instructions of depositions.
 19 And I just want to go over them again
 20 with you. I'm just going to ask that
 21 you let me finish my question before you
 22 provide a response. And I'll extend the
 23 same courtesy. If you do not understand
 24 my question, just let me know and I'll

1 BY MR. O'BRIEN:
 2 Q. Dr. Phillips, let me know when
 3 you've finished taking a look at the
 4 document.
 5 A. Yes.
 6 Q. Have you seen this deposition
 7 notice before?
 8 A. I have.
 9 Q. This deposition notice relates
 10 to areas of regulatory issues. Are you
 11 familiar with that area at Eli Lilly?
 12 A. Yes, I am.
 13 Q. Would you mind turning to
 14 page .8.
 15 A. I'm there, yes.
 16 Q. This begins a list of 11 topics
 17 that you have been designated by Eli
 18 Lilly to testify in the company's behalf.
 19 Would you please take a moment to review
 20 them if you haven't done so already.
 21 A. I have already done so.
 22 Q. Are you prepared to address
 23 each and every one of the topics
 24 addressed on page .8 and 9 of the

1 repeat it or rephrase it as necessary.
 2 If at any time you need to take a break,
 3 please let me know. The only caveat is
 4 that I'd ask you to finish your question
 5 if there's any pending questions. Does
 6 that sound fair?
 7 A. Yes.
 8 Q. Are you on any medication or is
 9 there anything that would impair your
 10 judgment today?
 11 A. No.
 12 Q. I'd like to mark the 30(b)(6)
 13 notice for regulatory affairs. Here you
 14 go, Dr. Phillips. Will you take a chance
 15 to review that document.
 16 (Plaintiff's Exhibit-1 was
 17 marked for identification.)
 18 MS. JONES: Do you have a copy
 19 for us, Kevin?
 20 MR. O'BRIEN: Yes, sorry,
 21 Phyllis.
 22 MS. JONES: No problem.
 23 MR. O'BRIEN: I wasn't
 24 expecting so many attorneys.

1 deposition notice?
 2 A. Yes, I am.
 3 MS. JONES: And let me just
 4 note for the record, Counsel, that Dr.
 5 Phillips is testifying today subject to
 6 the written objections and responses that
 7 we served on the 30(b)(6) notices on, I
 8 think, May the 23rd, 2014, and I'll mark
 9 those at the end of the deposition.
 10 BY MR. O'BRIEN:
 11 Q. Do you understand you're here
 12 as a 30(b)(6) deponent?
 13 A. I do.
 14 Q. And you understand that you're
 15 giving testimony as the voice of the
 16 corporation?
 17 A. Yes, I do.
 18 Q. And you're not appearing here
 19 -- strike that.
 20 You're not testifying here
 21 individually as Dr. Christine Phillips.
 22 Do you understand that?
 23 A. Yes, I do.
 24 Q. And do you understand by being

1 designated by Eli Lilly, it requires you
 2 to testify about all information known or
 3 reasonably known to Eli Lilly?
 4 A. Yes.
 5 Q. Are you the person with the
 6 most knowledge at Eli Lilly regarding
 7 regulatory affairs?
 8 MS. JONES: Objection to the
 9 form. You can answer.
 10 A. Well, there are a number of
 11 folks in our regulatory affairs
 12 department. I am fairly experienced, so
 13 I know quite a bit about it.
 14 BY MR. O'BRIEN:
 15 Q. Would you mind providing me
 16 some names of other people who have a
 17 strong knowledge base of regulatory
 18 affairs in your department?
 19 MS. JONES: Same objection.
 20 A. Our vice president, Dr. Robert
 21 Metcalf. Are you referring specifically
 22 to U.S. regulatory affairs or --
 23 BY MR. O'BRIEN:
 24 Q. Let's start with U.S. regulatory

1 agencies concerning Cymbalta."
 2 A. Okay.
 3 Q. Can you give me the names of
 4 people that are most knowledgeable in that
 5 area?
 6 A. Could you clarify? Are you
 7 asking about the divisions within
 8 regulatory?
 9 Q. Yes, individuals.
 10 A. Okay.
 11 Q. Individuals within regulatory.
 12 A. Okay. At present day or --
 13 Q. Present day.
 14 A. Present day. Okay. Well, I
 15 am the regulatory advisor responsible for
 16 Cymbalta currently in the U.S., although I
 17 am moving into a new position shortly. I
 18 report to Carl Garner, who is the senior
 19 director for the business -- bio-medicines
 20 business unit, which includes neuroscience.
 21 He reports to Dr. Robert Metcalf, who is
 22 the vice president of U.S. regulatory
 23 affairs. The other people within
 24 regulatory that work on Cymbalta include

1 affairs and then we'll move to global.
 2 A. Okay. We have several senior
 3 directors that report to Dr. Metcalf in
 4 various positions, including CMNC,
 5 different therapeutic areas, advertising,
 6 promotional policy.
 7 Q. Could you start with the
 8 therapeutic areas if they relate to
 9 Cymbalta?
 10 A. Okay. We have our
 11 bio-medicines business unit. The senior
 12 director there is Dr. Carlos Garner. And
 13 then there's several individuals that
 14 report to him across the bio-medicines
 15 business unit; for neuroscience, Robin
 16 Wojcieszek, Janice Hitchcock, and there's
 17 a few others, but those are probably the
 18 two most experienced.
 19 Q. Okay. Let's do this by topic.
 20 Would you take a look at Topic No. 1 on
 21 page .8. The testimony -- strike that.
 22 "Testimony on the organization internal
 23 structure of all divisions of defendant
 24 wherein employees deal with regulatory

1 Sara Mescher, she is in our global
 2 labeling department. We do have
 3 regulatory chemistry manufacturing and
 4 controls, CMC. I'm actually trying to
 5 remember who that is. We're in
 6 late-stage development. I'm not certain
 7 who that person is. From an advertising
 8 and promotional perspective, that is Jo
 9 Secnik.
 10 Q. Jo, how do you spell Secnik?
 11 A. S-e-c-n-i-k.
 12 Q. And what's Jo's title?
 13 A. I believe it's advisor or
 14 senior manager in global regulatory
 15 affairs-U.S. advertising and promotions.
 16 Q. Is he assigned Cymbalta among
 17 other different drugs?
 18 A. It's a she, Josephine, actually.
 19 Q. Oh, sorry.
 20 A. I believe she does have
 21 responsibility for other molecules.
 22 Q. And let's go to Topic No. 2.
 23 A. Okay.
 24 Q. "Testimony on the name, address,

1 education, training, selection, job title,
2 and responsibility of defendant's employees
3 whose job includes dealing with regulatory
4 agencies concerning Cymbalta."

5 Can you list other individuals
6 that would have knowledge regarding that
7 topic?

8 A. Not beyond the ones I just
9 listed for you. There is one person I
10 did not include that -- a regulatory
11 associate, Victoria Papademas is also
12 involved. She works with our registration
13 group who actually submits a lot of the
14 electronic documents to FDA.

15 Q. Would you spell Victoria's last
16 name?

17 A. P-a-p-a-d-e-m-a-s.

18 Q. And would all the individuals
19 that you listed under Topic 1 be
20 knowledgeable on Topic 2?

21 A. Yes.

22 Q. Can you turn to -- sorry.
23 With regard to Topic No. 3.

24 A. Uh-huh.

1 you direct me to?

2 A. Well, pretty much anybody in
3 regulatory affairs will have knowledge of
4 these written procedures. That's part of
5 our training program, which we have a
6 pretty robust training program. We do
7 have quality directors that oversee that
8 area.

9 Q. How about names of individuals
10 that were responsible for creating those
11 standard operating procedures or that are
12 responsible for training people on those
13 standard operating procedures?

14 A. There are a variety of folks.
15 We have people in our quality group who
16 oversee and then different subject matter
17 experts contribute to those policies and
18 procedures. They are ultimately approved
19 by Robert -- Dr. Robert Metcalf, our vice
20 president, or senior directors, such as
21 Nancy Allen, who is responsible for
22 operations and labeling within U.S.
23 regulatory affairs, Nikki Mehringer
24 oversees the quality systems within

1 Q. "Testimony to identify written
2 standard operating procedures pertaining to
3 defendant's regulatory affairs."

4 Same line of questioning, who
5 are individuals who would have knowledge
6 on this topic?

7 A. Well, anybody who is in
8 regulatory affairs is required to review
9 standard operating procedures that relate
10 to our day-to-day job and interactions
11 with regulatory agencies.

12 Q. Who would have the most
13 knowledge regarding that topic?

14 MS. JONES: Objection to the
15 form; vague. You can answer.

16 A. I'm not sure I follow. Do you
17 mean the director of the quality systems
18 who is responsible for the LGPs or --

19 BY MR. O'BRIEN:

20 Q. If I wanted to speak to
21 somebody other than yourself regarding
22 testimony to identify written standard
23 operating procedures pertaining to
24 defendant's regulatory affairs, who would

1 regulatory.

2 Q. Now, let's turn our attention,
3 Topic No. 4. "Testimony on the policy and
4 procedures of defendants for creating,
5 editing, reviewing, revising, and filing
6 all materials, documents, studies, or
7 communications with regulatory agencies
8 concerning Cymbalta."

9 Can you name some individuals
10 that are involved with Topic No. 4?

11 A. Those are basically the same
12 people as what I discussed for Section 3.
13 The policies and procedures are created by
14 those individuals. And then within
15 regulatory affairs, we are required to be
16 trained and to understand those SOPs.

17 Q. And that's fair enough. I
18 understand there's going to be overlap.
19 So we'll just keep on going down the
20 list.

21 A. Okay.

22 Q. Topic No. 5, "Testimony on the
23 preparation and attendance of defendant at
24 advisory committee hearings held by the

1 FDA, if any, concerning Cymbalta and all
 2 issues involved in such hearings."
 3 I want to start with
 4 individuals who may have attended or
 5 prepared for the attendance at an advisory
 6 committee meeting related to Cymbalta. Can
 7 you list those names and individuals and
 8 their titles for me, please?
 9 A. Yes. Well, I am the
 10 subject-matter expert within our group for
 11 preparing for advisory committee meetings
 12 for FDA. There are other individuals who
 13 serve in that capacity. Jane Amos, she
 14 is with our submission, approval, and
 15 expert network, as well as Shelly Rickert,
 16 who is also involved in that capacity. I
 17 was involved with the team that was
 18 preparing for Cymbalta advisory committee
 19 meeting, although I was there as a
 20 consultant around process for that
 21 particular advisory committee meeting. So
 22 then within Lilly was a team of
 23 individuals working on Cymbalta that were
 24 actually at the FDA advisory committee

1 name?
 2 A. K-u-n-t-z.
 3 Q. And what is Michael Robinson's
 4 title?
 5 A. He was a senior medical
 6 director for Cymbalta.
 7 Q. Do you have an understanding of
 8 how many advisory committee meetings were
 9 held for Cymbalta?
 10 A. Yes, there was only one.
 11 Q. And would you go through
 12 Claire's title and Matthew's title?
 13 A. Okay. Matthew was a principal
 14 consultant within regulatory affairs.
 15 Claire, I think she was -- her title is
 16 senior project management advisor.
 17 Q. Are all the individuals you
 18 just listed still with the company?
 19 A. No, they are not.
 20 Q. Who is still there and who is
 21 not?
 22 A. Dr. Baker is still there. Dr.
 23 Robinson is not. Dr. -- Dr. Vladimir
 24 Skljarevski is there, Claire Farrand is

1 meeting.
 2 Q. Can you identify that team and
 3 their titles?
 4 A. Yes, Dr. Robert Baker was the
 5 executive sponsor for the team. Dr.
 6 Michael Robinson was one of the main --
 7 he was the main speaker at the advisory
 8 committee meeting. There was Dr. Vladimir
 9 Skljarevski, and I'm probably pronouncing
 10 that incorrectly, and, no, I cannot spell
 11 it. He was our safety physician. And
 12 then we had an external expert, who I
 13 don't remember that individual's name.
 14 And then Claire Farrand was the project
 15 manager coordinating the internal team and
 16 there were several others involved.
 17 Q. How do you spell Claire's last
 18 name?
 19 A. F-a-r-r-a-n-d.
 20 Q. Okay.
 21 A. And then Matthew Kuntz was the
 22 regulatory scientist lead on Cymbalta at
 23 that time.
 24 Q. And how do you spell his last

1 there, Matt Kuntz is not.
 2 Q. With regard to Dr. Robinson,
 3 Matt Kuntz, do you know where they --
 4 where they went?
 5 A. I don't know where Dr. Robinson
 6 is. I know Matt Kuntz is with AbbVie.
 7 Q. Do you know where he is --
 8 where he is physically located?
 9 A. I believe in the Chicago area.
 10 Q. And you mentioned you didn't --
 11 not know where Dr. Robinson went, correct?
 12 A. That's correct.
 13 Q. Now, the titles that you
 14 reference attached to these individuals,
 15 do they -- the ones that are still at
 16 Lilly, do they still have the same role
 17 and job responsibilities?
 18 A. Claire does. Dr. Robert Baker
 19 is now -- I think he's senior vice
 20 president over global patient safety. So
 21 that is the change from that position.
 22 And Vladimir, I believe, has been promoted
 23 but is fundamentally in the same role.
 24 Q. I'll go back and ask more

1 questions regarding the advisory committee
 2 meetings. But do you have an indication
 3 of when that occurred?
 4 A. I think it was 2010. It was
 5 around our chronic pain indications.
 6 Q. And, Doctor, would you mind
 7 taking a look at Topic No. 6? It says,
 8 "Testimony on all areas of safety and
 9 efficacy discussed between regulatory
 10 agencies and defendant concerning
 11 Cymbalta."
 12 Would it be some of the names
 13 you mentioned earlier, are there
 14 additional names that you can think of?
 15 A. Yes, I mean, there were
 16 previous regulatory scientists, such as
 17 myself, that were involved with the
 18 development of Cymbalta.
 19 Q. Could you list some of those
 20 names?
 21 A. Yes, Matt Kuntz, Isabelle
 22 Murray, Bryan Boggs, Ann Sakai now
 23 Robbins, Sharon Hoog.
 24 MS. JONES: Hoog is H-o-o-g.

1 A. She only supported Cymbalta for
 2 a short period of time. So let me go
 3 backwards if that would help. So I've
 4 been responsible for Cymbalta since August
 5 of 2013. Rich Hoffman was responsible
 6 for about a year before that. Prior to
 7 that was Isabelle, and I think she only
 8 was responsible for about six months.
 9 And then prior to that was Bryan Boggs,
 10 he had Cymbalta for several years,
 11 including through the chronic pain
 12 submission and approval. So I think he
 13 goes back quite a ways. And then Ann
 14 Sakai and Sharon Hoog were responsible for
 15 the molecule in the early 2000s through
 16 -- 2004 for Dr. Hoog and up until the
 17 transition to Bryan for Dr. Sakai.
 18 Q. Is Dr. Hoog still with the
 19 company?
 20 A. She is.
 21 Q. Do you know what her current
 22 role is now?
 23 A. Yes, she is a senior physician
 24 with global patient safety.

1 A. And then Richard Hoffman.
 2 BY MR. O'BRIEN:
 3 Q. Richard Hoffman. Doctor, do
 4 you know if you recently put together a
 5 list of those people that were involved
 6 in the development of Cymbalta?
 7 A. Yes.
 8 Q. Do you have the list available
 9 with you today?
 10 A. I do not.
 11 Q. With all the names that you
 12 just mentioned, could you go back and
 13 tell me their titles and their job
 14 responsibilities?
 15 A. They -- so our job titles do
 16 vary by level. And so I'm not sure if I
 17 can get all of them correct.
 18 Q. It doesn't have to be exact.
 19 A. Okay.
 20 Q. Just --
 21 A. Isabelle is a principal
 22 consultant.
 23 Q. During what time period was
 24 this?

1 Q. Does she still have any
 2 responsibilities as it relates to
 3 Cymbalta?
 4 A. She does not.
 5 Q. Would you take a look at
 6 Topic 7 now. "Testimony on communication
 7 between the FDA and defendant concerning
 8 Cymbalta advertisements, promotional
 9 materials, or communications to the public
 10 or physicians."
 11 A. Yes.
 12 Q. And I think you provided me the
 13 name of the person that's responsible for
 14 advertising earlier.
 15 A. Yes.
 16 Q. Will you --
 17 A. Currently just --
 18 MS. JONES: I'm sorry, Dr.
 19 Phillips. When you say "responsible for
 20 advertising," you mean in the global
 21 regulatory affairs context?
 22 MR. O'BRIEN: Correct.
 23 A. Currently that's Josephine
 24 Secnik.

1 BY MR. O'BRIEN:
 2 Q. Do you know who reports to
 3 Josephine?
 4 A. I don't believe she has anybody
 5 that reports to her directly.
 6 Q. So she handles all the Cymbalta
 7 advertisements on a day-to-day basis?
 8 A. Yes, and currently there's none
 9 or very little.
 10 Q. Is that her only job --
 11 A. No, it's not.
 12 Q. -- or is she -- and what are
 13 her responsibilities as they relate to
 14 Cymbalta advertisements?
 15 A. In that role, the GRAUS
 16 advertising and promotional, she is --
 17 would -- is -- excuse me -- is
 18 responsible for reviewing any promotional
 19 materials that are created by our
 20 marketing department to ensure compliance
 21 with our SOPs, with FDA regulations,
 22 consistency with our label, and she would
 23 be part of our formal review process that
 24 includes regulatory, medical, and legal.

1 Q. Just to understand the process,
 2 once Josephine receives a prospective
 3 advertisement from the marketing
 4 department, she takes a look, she sees if
 5 it's compliant or not. If she makes a
 6 determination if it's compliant, is there
 7 another review that goes on before it
 8 gets submitted to the office of
 9 prescription drugs?
 10 A. Yes. She will make the initial
 11 determination, suggest revisions, if
 12 necessary, to bring the piece into
 13 compliance, and then it does go through a
 14 legal, medical, regulatory review that the
 15 acronym is PCA, it's prepare, create, and
 16 advise. I apologize. But there is a
 17 formal process. And that is the ultimate
 18 approval prior to submission to the agency
 19 and implementation of the materials.
 20 Q. Now, part of the PCA --
 21 A. Yes.
 22 Q. Lilly loves acronyms.
 23 A. We do.
 24 Q. What individuals would

1 Q. When you mean "formal review
 2 process," is it my understanding that
 3 Josephine takes a look at the label from
 4 marketing to see if there's -- if it
 5 needs to be changed or altered and then
 6 there is a team that kind of does a
 7 final approval?
 8 MS. JONES: Objection to the
 9 form. Go ahead.
 10 BY MR. O'BRIEN:
 11 Q. She's going to object from time
 12 to time. If she doesn't want you to
 13 answer, believe me, she'll let you know.
 14 A. Yes. Josephine, in her role,
 15 that role is not to change the label.
 16 The role is to ensure compliance with the
 17 label and that the marketing materials are
 18 appropriately in compliance. And then,
 19 also, she is responsible for regulatory
 20 submissions to the office of prescription
 21 drug -- OPDPM. I can't remember all the
 22 words. But they are the division of FDA
 23 that is responsible for promotional
 24 review.

1 participate in a PCA review?
 2 A. It will include the three
 3 functions that I listed; regulatory
 4 affairs, including the senior director,
 5 Dr. Michele Sharp; legal, I don't know --
 6 I believe -- I'm not sure who the legal
 7 representative is on the group. But
 8 there would be at least one lawyer
 9 involved with that review that's familiar
 10 with the product; and then medical would
 11 include the brand medical, U.S. medical,
 12 currently the senior director for U.S.
 13 medical is Dr. Elizabeth Brunner.
 14 Q. And does Dr. Elizabeth Brunner
 15 have responsibilities for Cymbalta?
 16 A. Yes, as she is the U.S.
 17 affiliate responsible -- senior medical
 18 director.
 19 Q. Do you know who held
 20 Josephine's position before she did?
 21 A. I believe, and I'm not entirely
 22 positive, it was Dr. John Camacho.
 23 Q. Do you have a time -- do you
 24 have a time frame of when he held that

1 position?
 2 A. Not an exact one. I'd say,
 3 maybe 2010 to 2013, but I'm guessing
 4 honestly.
 5 Q. Do you have any idea who held
 6 the position before John?
 7 A. I don't.
 8 Q. Is Josephine a physician?
 9 A. No, she's not.
 10 Q. Do you understand what her
 11 background is?
 12 A. I don't.
 13 Q. Once it goes through the PCA
 14 review, who is the individual that's
 15 responsible for submitting the
 16 advertisement to the office of
 17 prescription drugs?
 18 A. It would be Josephine in this
 19 case.
 20 Q. And what was the title of her
 21 position?
 22 A. She's a principal consultant.
 23 Q. And what department was that?
 24 A. Global regulatory affairs-United

1 United States prescribing information or,
 2 for example, the European summary product
 3 characteristics. The core data sheet is
 4 created by the Cymbalta team, which would
 5 include physician, statistician, global
 6 patient safety, regulatory affairs,
 7 labeling.
 8 Q. Just to slow you down.
 9 A. Okay.
 10 Q. Can you explain to a jury what
 11 a "core data sheet" is?
 12 A. Okay. It is a document, it's
 13 created in compliance with CIOMS'
 14 guidance. It's an international council
 15 that provides guidance on the structure of
 16 such documents. And it includes all the
 17 -- all the safety information around the
 18 product as well as efficacy information.
 19 And just to clarify, when I say "all," it
 20 is succinct, it is -- you know, it
 21 captures all the safety concepts
 22 associated with the product and it is the
 23 foundation for all local labeling. So
 24 it's the core but then the local labeling

1 States, advertising and promotions.
 2 Q. Are you aware of a contact
 3 person at the FDA who would receive that
 4 advertisement?
 5 A. I do not.
 6 Q. The next topic, "Testimony on
 7 communication" -- actually, never mind.
 8 Strike that. We just covered that.
 9 Topic No. 8, will you take a
 10 look?
 11 A. Yes.
 12 Q. "Testimony on the organization
 13 and internal structure divisions of
 14 defendant where employees deal with
 15 Cymbalta labeling."
 16 Are you able to talk about that
 17 organizational structure?
 18 A. Yes.
 19 Q. Can you give me an overview?
 20 A. Okay. We -- when creating
 21 labeling, we first create a core data
 22 sheet and then the core data sheet forms
 23 the foundation for all local labeling,
 24 which would include in this case the

1 implements the core according to their
 2 local regulations. So there may be
 3 variance between local labels but they
 4 have to be consistent with the core data
 5 sheet.
 6 Q. And who creates the core data
 7 sheet?
 8 A. It's created as a team. The
 9 global labeling department is the one --
 10 is the group responsible for creating and
 11 maintaining the core data sheet.
 12 Q. Now, within the global labeling
 13 department, is there a Cymbalta team or
 14 do they move from product to product?
 15 A. There is not a Cymbalta team
 16 within labeling. The associates in that
 17 department are assigned to specific
 18 products. And they may include more than
 19 one.
 20 Q. Is it broken down by
 21 neuroscience or --
 22 A. Generally speaking, yes.
 23 Q. Is the global -- strike that.
 24 Where is the global labeling

1 team located?
 2 A. The global team is -- are
 3 located here in Indianapolis at Lilly
 4 Corporate Center.
 5 Q. Who -- strike that.
 6 Do you know the individuals
 7 that are on the global labeling team as
 8 it relates to Cymbalta?
 9 A. Currently, that is Sara Mescher.
 10 Q. And what's her position?
 11 A. I believe she is a principal
 12 consultant.
 13 Q. And who else would be on that
 14 team?
 15 A. She -- Barbara Brown is the
 16 assistant that works on Cymbalta labeling
 17 as well.
 18 Q. Is she an assistant and
 19 principal or just an assistant?
 20 A. I don't know her exact title.
 21 It is -- I think she might actually be
 22 an associate. She is at a lower level
 23 than Sara.
 24 Q. Okay. Is there anybody else on

1 labeling team per se. It's individuals
 2 from labeling who are part of the team,
 3 just like there's individuals from
 4 regulatory, from medical, from patient
 5 safety.
 6 Q. Fair enough. What is a
 7 Cymbalta product team?
 8 A. The product team, it is a
 9 cross-functional group that's responsible
 10 for developing Cymbalta and the clinical
 11 program, conducting the clinical program,
 12 the approval of the initial indication as
 13 well as subsequent indications as well as
 14 the marketing aspects of the product. So
 15 because we're no longer actively
 16 developing Cymbalta, and the drug is off
 17 patent, that team is much smaller. We
 18 really are not doing any advertising at
 19 this point in time. So by virtue of that,
 20 the team is smaller now than it would
 21 have been in 2004.
 22 Q. And does the Cymbalta product
 23 team, does that fall under regulatory?
 24 A. No, like I said, it's a

1 that team?
 2 A. No.
 3 Q. Back -- if we go back in time
 4 to 2004 when Cymbalta was first on the
 5 market, would it have been a much larger
 6 team?
 7 A. The product team, which by that
 8 I mean the Cymbalta product team, which
 9 is cross-functional, yes, the team was
 10 larger at that point in time.
 11 Q. Okay. As far as individuals or
 12 teams responsible for labeling with
 13 Cymbalta, you have the global labeling
 14 team, which has Sara and Barbara, Barbara
 15 Brown and Sara Mescher?
 16 A. Mescher.
 17 Q. Mescher. Are there any other
 18 teams that are responsible for Cymbalta
 19 labeling?
 20 A. No. I mean, it's -- when I --
 21 it's hard because we use teams in
 22 multiple different ways. So we have a
 23 core team or a product team of which Sara
 24 is a member. So there's not really a

1 cross-functional --
 2 Q. Oh, cross-functional.
 3 A. -- team and ultimately, you
 4 know, so their members, it's a matrix
 5 organization, so we have a product team
 6 leader that reports up through the vice
 7 president of the business unit and people
 8 come from different functions. So, for
 9 instance, me in regulatory, I report up
 10 through the regulatory department. I
 11 don't report up to the product team. And
 12 that would be true for the various
 13 functions, statistics, project management.
 14 Q. Fair enough. Could you give me
 15 a picture of the Cymbalta product team
 16 now as far as the individuals, the
 17 departments that are involved and what
 18 those individuals' responsibilities are
 19 with regard to the product team? And
 20 then we'll go back in time and see how
 21 it's different.
 22 A. Okay. Well, there's a global
 23 senior medical director, that is Rodrigo
 24 Escobar. We have a chief operating --

1 Q. Sorry, I just want to go one
 2 by one what they do.
 3 A. Okay. Sorry. He -- actually,
 4 we have a slightly different structure now
 5 at Lilly than we did back in 2004. So
 6 Dr. Escobar is responsible for the
 7 neuroscience platform.
 8 Q. And what does that mean?
 9 A. That means all of the approved
 10 neuroscience products, so, for instance,
 11 that would include Cymbalta, Zyprexa,
 12 Prozac, Symbyax, Strattera.
 13 Q. And when we talk about the
 14 Cymbalta product team, let's talk about
 15 how -- what specifically they do for
 16 Cymbalta.
 17 A. Okay.
 18 Q. Just to streamline things.
 19 A. There is no Cymbalta product
 20 team anymore.
 21 Q. Okay.
 22 A. Because of the structure that
 23 we currently employ, it's the neuroscience
 24 platform.

1 what they do.
 2 A. Okay. Laura Cox-Heuer,
 3 H-e-u-e-r, is the chief operating officer
 4 for the neuroscience platform. So she has
 5 the same responsibilities, if you will, as
 6 Dr. Escobar. I mean, she functions in a
 7 different capacity. She's kind of like
 8 the project manager in charge, making sure
 9 things get done as needed.
 10 Q. And what are her day-to-day
 11 responsibilities on that team?
 12 A. It's operations, making sure
 13 things get done that need to get done,
 14 that clinical trial, if there are issues,
 15 that they're getting addressed. We have
 16 regulatory responses to agencies, getting
 17 the right personnel in the room, the
 18 right resources to get that done.
 19 Q. What type of -- can you give
 20 me examples of what type of regulatory
 21 responses would need to take place on
 22 that team?
 23 MS. JONES: Objection to the
 24 form. Go ahead.

1 Q. Okay. Perfect.
 2 A. So that's what Dr. Escobar is
 3 responsible for of which that includes
 4 Cymbalta.
 5 Q. Okay.
 6 A. So there's -- with the -- there
 7 are people dedicated to working on
 8 Cymbalta, but they also likely have other
 9 products. And that's true for most
 10 functions.
 11 Q. Okay. So how about we go
 12 through it the way you originally wanted
 13 to do it.
 14 A. Okay. I'm sorry. I'm not
 15 sure what you wanted.
 16 Q. Okay. You had the global
 17 science and medical officer, right, with
 18 Rodrigo Escobar?
 19 A. He is the senior medical
 20 director from a global --
 21 Q. Senior medical director. And
 22 then let's go next with the next --
 23 A. Okay.
 24 Q. -- department individual and

1 A. Okay. An example, more -- just
 2 very recently was FDA has created class
 3 labeling for all antidepressants regarding
 4 angle-closure glaucoma. So when FDA sent
 5 that letter to us, we had a team, a
 6 regulatory response team get together to
 7 review that request, to look at our core
 8 data sheet, to look at our label to see
 9 if that was consistent with our core data
 10 sheet, which it was. This has been a
 11 labeled warning for Cymbalta for some
 12 time. Actually, it's a contraindication.
 13 So what FDA was doing was fundamentally
 14 harmonizing the language across multiple
 15 labels. So that team reviewed that,
 16 responded to FDA accordingly.
 17 BY MR. O'BRIEN:
 18 Q. And other than Rodrigo and
 19 Laura, who are other members on the team?
 20 A. We do have various project
 21 managers, including Dan White. He's the
 22 primary project manager I deal with on
 23 regulatory matters. I have a colleague
 24 in European regulatory, Beth Heaviside.

1 Q. And what are Dan White's
2 day-to-day responsibilities on that team?

3 A. He's an extension of Laura and
4 is really -- you know, he's scheduling
5 meetings, getting people in a room,
6 getting timelines together, making sure
7 that the individual projects are getting
8 done, an example of which is just the
9 angle-closure glaucoma that I just
10 mentioned.

11 Q. And then there's some -- you
12 were about to mention some European
13 counterparts?

14 A. Yes, European regulatory, that
15 is Beth Heaviside, H-e-a-v-i-s-i-d-e.
16 Because we do like global interactions.
17 Statistics would be Na Cai, C-a-i, and
18 Yoko Tanaka, T-a-n-a-k-a. We have lots
19 of -- Iris Ferchland-Howe is our clinical
20 project manager. She is based in
21 Belgium. Do you want me to spell that
22 too? Iris is I-r-i-s, her last name is
23 F-e-r-c-h-l-a-n-d hyphen H-o-w-e.
24 Q. And, Doctor, what type of --

1 interaction between the product team and
2 the drug safety surveillance team?

3 A. Yes.

4 Q. And can you give me an idea of
5 what type of interaction there is?

6 A. Okay. Well, as I was just
7 mentioning with the right-to-operate
8 documents, that is the team working
9 together with our safety organization.

10 The safety -- the safety team for
11 Cymbalta, they are responsible for
12 literally the day-to-day surveillance of
13 the safety, what's out there in the
14 literature, what has been reported to
15 Lilly, what has been reported to FDA to
16 continuously monitor and they do this on
17 a global basis.

18 Then when we do periodic
19 reporting, so we have annual reports and
20 we have also have periodic reports that
21 are due at different points in time
22 during the year. They lead that effort,
23 but that involves, you know, folks from
24 regulatory, from medical, from statistics

1 strike that.

2 Doctor, what are the day-to-day
3 responsibilities of the statisticians on
4 that product team?

5 A. Primarily at this point in
6 time, they are responsible for what we
7 call "right-to-operate" documents, which
8 includes our annual reports to agencies as
9 well as our periodic safety update reports
10 that go to regulatory agencies. So
11 they're doing the programming, the
12 statistical analysis that we do on an
13 ongoing basis as part of our safety
14 surveillance.

15 Q. And that --

16 A. They --

17 Q. Go ahead.

18 A. Sorry, they're also involved
19 with regulatory response documents.

20 Q. And, Doctor, I understand that
21 Cymbalta has a drug safety surveillance
22 team.

23 A. That's correct.

24 Q. Is there some sort of

1 to put all that information together to
2 analyze it. They take the lead but it
3 involves other people from the platform
4 team.

5 Q. Are members from the Cymbalta
6 safety surveillance team also members of
7 the Cymbalta product team?

8 A. Yes.

9 Q. So are all those members
10 involved or is there only some of the
11 members?

12 A. Well, like I said, there's not
13 a Cymbalta product team anymore. It's
14 the platform team. So it's primarily the
15 GPS physician that is in charge of the
16 safety team that is represented on the
17 platform team.

18 Q. Anybody else from the safety
19 surveillance team that's on the platform
20 team?

21 A. No, although they do attend
22 meetings as needed for specific documents.

23 Q. Is there a regular scheduled
24 platform team meeting that occurs?

1 A. Yes. There are operation team
 2 meetings that are held every other week.
 3 There is a broader kind of management
 4 team meeting, which is about once a
 5 month, that's called G 10, but that's
 6 across the entire neuroscience platform,
 7 it's not just Cymbalta. And then there
 8 are ad hoc team meetings as needed for
 9 various topics.

10 Q. What types of issues get
 11 discussed typically at a G 10 meeting?

12 MS. JONES: Hold on just a
 13 second. Let me just lodge an objection.
 14 We've been outside of the scope of the
 15 notice for the last several questions, I
 16 think. So to the extent that you're
 17 going to pursue this, Dr. Phillips can
 18 answer the questions, but she's doing it
 19 in her capacity as an individual, not on
 20 behalf of the company. Go ahead.

21 A. Okay. We have several
 22 post-marketing commitments for Cymbalta.
 23 So we update the G 10 on the status of
 24 those commitments and any interactions

1 G 10 meetings where Cymbalta was
 2 discussed?

3 A. Yes. I mean, could you be
 4 more specific or, you know --

5 Q. I'm just asking, do you
 6 remember the individuals that spoke on
 7 behalf of Cymbalta?

8 A. Yes.

9 Q. Can you name them for me?

10 A. Well, Laura Cox-Heuer that I
 11 noted before, also Rodrigo Escobar. Iris
 12 has presented previously, that's Iris
 13 Ferchland-Howe that I had mentioned
 14 earlier. Those have been the primary
 15 folks that have been involved. I guess,
 16 actually, I have presented to them, so --

17 Q. Is there a share drive where
 18 some of these materials, presentation
 19 materials at these G 10 meetings are
 20 saved?

21 A. I believe there is a -- we
 22 have a collaboration site, a SharePoint
 23 site for the team. I believe they are
 24 housed there.

1 we've had with the agency around that.
 2 That's one example of what the G 10 team
 3 would do.

4 BY MR. O'BRIEN:

5 Q. As it relates to Cymbalta, are
 6 any materials produced for those G 10
 7 meetings?

8 A. Yes.

9 Q. Who typically produces those
 10 materials?

11 A. Whoever is presenting. So it's
 12 really the team -- I keep using the word
 13 "team." The individuals who are
 14 presenting to G 10 to either update them
 15 or to gain alignment on new projects
 16 moving forward. So it would be a variety
 17 of individuals depending on the topic.

18 Q. And for members presenting
 19 regarding Cymbalta at the G 10 meeting,
 20 would it typically be people from the
 21 drug safety surveillance team or would it
 22 be people from the platform team?

23 A. It can be both quite honestly.

24 Q. Who would -- do you recall any

1 Q. When you say "a collaboration
 2 site for the team," are you talking about
 3 the G 10 meeting or are you talking about
 4 the platform team or are you talking
 5 about the drug safety surveillance team?

6 A. We have a collaboration site
 7 for Cymbalta. So that would -- team
 8 members will post various documents there
 9 to be shared amongst other team members.
 10 So that would include the G 10 slides
 11 that were in development. I believe
 12 there is a separate collaboration site
 13 more for the neuroscience platform, which
 14 is likely where the meeting minutes are
 15 housed for G 10. Although I'm not 100
 16 percent certain.

17 And then safety personnel also
 18 may have information on a collab site for
 19 the team, but they have separate systems
 20 within GPS, our Lilly safety system and
 21 various regulatory systems where
 22 information would be housed.

23 Q. Okay. So there are minutes for
 24 the neuroscience platform meetings?

1 A. Yes.
 2 Q. And do you understand if
 3 there's minutes for the G 10 meetings?
 4 A. Yes, there are.
 5 Q. And that would all be saved on
 6 a collaborative site?
 7 A. I believe so.
 8 Q. Usually in the neuroscience
 9 platform?
 10 A. I don't know. I'd have to go
 11 and look.
 12 Q. Let's go back to the notice.
 13 And let's talk about No. 10. "The
 14 testimony on the policy and procedures of
 15 defendants for pursuing the implementation
 16 of language into Cymbalta labeling,
 17 package insert, core data sheet, or
 18 summary of product characteristics."
 19 Do you know individuals that
 20 could talk about the policy and
 21 procedures, are you able to identify the
 22 policies and procedures as it pertains to
 23 Topic No. 10?
 24 A. Yes, I can do that.

1 your product team at that point would put
 2 together that label with directions by our
 3 labeling department.
 4 Q. Actually, sorry, Doctor, let me
 5 back up. So can we go back to the
 6 product team that would have existed back
 7 around 2004? Would there have been a
 8 Cymbalta platform? I mean -- strike
 9 that.
 10 Would there have been a
 11 Cymbalta product team that only dealt with
 12 Cymbalta at that time?
 13 A. Yes.
 14 Q. Do you have any idea of the
 15 identities of the people that were on
 16 that team at that time?
 17 A. I know some of them. I was
 18 not on the team at that time. I've only
 19 been recently responsible for Cymbalta.
 20 So I know the regulatory people who were
 21 involved at that time and primarily those
 22 folks. So I can't give you a complete
 23 list.
 24 Q. That's fine. Can you just give

1 Q. Thank you. Would you mind,
 2 Doctor?
 3 A. Okay. Which one would you like
 4 -- where would you like to start?
 5 Q. Whatever you would like to
 6 start with.
 7 A. Okay. The core data sheet is
 8 the fundamental basis for all labeling
 9 regarding a product within Lilly. So
 10 there's a core data sheet for Cymbalta
 11 and for every other product that we have
 12 at Lilly. We have an SOP that addresses
 13 what is the content and format of the
 14 core data sheet, which follows CIOMS'
 15 guidance, international -- council for
 16 international -- and I can get the rest
 17 of it for you, but I can't remember.
 18 But it is recognized as -- internationally
 19 by FDA, by other regulatory agencies as
 20 kind of like the basis for core labeling.
 21 There is a process by which, in
 22 this case, usually at the time that that
 23 is initially prepared, that's before your
 24 first approval. And that would involve

1 me the people that you understand that
 2 were on the team at that time and their
 3 titles and their responsibilities then and
 4 where they are now if they're still with
 5 the company.
 6 A. Okay.
 7 Q. And how they may be employed
 8 with Lilly today.
 9 A. Okay. Dr. Sharon Hoog was the
 10 -- one of the regulatory scientists
 11 assigned to Cymbalta. She was primarily
 12 responsible for the approval of the major
 13 depressive disorder indication as well as
 14 the diabetic peripheral neuropathy
 15 indication. At the same time, in
 16 parallel, Dr. Ann Sakai, S-a-k-a-i, she is
 17 now Dr. Ann Robbins, she's been divorced,
 18 R-o-b-b-i-n-s, was responsible for our
 19 stress urinary incontinence program.
 20 Q. What does that acronym mean?
 21 A. Which one?
 22 Q. OBBIS?
 23 MS. JONES: I think she was
 24 just spelling "Robbins".

1 A. Oh, yeah, I was just -- yeah,
 2 sorry.
 3 BY MR. O'BRIEN:
 4 Q. Sorry. I've got acronyms on
 5 the brain.
 6 A. Yeah, I'm trying to avoid that.
 7 It can be challenging. I can speak only
 8 in acronyms if you'd like. So those are
 9 the two regulatory leads at that point in
 10 time. Dr. Michael Robinson was involved
 11 in the team at that time. I'm not sure
 12 in exactly what capacity, but he was, at
 13 a minimum, one of the senior medical
 14 folks involved. Dr. Vladimir Skljarevski,
 15 whose name I can never pronounce
 16 correctly, was also involved with the
 17 team.
 18 Q. Do you know how?
 19 A. He has been primarily involved
 20 with the -- more the pain indications.
 21 So that would have been DPNP, diabetic
 22 peripheral neuropathic pain. Dr. Arei
 23 Regev, R-e-g-e-v, he is a safety physician
 24 with a specialty in hepatotoxicity. I

1 first and then they go into the other
 2 regions of the world. So we do have
 3 active submissions in the other parts of
 4 the world. Dr. Arei Regev is still in
 5 Lilly. He is also in global patient
 6 safety in much the same capacity as he
 7 was at that point in time. Dr. Wernicke
 8 has retired.
 9 Q. Dr. Phillips, what is the
 10 dynamic of the product team back in 2004?
 11 Are certain physicians assigned different
 12 assignments? You mentioned, I believe,
 13 Vladimir was responsible kind of for the
 14 pain indications. How are those
 15 assignments distributed?
 16 MS. JONES: Objection to the
 17 form. You can answer.
 18 A. It may vary from team to team.
 19 But with what was -- we had three
 20 indications under review for Cymbalta at
 21 the same time. So we had different
 22 physicians as the lead by indication. So
 23 Dr. Robinson was on depression. Vlad was
 24 probably on DPNP, I'm not sure.

1 believe Dr. Wernicke, W-e-r-n-i-c-k-e, was
 2 involved with the team as a safety
 3 physician at that time. And that's -- I
 4 don't know beyond that who was involved.
 5 Q. And of the names you listed,
 6 who is still with the company and what's
 7 their current capacity?
 8 A. Dr. Hoog is with the company.
 9 She's a physician in global patient
 10 safety. Dr. Robbins is no longer
 11 employed by Lilly but she is a consultant
 12 and we do continue to work with her on
 13 certain projects.
 14 Q. Where is she a consultant at?
 15 A. She's an independent consultant,
 16 although I think she contracts through INC
 17 Research. Dr. Robinson is no longer with
 18 the company, and I am not sure where he
 19 is. Dr. Vladimir is at the company, he
 20 continues to support Cymbalta, primarily
 21 activities in China and Japan. So we
 22 have follow-on indications, they don't get
 23 approved and their -- indications are
 24 typically approved in the U.S. and Europe

1 Actually, I think it was Tim Garnett.
 2 Timothy Garnett was the lead physician for
 3 the stress urinary incontinence indication.
 4 BY MR. O'BRIEN:
 5 Q. And my understanding is Timothy
 6 Garnett is still with the company?
 7 A. He is.
 8 Q. And what's --
 9 A. He is our chief medical
 10 officer.
 11 Q. And did he have any other
 12 responsibilities as it relates to Cymbalta
 13 at the time that you know of?
 14 A. No, I mean, when you're first
 15 getting a drug approved, it's pretty all
 16 consuming. You're only working on one
 17 product. And in this case only one part
 18 of the product by indication.
 19 Q. Doctor, do you have an
 20 understanding that this case deals with
 21 Cymbalta discontinuation?
 22 A. Yes.
 23 Q. Do you have any knowledge of
 24 who worked on the discontinuation part of

1 the label from 2004 to present?
 2 A. I know the regulatory personnel
 3 who have been involved with that.
 4 Q. Was that piece of the label?
 5 A. The discontinuation emergent
 6 adverse events, yes.
 7 Q. Could you take me through their
 8 names of responsibilities and the dates?
 9 A. Okay.
 10 Q. And if you have -- do you have
 11 a list that you happen to have on you?
 12 A. I don't.
 13 Q. Okay.
 14 MS. JONES: Let me just note,
 15 Kevin, to the extent that you're looking
 16 for lists of names and time frames for
 17 different positions, I believe we produced
 18 a set of organizational charts for global
 19 regulatory affairs and we can point you
 20 towards those afterwards if they would be
 21 useful to you.
 22 MR. O'BRIEN: Okay. Phyllis, I
 23 wasn't -- thank you.
 24 MS. JONES: Go ahead.

1 done, how the drug was developed, the
 2 chemistry manufacturing and controls, as
 3 well as your initial protocol and so that
 4 the agency can review to determine if it
 5 is safe enough to proceed into clinical
 6 trials with humans. So that is done
 7 before you can initiate any clinic trial
 8 in humans. And that's -- the IND is
 9 specific to FDA. So that's FDA
 10 terminology, but there are similar
 11 processes across the world with different
 12 names or acronyms, clinical trial
 13 application, or CTA, being the most
 14 common. So once FDA reviews and agrees
 15 that we can proceed with clinical testing,
 16 every protocol that we conduct is
 17 submitted to the agency under the IND and
 18 is subject to FDA regulation and
 19 oversight.
 20 So those studies are conducted
 21 under the IND. They are reported to the
 22 IND, as well as any changes in chemistry,
 23 manufacturing, controls, along the way, as
 24 well as toxicology studies that are

1 A. Okay. Dr. Sharon Hoog, I know,
 2 was involved with Cymbalta late '90s
 3 through 2005. The depression NDD
 4 application was filed in 2001.
 5 BY MR. O'BRIEN:
 6 Q. The ND --
 7 A. The new drug application, the
 8 initial application for approval of
 9 Cymbalta.
 10 Q. The INDA?
 11 A. No, the NDA.
 12 Q. Okay.
 13 A. The IND, the investigational new
 14 drug application, was opened much earlier
 15 than that.
 16 Q. And just to provide the jury
 17 some background, could you explain what an
 18 INDA is and what an NDA is?
 19 A. Okay. When you would like to
 20 begin clinical trials in humans, you must
 21 file an investigational new drug
 22 application with the agency. That
 23 application includes information about all
 24 of the toxicology testing that's been

1 completed. So it's an application that
 2 you continue to add to as you proceed
 3 through phases of development.
 4 Q. I wanted to ask you about that.
 5 When there's a supplement to the NDA at
 6 Eli Lilly, is it just called NDA, is it
 7 an -- I've seen sNDAs, I've seen
 8 supplemental NDAs.
 9 A. Okay. Well, let me finish IND
 10 before we go to NDA because there are
 11 differences.
 12 Q. Sorry.
 13 A. So while the drug is under
 14 investigation, the information is going to
 15 the IND. When you have finished phase 3
 16 testing, you submit a new drug application
 17 to the agency, the NDA, which, if
 18 approved, is then your -- you know, it's
 19 your approved application. And so our
 20 first indication for Cymbalta was for
 21 depression, major depressive disorder. So
 22 that application was submitted in 2001,
 23 reviewed, and then approved in 2004.
 24 Subsequent to that approval, we

1 have submitted what are called
2 "supplemental NDAs" or little S big NDA,
3 which that can be labeling changes, that
4 can be chemistry changes, it can be a new
5 indication for the product. But
6 everything that's submitted to the NDA
7 outside of just routine correspondence is
8 typically a supplement and is assigned a
9 number and reviewed accordingly.

10 Q. Thank you, Doctor. And getting
11 back to Dr. Hoog --

12 A. Uh-huh.

13 Q. -- you said she was involved in
14 the late '90s to 2000 and we're just --

15 A. 2005.

16 Q. 2005. And just to go over her
17 involvement with the discontinuation part
18 of the Cymbalta label.

19 A. Okay. Well, she was part of
20 the team that put together the NDA. She
21 was the regulatory -- U.S. regulatory lead
22 for the NDA and was responsible during
23 the three-year review period as well as
24 the -- just the initial approval of both

1 the question.

2 A. I believe what you're asking is
3 -- she was involved with compiling the
4 NDA and working with the teams that were
5 creating the integrated summary of safety.
6 So that's looking at the safety across
7 all of the trials that have been
8 conducted for Cymbalta and looking at what
9 are the key safety concepts that needed
10 to be conveyed in labeling, one of which
11 were discontinuation emergent adverse
12 events. So while she was not the hands-on
13 person doing the statistical analysis, she
14 was part of the team interpreting the
15 data, although that was led by GPS and
16 our medical group. She is a physician,
17 so she does -- she is a psychiatrist, and
18 that had practiced extensively before
19 coming to Lilly, so she did have an
20 informed opinion as to that -- well, most
21 of the label, so --

22 BY MR. O'BRIEN:

23 Q. Okay. And who would be the
24 next person that would have

1 the NDA for depression as well as the NDA
2 for diabetic peripheral neuropathic pain,
3 which was approved shortly after the
4 depression indication was approved. She
5 was integral to working on and developing
6 the core data sheet, which includes
7 discontinuation emergent adverse events,
8 has always included the phenomenon, those
9 symptoms, and as an as a result, as I
10 said, the core data sheet must be
11 reflected in every local label. The
12 label that was submitted to the FDA and
13 the initial NDA did include information
14 about the discontinuation syndrome as well
15 as the specific symptoms and what to do
16 about them should they be observed.

17 Q. Now, was Dr. Hoog involved in
18 kind of revealing the work that was done
19 on putting together the discontinuation
20 part of the Cymbalta label or was she
21 actually kind of working with the wording
22 and doing the research behind it?

23 MS. JONES: Objection to the
24 form. You can answer if you understand

1 responsibilities with regard to the
2 discontinuation part of the Cymbalta
3 label?

4 MS. JONES: Objection to the
5 form. Go ahead.

6 A. From a medical perspective, it
7 would have been the medical director, it
8 would have been the global patient safety
9 physician, you know, and the statisticians
10 who were involved with doing the analyses.

11 BY MR. O'BRIEN:

12 Q. And I'm just trying to get some
13 names from the Cymbalta product team from
14 .2004 to kind of present day that dealt
15 with discontinuation label.

16 A. I don't know all the names. I
17 mean, I've given you the names that I
18 know from the time of the initial
19 submission, including, you know, Dr.
20 Robinson, Vladimir, and Dr. Regev.
21 Through the years, it's the same functions
22 that would be involved. That would be
23 our senior medical director. It would be
24 our global physicians. It would be our

1 global patient safety group.
 2 Q. This -- sorry, Doctor. The
 3 senior medical director, is that under
 4 regulatory?
 5 A. No. That position reports up
 6 through our medical organization and
 7 ultimately to Dr. Garnett as the chief
 8 medical officer now.
 9 Q. Do you have an idea who the
 10 senior medical director was back in 2004?
 11 A. I don't know.
 12 Q. Do you have an idea -- strike
 13 that.
 14 Can you think of anybody that
 15 was a senior medical director from 2004
 16 to present day?
 17 MS. JONES: Objection to the
 18 form.
 19 A. I'm not sure I'm following.
 20 BY MR. O'BRIEN:
 21 Q. I'm just trying to get a list
 22 of individuals that you know of that held
 23 that position since Cymbalta has been on
 24 the market.

1 A. Well, we have several. We have
 2 a regulatory quality system, RQS, that
 3 includes different SOPs regarding
 4 communicating with agencies and how to
 5 document those communications. For
 6 instance, we -- every time we have a
 7 phone call or an e-mail with somebody at
 8 FDA, we do a record of contact and that
 9 is logged in our electronic e-files.
 10 Q. Where do the calls from the FDA
 11 come through? Is there a contact person
 12 at Lilly?
 13 A. Yes. There is a contact person
 14 for each molecule. So for me, right now,
 15 I am the contact for Cymbalta. So FDA
 16 would call me first. My name is on the
 17 correspondence. And then I can direct
 18 them to other team members as needed.
 19 There may be occasions in which they
 20 contact our GPS physician or our CMNC
 21 lead in some situations. But the
 22 majority of the contact would go through
 23 me as the U.S. regulatory scientist.
 24 Q. Does most of your contact with

1 MS. JONES: Please also note my
 2 objection to this line of questioning as
 3 being outside of the scope of the notice.
 4 A. Well, as I said, Dr. Escobar is
 5 the current lead for the neuroscience
 6 platform. Prior to that, it was Dr.
 7 Michael Robinson. And prior to that, I
 8 don't know.
 9 BY MR. O'BRIEN:
 10 Q. Doctor, let's turn our attention
 11 to Topic No. 11.
 12 A. Yes.
 13 Q. This is the "Testimony on the
 14 policy and procedures of defendant for
 15 creating, editing, reviewing, revising,"
 16 and I believe that's supposed to be
 17 "filing all materials, documents, studies,
 18 or communication with the FDA concerning
 19 Cymbalta."
 20 Are you prepared to testify as
 21 to that topic?
 22 A. Yes, I am.
 23 Q. Can you kind of take me through
 24 some of the policy and procedures?

1 the FDA, does that come through letters,
 2 phone calls, e-mails?
 3 A. In today's day and age, it's
 4 primarily e-mails and phone calls. You
 5 know, ten years ago, that was a lot of
 6 -- it was faxed or letters in the mail.
 7 Q. Once you receive an e-mail for
 8 Cymbalta from the FDA, what's the process?
 9 Do you save it someplace?
 10 A. Uh-huh.
 11 Q. Did you forward it to somebody
 12 within regulatory? How does it work?
 13 A. I would forward that to --
 14 there's a certain mailbox that goes to
 15 our CRR group, our central registrations
 16 group. And they immediately upload that
 17 into e-files, which is our electronic
 18 repository of all incoming and outgoing
 19 correspondence, regarding our products and
 20 to FDA. And so that would be logged in
 21 that fashion. I would also log it as a
 22 record of contact regarding, you know,
 23 what our follow-up actions are going to
 24 be on that particular correspondence or if

1 there would be any follow-up, which is
 2 also logged into e-files.
 3 Q. Now, the CRR group, is that a
 4 group that's put together kind of just
 5 save the communication, is that their
 6 function?
 7 A. Well, they have a broader
 8 function than that. That's one of the
 9 things they do is, you know, they are
 10 responsible for ensuring that e-files is
 11 kept up to date, which includes records
 12 of contact, incoming, outgoing
 13 correspondence. They are responsible for
 14 submitting through the gateway to FDA.
 15 We submit everything electronically now.
 16 Previously it was on paper or some
 17 combination, but that group was always
 18 responsible for those submissions and
 19 making sure we're in compliance with the
 20 standards of the day for FDA.
 21 Q. Now, all communication that is,
 22 I guess, covered in the e-files, is that
 23 saved on a certain database that's
 24 searchable?

1 Q. Okay. So you can search for
 2 all incoming correspondence from the FDA
 3 with regard to Cymbalta and you would see
 4 kind of -- would you see just a field of
 5 all the different letters and
 6 communications that have come in?
 7 A. You would see a listing by date
 8 of the various submissions. And there'll
 9 be a brief description. It will be the
 10 date, the sequence number, the NDA number,
 11 and then you can click on that to get
 12 more information about the actual
 13 submission or what was received.
 14 Q. And who provides the summary?
 15 What that be the CRR group or would it
 16 be you who did that before you sent the
 17 correspondence to the CRR group?
 18 A. It would be one or -- of us,
 19 depending on what it was. So when we're
 20 submitting a protocol to the agency, the
 21 CRR associate would typically put that in.
 22 When it's a record of contact or
 23 incoming, I usually provide the summary
 24 for the group to, you know, to make that

1 A. Yes.
 2 Q. What's the name of the
 3 database?
 4 A. It's called "e-files."
 5 Q. Easy enough.
 6 A. Yes, it's a document based -- I
 7 guess you would call it a collaboration,
 8 our website, it is access controlled and
 9 is maintained within Lilly.
 10 Q. And it's a searchable database?
 11 A. It is.
 12 Q. What are some of the searchable
 13 fields that you can utilize in order to
 14 locate documents with the FDA?
 15 A. You can search based on the
 16 drug name. You can search based on the
 17 NDA or IND number. You can search by
 18 date. Is there a drop-down field of
 19 certain types of submissions, whether they
 20 be amendments or supplements or annual
 21 reports. So there are a couple different
 22 categories. You can search for incoming
 23 correspondence, outgoing correspondence, or
 24 records of contact.

1 searchable field. But it is a free text
 2 field that I would fill out, my
 3 colleagues in regulatory affairs or within
 4 CRR.
 5 Q. Once you receive a contact from
 6 the FDA, do you distribute it to anybody
 7 other than the CRR group? Is there
 8 somebody that works in your same
 9 department that has sort of involvement in
 10 receiving that communication?
 11 A. It depends on what the
 12 communication is. If it -- you know, so
 13 we distribute the information accordingly.
 14 If it's an update on something that's
 15 under review, then you send it to your
 16 team, your boss, you know, to be -- for
 17 awareness, if there's action we have to
 18 take. So it really does depend on the
 19 content of the communication.
 20 Q. And you said there's something
 21 called a "gateway to the FDA." I believe
 22 you characterized it as like an electronic
 23 submission to the FDA. Can you explain a
 24 little bit what it is and how it works?

1 A. Well, I'm not sure I can give
 2 you the inner workings, but we do submit
 3 --
 4 Q. You can give me the CliffNotes
 5 version.
 6 A. We -- all of our -- okay. So
 7 the IND and the NDA, they're separate
 8 applications but they essentially are a
 9 backbone, information goes into specific
 10 parts of it. There's modules that are
 11 standardized by FDA as well as --
 12 actually a lot of the content has been
 13 globally harmonized so the idea is they
 14 have a common structure. It's an XML
 15 backbone and information gets slotted into
 16 certain sections within the NDA and then
 17 that is electronically submitted to the
 18 agency, they receive it in the same
 19 format, so they kind of have the same
 20 parallel structure so that we can see the
 21 same thing.
 22 So, for instance, our annual
 23 reports go into Module 1. Study reports
 24 will go into Module 5. Chemical reports

1 form.
 2 A. I -- there is -- I interact
 3 with various project managers on the basis
 4 of indications. And so there's not one
 5 person that I would talk to about any
 6 safety-related issue that -- let me take
 7 a step back. FDA is a very large
 8 organization.
 9 BY MR. O'BRIEN:
 10 Q. Yes.
 11 A. And there are many different
 12 parts. There's different review
 13 divisions. So there's a division for
 14 psychiatry products. There's a division
 15 for anesthetics and analgesics. There is
 16 a division for reproductive health. So,
 17 for instance, our depression indications
 18 and anxiety indications are reviewed by
 19 the psychiatry division. Our pain
 20 indications, diabetic neuropathic pain,
 21 fibromyalgia, and chronic pain are within
 22 the anesthetics and analgesic division.
 23 So there's different review divisions
 24 based on their expertise who review them.

1 go into Module 3. Toxicology reports go
 2 into Module 4. And within those modules,
 3 there's further breakdown as to what the
 4 structure is. But that way, FDA is
 5 receiving information in a common format
 6 from everybody that's submitting to them
 7 so that they have some consistency and
 8 where to locate information.
 9 Q. Now, with regard to Cymbalta,
 10 do you have a contact at the FDA? Is
 11 there an individual employed by the FDA
 12 that you have day-to-day interaction with?
 13 A. Yes, I actually work with
 14 several different project managers at FDA.
 15 They assign project managers by compound
 16 but then also by IND or NDA. So because
 17 we have multiple INDs and NDAs, I have
 18 different project managers that I interact
 19 with for those specific indications.
 20 Q. Can you provide me a list of
 21 the project managers at the FDA that you
 22 may interact with regarding the Cymbalta
 23 discontinuation syndrome?
 24 MS. JONES: Objection to the

1 Separate from that is the
 2 office of surveillance and epidemiology,
 3 who are primarily responsible for safety,
 4 although the review division also has
 5 safety. They're equal but separate. The
 6 idea on FDA is to have a separate safety
 7 surveillance in addition to the review
 8 division and they concur on projects and
 9 then would recommend changes to the label.
 10 So they work together to
 11 provide information to us or to request
 12 information from us about the label.
 13 That would ultimately get communicated to
 14 me through a specific project manager at
 15 FDA that are usually from the review
 16 divisions, although they do have project
 17 managers within OSC. So, most recently,
 18 I think it was Terry Henderson that
 19 communicated the example I gave before
 20 around the angle-closure glaucoma
 21 class-labeling changes.
 22 Q. Now, do you have the contact of
 23 somebody that you have regular
 24 communications with from the FDA in the

1 office of surveillance?
 2 A. I do not have a routine contact
 3 with that division office.
 4 Q. Can you identify any project
 5 managers or employees from that division
 6 at the FDA that you've had contact with?
 7 A. Just this most recent, Terry,
 8 and I think it's Henderson, but I'm not
 9 .100 percent sure.
 10 Q. Does Lilly keep a log of
 11 contacts that they would have with the
 12 office of surveillance?
 13 A. We keep a record of all
 14 contacts with FDA regardless of division
 15 or office.
 16 Q. Is that contact list -- I mean,
 17 would it be searchable? So you want to
 18 go back and take a look of all the
 19 contacts you've had with the FDA but you
 20 really only wanted to look at contact you
 21 had with the office of surveillance, how
 22 would you go about doing that?
 23 A. Within e-files, you can -- you
 24 could do a keyword search for records of

1 paper archives prior to December of 2010.
 2 Q. So prior to December 2010, if
 3 you received an e-mail from the FDA,
 4 would that be printed and placed in the
 5 paper archives?
 6 A. Yes.
 7 Q. And where is the paper
 8 archives? Where does it exist?
 9 A. It exists here in Corporate
 10 Center in Indianapolis, Lilly Corporate
 11 Center. That includes the most recent
 12 applications. We do have off-site storage
 13 for some of the older communications.
 14 Q. And is it your understanding
 15 that Lilly no longer keeps a paper
 16 archive as in, you know, if you got an
 17 e-mail tomorrow, would it be printed and
 18 placed in the paper archive?
 19 A. No, it would not. It would be
 20 electronic.
 21 Q. Do you have an understanding
 22 how the paper archive system is indexed?
 23 A. Uh-huh. Yes. It is indexed
 24 by NDA or IND by the number for that.

1 contact that involved OSC, because that is
 2 input as to what division so -- or part
 3 of FDA. However, that's a free text
 4 field, so it may not be always obvious,
 5 you know, sometimes you have to do a
 6 little bit of digging.
 7 And then e-files has only been
 8 online since the end of 2010. So prior
 9 to that, notes to files were captured
 10 electronically in a Documentum database
 11 and also in our paper archive. And prior
 12 to 2010, our paper archive is the
 13 official repository of all communications
 14 between Lilly and FDA.
 15 Q. So before 2010, all
 16 communications with the FDA were paper,
 17 most communications?
 18 A. Most were. Well, we had
 19 electronic, they were often hybrid of
 20 paper and electronic. So there is
 21 electronic information that can be
 22 searched, but it is not a complete
 23 record. So if you want an absolutely
 24 complete record, you have to go to the

1 And it literally is a chronological
 2 record. So it's -- you -- literally,
 3 there are tabs within binders by date.
 4 There are different colored tabs that
 5 indicate different types of communication,
 6 whether it be incoming, outgoing, whether
 7 it be promotional types of communications.
 8 And the notes to file are kept in a
 9 separate binder, but they're also by
 10 chronology.
 11 Q. At the time that Lilly made the
 12 decision or -- to go paperless, do you
 13 know if they scanned the old paper
 14 archives so they're available in
 15 electronic platform?
 16 A. They have scanned quite a few
 17 of them. We had some summer interns that
 18 did a lot of that work. But it is not
 19 a complete record. So that's where, you
 20 know, if you want an absolutely, you
 21 know, complete record, you have to go to
 22 the paper. But, yes, there are quite a
 23 few documents that were scanned into the
 24 system and our searchable within e-files.

1 Some of them are scanned in, some of them
2 only have the information to say "sequence
3 No. XYZ from this date," but you still
4 have to go to the paper to actually get
5 the content.

6 Q. Now, Doctor, I want to turn our
7 attention to --

8 MS. JONES: Is this a sensible
9 time to take a break? We've been going
10 about an hour and a half.

11 MR. O'BRIEN: Yeah. I mean,
12 absolutely. I was just looking at the
13 time. It looks like there's 12 minutes
14 before the tape breaks.

15 MS. JONES: Oh, okay.

16 MR. O'BRIEN: We can go now
17 and they can put in a new tape or --

18 MS. JONES: No, we can burn
19 the tape, that's fine. Is that okay with
20 you, Dr. Phillips?

21 THE WITNESS: That's fine.

22 BY MR. O'BRIEN:

23 Q. Doctor, can you give me an idea
24 of the preparation that you did for

1 Q. -- Ann Robbins?

2 A. It was very similar, the two of
3 them, when I was speaking to them since
4 they were responsible for Cymbalta at the
5 time of approval it was, you know, what
6 was -- what information was provided in
7 the NDA, what discussions were had with
8 the FDA during the negotiation label
9 process prior to approval, and some of
10 the outcomes of those conversations. So I
11 did speak to them about that. The
12 creation of the core data sheet. I speak
13 -- I spoke with Dr. Torkil Fredborg, he
14 is an EU regulatory.

15 Q. Would you mind spelling that?

16 A. T-o-r-k-i-l. His last name is
17 F-r-e-d-b-o-r-g. He was a European
18 scientist involved with the initial
19 Cymbalta submissions and approvals. So I
20 did speak to him about language in the
21 SPC.

22 Q. And would you mind telling the
23 jury what the "SPC" is?

24 A. Sorry. That's the European

1 today's deposition?

2 A. Okay. I met with counsel to
3 understand the content of the deposition,
4 the information. I reviewed SOPs. I
5 talked to a couple individuals who were
6 involved with Cymbalta previously. And I
7 reviewed some of the Cymbalta
8 correspondence with FDA.

9 Q. And what individuals did you
10 speak to regarding Cymbalta in preparation
11 for your deposition? And can you list
12 their names, you know, their titles, if
13 you haven't told us already, their
14 responsibilities as it related to
15 Cymbalta?

16 A. Uh-huh. Well, I spoke with Dr.
17 Hoog, who I have already described for
18 you. I also spoke with Ann Robbins, Dr.
19 Ann Robbins.

20 Q. And, also, would you mind
21 telling me the type of information that
22 you were trying to ascertain from Dr.
23 Hoog and Dr. -- --

24 A. Uh-huh.

1 summary of product characteristics, so
2 that's the equivalent of the USPI for
3 Europe.

4 Q. And what was his day-to-day
5 responsibilities as it related to Cymbalta
6 back in 2004?

7 A. It was the same as the
8 day-to-day responsibilities as what I
9 described for Dr. Hoog and Dr. Robbins on
10 the European side. They were global
11 submissions, though, so he was involved
12 with the creation of the -- the entire
13 NDA or as it's called in Europe the
14 "MAA," the marketing authorization
15 application.

16 Q. And what type of information
17 did you ascertain from Dr. Fredborg?

18 A. We talked about the approval,
19 what was in the label, particularly
20 regarding discontinuation emergent adverse
21 events and how did that labeling change
22 over time.

23 Q. And what did he tell you about
24 that?

1 A. He went through the initial
 2 submission, which was very much based on
 3 the core data sheet as you would expect.
 4 And then just that there was a change for
 5 class labeling, much like in the U.S., in
 6 2000 -- end of 2005, 2006 based on, you
 7 know, the European review of periodic
 8 safety update report and then their
 9 standardization of wording for
 10 discontinuation symptoms across the labels
 11 for all antidepressants. FDA did
 12 something very similar right as Cymbalta
 13 was initially approved in 2004, so you
 14 will see class labeling reflected in the
 15 initial label in addition to what was --
 16 we initially provided.
 17 Q. Where is Dr. Fredborg located?
 18 A. He is located in the UK, in
 19 our offices there.
 20 Q. So this was -- was this a
 21 phone conversation you had with him?
 22 A. Actually, no, he was visiting
 23 Indianapolis. So I just happened to, you
 24 know, catch him when he was here locally.

1 of the -- the fact name of the document.
 2 A. It's the Rapporteur's assessment
 3 of the second PSUR, periodic safety update
 4 report.
 5 Q. Did you review any other
 6 documents with Dr. Fredborg?
 7 A. We looked at the SPC itself,
 8 but -- which summary product
 9 characteristics.
 10 Q. And how long was your meeting
 11 for?
 12 A. It was like a half hour.
 13 Q. And what about with Dr. Hoog?
 14 A. Maybe an hour.
 15 Q. And what documents did you
 16 review with Dr. Hoog?
 17 A. We looked at the labeling for
 18 Cymbalta over time.
 19 Q. And then she -- strike that.
 20 Did Dr. Hoog have any comments
 21 of the label changes for Cymbalta over
 22 time?
 23 MS. JONES: Objection to the
 24 form.

1 Q. Did you guys review any
 2 documents?
 3 A. We looked at the Rapporteur's
 4 assessment of our second PSUR, periodic
 5 update report. So the Rapporteur is the
 6 -- their reviewer. That's the name for
 7 their scientific medical reviewer.
 8 Q. And when was the Rapporteur's
 9 assessment created?
 10 A. It was in late 2005. That's
 11 when they made the change to their class
 12 labeling.
 13 Q. Is that document publicly
 14 available?
 15 A. No, it's not.
 16 Q. If I wanted to obtain a copy
 17 of that assessment, if it hasn't already
 18 been produced, how would I go about
 19 asking for it?
 20 MS. JONES: You would ask
 21 Lilly's lawyers.
 22 A. Okay.
 23 BY MR. O'BRIEN:
 24 Q. I'm just trying to get the name

1 A. I mean, we did discuss when did
 2 this change and why, so, yes, we did have
 3 that conversation, specifically around
 4 discontinuation emergent adverse events.
 5 BY MR. O'BRIEN:
 6 Q. And did you have that
 7 conversation with anybody else, not
 8 including your lawyers?
 9 A. I spoke with Dr. Robbins about
 10 the same thing because, although they were
 11 working in different indications, they
 12 were obviously coordinating because the
 13 label would be consistent across divisions
 14 with the exception of the actual
 15 indications and clinical study section.
 16 Q. Did you meet with Dr. Hoog and
 17 Dr. Robinson -- Dr. Robbins at the same
 18 time?
 19 A. No.
 20 Q. When did you have those
 21 meetings?
 22 A. Probably in June. I know I
 23 met with Dr. Robbins on July 1st.
 24 Q. Dr. Phillips, do you have an

1 estimate of the number of documents that
 2 you looked at in preparation of today's
 3 deposition?
 4 A. I didn't count them. I mean,
 5 I looked at some of the correspondence.
 6 I looked at versions of labels. I looked
 7 at SOPs. I had to do training anyway,
 8 so it was kind of timely. So -- and we
 9 have lots of SOPs. Maybe a hundred.
 10 Q. Are there any other documents
 11 that you can think of you looked at other
 12 than SOPs, the label changes, and anything
 13 that you've already mentioned?
 14 A. No.
 15 Q. Without telling me what you
 16 spoke about with your attorneys, did you
 17 have an opportunity to meet with your
 18 attorneys in preparation of today's
 19 deposition?
 20 A. Yes.
 21 Q. And when did you meet with your
 22 attorneys?
 23 A. I met with them in June and
 24 then earlier this week.

1 some, you know, the organizational charts.
 2 I pointed her to where they reside on the
 3 collaboration sites, that kind of thing.
 4 MS. JONES: And those have been
 5 produced.
 6 BY MR. O'BRIEN:
 7 Q. Did you bring any documents
 8 here today with you?
 9 A. I had kept an SOP binder that
 10 I brought with me. That's one of her
 11 binders.
 12 Q. Anything else other than SOP
 13 documents?
 14 A. No. I take that back. I
 15 think there was a timeline of when the
 16 various INDs were submitted and when the
 17 NDAs were submitted and approved. There
 18 was that timeline.
 19 Q. Is that with you today?
 20 A. Yes.
 21 MR. O'BRIEN: Why don't we take
 22 our break?
 23 MS. JONES: Okay.
 24 THE VIDEOGRAPHER: We are going

1 Q. And how much time did you spend
 2 with your attorneys?
 3 A. A few hours each time. Met
 4 with them four times.
 5 Q. Was it -- did you also meet
 6 with Dr. Knowles?
 7 A. No, I did not.
 8 Q. And which attorneys did you
 9 meet with?
 10 A. I met with Ms. Jones, Ms.
 11 Martinez Resly, Ms. Dire, Dawne Dire, as
 12 well as Mr. Christopher Gramling of Lilly,
 13 and there was also a lawyer from Pepper
 14 Hamilton on the phone. And I'm not sure
 15 what the name was.
 16 Q. Do you remember if it was a
 17 man or a woman?
 18 A. It was a woman. Is it Alison
 19 or Nicole? Anyway, I'm not sure.
 20 Q. It's okay. Did you gather any
 21 documents in preparation of today's
 22 deposition?
 23 A. I gathered some SOPs and
 24 pointed -- I know Dawn had asked for

1 off the record. The time is 10:25 a.m.
 2 (A recess was taken at 10:25
 3 a.m.)
 4 THE VIDEOGRAPHER: This is the
 5 beginning of Tape 2 in the deposition of
 6 Dr. Christine Phillips. The time is 10:34
 7 a.m., and we are back on the record.
 8 BY MR. O'BRIEN:
 9 Q. Dr. Phillips, I just want to
 10 take a moment and I'm going to mark your
 11 resume as Plaintiff's Exhibit 2.
 12 (Plaintiff's Exhibit-2 was
 13 marked for identification.)
 14 BY MR. O'BRIEN:
 15 Q. Will you take a look at it?
 16 A. Okay. I'm familiar with it.
 17 MS. JONES: Do you have a copy
 18 for us, Counsel? Just one will do. We
 19 can share.
 20 MR. O'BRIEN: I'm sorry,
 21 Phyllis.
 22 MS. JONES: Thank you.
 23 BY MR. O'BRIEN:
 24 Q. Dr. Phillips, is this your most

1 recent resume? I see up top it looks
 2 like under parentheses on top by your
 3 name it says, "May of 2014." Is that
 4 the last time it was updated or reviewed?
 5 A. Yes, it was.
 6 Q. Has anything changed?
 7 A. Well, I'm actually moving jobs
 8 currently, staying within regulatory
 9 affairs but I'm going to be focusing on
 10 devices. So I will no longer support
 11 Cymbalta or Zyprexa Relprevv as of Monday.
 12 Q. So what will your new position
 13 be?
 14 A. I will be in regulatory affairs
 15 for devices.
 16 Q. Is that all devices or is there
 17 one in particular?
 18 A. I will be working on several.
 19 We have --
 20 MS. JONES: Let me just note
 21 for the record that if there is anything
 22 in development that you're not permitted
 23 to talk about because it's in development,
 24 please just be mindful of that. I defer

1 genetics at the Medical University of
 2 South Carolina, which is located in
 3 Charleston. And that was up through
 4 early '97.
 5 Q. So you're a doctor in
 6 biochemistry and molecular genetics,
 7 correct?
 8 A. My doctorate is specifically in
 9 pharmaceuticals.
 10 Q. Pharmaceuticals?
 11 A. Yes.
 12 Q. Not a medical doctor but in
 13 pharmaceuticals?
 14 A. That's correct. I'm not a
 15 medical doctor.
 16 Q. And following college, would you
 17 take me -- or strike that.
 18 Following your postgraduate
 19 degree, will you take me through your
 20 employment background?
 21 A. Following my postdoctoral
 22 fellowship, I worked at a contract
 23 research organization called "PPD
 24 PharmaCo," located in North Carolina,

1 to your good judgment on that issue.
 2 A. Okay. That's a very good
 3 point. We do have several marketed
 4 devices as well as ones in development.
 5 I'm primarily working on ones in
 6 development.
 7 BY MR. O'BRIEN:
 8 Q. Okay. So up until now, this
 9 resume is accurate, and on Monday it
 10 won't be accurate?
 11 A. Yes, that's correct.
 12 Q. Will you take me through your
 13 educational background?
 14 A. Okay. Graduated from high
 15 school. I went to Wofford College in
 16 South Carolina and received a BS in
 17 biology in 1991. From there, I went into
 18 graduate school at the University of South
 19 Carolina, which is in Columbia, South
 20 Carolina, where I received a doctorate in
 21 pharmaceuticals in '95, which pharmaceuticals
 22 is drug delivery systems. And then from
 23 there I completed a postdoctoral
 24 fellowship in biochemistry and molecular

1 Research Triangle Park. I worked there
 2 as a medical writer.
 3 Q. And then where did you go after
 4 that?
 5 A. After that, I was recruited by
 6 Lilly as a medical writer and began
 7 working at Lilly in October of 1998.
 8 Q. In what department were you a
 9 medical writer?
 10 A. Medical writing is its own
 11 department. It's a function that supports
 12 various teams. I was a member of the
 13 Prozac product team. So that was my area
 14 of focus as a medical writer.
 15 Q. And what were your day-to-day
 16 responsibilities as a medical writer?
 17 A. I worked on various regulatory
 18 submissions with the other team members.
 19 So I worked on reports, study reports,
 20 protocols, briefing documents that would
 21 go to FDA prior to a meeting that we
 22 might have with the agency, and various
 23 regulatory response documents.
 24 Q. And then you held that position

Page 101

1 until June of 2000?
2 A. Yes.
3 Q. And from June of 2000, your
4 resume indicates you were team leader in
5 global science information and
6 communications.
7 A. Yes. So we renamed medical
8 writing to be global scientific
9 information and communications. By "team
10 leader," that meant I was a supervisor of
11 about seven writers and two editors on
12 the Prozac team. So that job was partly
13 administrative, partly technical, and
14 training new staff.
15 Q. And what were the writers --
16 what type of documents were the writers
17 drafting?
18 A. The same as what I just
19 described, you know, study protocols,
20 clinical study reports, briefing documents,
21 submission documents that would go into an
22 NDA, that would include, you know, study
23 reports as well as integrated summaries of
24 safety or efficacy and also regulatory

Page 102

1 response documents.
2 Q. And what other departments did
3 you work with?
4 A. I worked closely with
5 regulatory, medical, statistics, data
6 sciences in some cases, our safety
7 personnel.
8 Q. And was it limited to the
9 Prozac team at that time?
10 A. At that time, yes.
11 Q. And then you left that position
12 July 2001 and then what happened? Where
13 did you go next?
14 A. I was a team leader over the
15 endocrine therapeutic area for scientific
16 communications, so same role, different
17 therapeutic area, and then a much larger
18 staff, so that job was more
19 administrative.
20 Q. And in that position, you had
21 no involvement with Cymbalta; is that
22 correct?
23 A. That's correct.
24 Q. And then you left that position

Page 103

1 July 2002 and where did you go next?
2 A. I came into U.S. regulatory
3 affairs at that time.
4 Q. And it says you were a U.S.
5 regulatory scientist?
6 A. Yes.
7 Q. What does a U.S. regulatory
8 scientist do?
9 A. It is very much the job I do
10 today but at a lower level. I mean, as
11 you get more experience, you get promoted
12 kind of approach. So when I initially
13 came into U.S. regulatory affairs, I was
14 responsible for some early development
15 molecules. I covered molecules in
16 cardiovascular, some oncology, it was kind
17 of a variety, some autoimmune sets.
18 Q. And you didn't have any
19 involvement during that time with
20 Cymbalta; is that correct?
21 A. That's correct.
22 Q. And then in October 2005, you
23 left for Amgen?
24 A. That's correct, yes.

Page 104

1 Q. And what was your position
2 there?
3 A. I started as a senior manager
4 and was promoted to director while I was
5 at Amgen and I was a global regulatory
6 leader.
7 Q. And what were your
8 responsibilities there?
9 A. They were similar to my
10 responsibilities at Lilly, the exception
11 being that I was responsible for the U.S.
12 interactions but I was also the lead of a
13 global regulatory team, which meant I
14 coordinated activities across the globe
15 with our European lead, with our Japan
16 lead, Australian. And because of that
17 role, I traveled globally to different
18 regulatory meetings with PMDA in Japan,
19 Health Canada, that kind of thing.
20 Q. And then looks like September
21 of 2007 you came back to Lilly?
22 A. That's correct.
23 Q. As a U.S. regulatory consultant?
24 A. Uh-huh.

1 Q. What does a U.S. regulatory
 2 consultant do?
 3 A. Again, it's fundamentally the
 4 same job but our titles have changed over
 5 the years with different systems, but it's
 6 really on par with director level position
 7 that I had at Amgen. The difference
 8 being that we don't have a global
 9 regulatory leader role at -- well, that's
 10 not true. We have a global regulatory
 11 coordinator role at Lilly, which I also
 12 have served in that capacity.
 13 Q. And then it looks like in March
 14 of 2010, you became a U.S. regulatory
 15 advisor director?
 16 A. Yes.
 17 Q. And same type of job
 18 responsibilities?
 19 A. Yes. At that time things --
 20 we restructured slightly and I had
 21 somebody reporting to me at one point in
 22 time. So we did -- regulatory and
 23 learning regulatory is somewhat of an
 24 apprenticeship so that's how you learn.

1 is in Global Regulatory Affairs-U.S. So
 2 that is my title.
 3 Q. So U.S. regulatory affairs and
 4 global regulatory affairs are synonymous?
 5 A. No. Global regulatory
 6 affairs-U.S. is equivalent to U.S.
 7 regulatory affairs. We also have a vice
 8 president of global regulatory
 9 affairs-international that covers Europe,
 10 Japan, and essentially the rest of the
 11 world.
 12 Q. Now, I kind of want to go
 13 through the corporate structure in the
 14 U.S. regulatory affairs. I really only
 15 want to focus on kind of the
 16 neuroscience. I know there's probably
 17 different divisions. You're in
 18 neuroscience regulatory affairs. Who do
 19 you report to?
 20 A. I report to Carlos, Dr. Carlos
 21 Garner. And he is the senior director
 22 global regulatory affairs-U.S. for the
 23 bio-medicines business unit.
 24 Q. The bio-medicines business unit?

1 So although it's hard to describe at
 2 times, the fundamental job
 3 responsibilities, you increase in
 4 responsibility in taking the lead and you
 5 have less, I guess, not oversight, but
 6 you're relying on your mentors less
 7 because you've got that on-the-job
 8 experience over time.
 9 Q. And then in August of last
 10 year, you became the U.S. regulatory
 11 advisor but within neuroscience, correct?
 12 A. That's correct.
 13 Q. Also being a director?
 14 A. Yes.
 15 Q. One thing I notice, you were a
 16 U.S. regulatory advisor, that was your
 17 title, but within neuroscience, it's a
 18 global regulatory affairs. Is there a
 19 difference between U.S. regulatory affairs
 20 and global regulatory affairs?
 21 A. No. It was a reorganization in
 22 title. So we used to be called "United
 23 States Regulatory Affairs." The
 24 reorganization changed so that everybody

1 A. Yes.
 2 Q. And who does Carlos Garner
 3 report to?
 4 A. He reports to Dr. Robert
 5 Metcalf, who is the vice president of
 6 global regulatory affairs-U.S.
 7 Q. And who does Robert Metcalf
 8 report to?
 9 A. He reports to Dr. Timothy
 10 Garnett, our chief medical officer.
 11 Q. Does Dr. Garnett -- does he
 12 report to the CEO?
 13 A. I believe so, yes.
 14 Q. Is there a bio-medicines
 15 president?
 16 A. Yes.
 17 Q. Is Dr. Garnett on the same
 18 level of the bio-medicines president?
 19 A. No. Well, our chief medical
 20 officer is also a senior vice president,
 21 president to the vice -- of the business
 22 units. I don't quite understand how all
 23 the titles line up, if they're the same
 24 or -- I believe the chief medical officer

1 is kind of high up, though.
 2 Q. Yeah, I wasn't sure if Dr.
 3 Garnett reported to David Ricks.
 4 A. No, he does not. He reports
 5 to John Lechleiter, our CEO. Dave Ricks
 6 would also, I believe, report to Dr.
 7 Lechleiter.
 8 Q. Okay.
 9 A. And David Ricks is the
 10 president of the bio-medicines business
 11 unit.
 12 Q. Yeah, he's still the -- he's a
 13 senior VP and I guess the president of --
 14 president of the Lilly bio-medicines unit?
 15 A. Yeah.
 16 Q. Who reports to you?
 17 A. No one.
 18 Q. Are there other U.S. regulatory
 19 affairs directors for neuroscience
 20 currently?
 21 A. Dr. Janice Hitchcock.
 22 Q. Now, Dr. Janice Hitchcock, how
 23 long has she been in that position? Is
 24 she your replacement when you leave?

1 course. Dr. Greg Brophy was the
 2 director, senior director of neuroscience
 3 in U.S. regulatory affairs for at least
 4 ten years.
 5 Q. Dr. Brophy, you said?
 6 A. Uh-huh.
 7 Q. B-r-o-p-h-y?
 8 A. Correct.
 9 Q. And you believe he held that
 10 position since 2000 --
 11 A. Early 2000s.
 12 Q. Early 2000s?
 13 A. He recruited me into regulatory
 14 affairs, so he's been there quite some --
 15 he was there for quite some time.
 16 Q. Is he still with the company?
 17 A. No, he's retired.
 18 Q. Did he have a medical degree?
 19 A. No. He has a Ph.D. in
 20 toxicology.
 21 Q. Is there anybody else that you
 22 can think of since 2000 in U.S.
 23 regulatory that had responsibilities as it
 24 relates to Cymbalta?

1 A. No. She's been in that
 2 position for quite some time. She's
 3 responsible for our Alzheimer's platform.
 4 And reporting to her is Mr. Ashraff
 5 Rampersaud, A-s-h-r-a-f-f,
 6 R-a-m-p-e-r-s-a-u-d, he will be taking
 7 over Cymbalta and Zyprexa Relprevv from
 8 me.
 9 Q. And what position did he
 10 previously hold?
 11 A. It's an extension of his
 12 current position, but he has
 13 responsibility for several of our more
 14 mature neuroscience products. So he
 15 currently has Prozac, Symbyax, and the
 16 oral forms of Zyprexa. And with my
 17 taking on a new role, he will also be
 18 responsible for Cymbalta and Zyprexa
 19 Relprevv.
 20 Q. Do you have an understanding of
 21 who was the director of U.S. regulatory
 22 for neuroscience before you?
 23 A. Uh-huh. Well, our
 24 organizational structure was different, of

1 A. The individuals that I mentioned
 2 previously, Richard Hoffman, Isabelle
 3 Murray, Bryan Boggs, Sharon Hoog, Ann
 4 Robbins, and, I'm sorry, also Robert
 5 Conley, Dr. Robert Conley, he was the --
 6 he had Carl's position for a year and a
 7 half, two years prior to Carl coming into
 8 that position. He moved on just within
 9 the last six months.
 10 Q. And he had responsibilities as
 11 it relates to Cymbalta?
 12 A. He was the senior director of
 13 the bio-medicines business unit. He is
 14 -- he is an MD, psychiatrist, so he had
 15 a particular fondness for neuroscience.
 16 Q. And where is he currently now?
 17 A. He is now the neuroscience
 18 platform leader at Lilly. So I spoke of
 19 Dr. Escobar previously as the senior
 20 medical director. Dr. Escobar reports to
 21 Dr. Conley.
 22 Q. As far as regulatory affairs in
 23 the United States, is most of it based
 24 out of Indianapolis?

1 A. All of it is.
 2 Q. All of it is?
 3 A. Uh-huh.
 4 Q. I wasn't sure if there was
 5 another office in the United States that
 6 deals with regulatory affairs.
 7 A. Well, we have the YOS
 8 affiliate, but that's located here in
 9 Indianapolis as well.
 10 Q. Is there an investigational side
 11 of regulatory?
 12 MS. JONES: Objection to the
 13 form.
 14 A. What do you mean by that?
 15
 16 BY MR. O'BRIEN:
 17 Q. I guess if there were some sort
 18 of issues with a drug, that there's like
 19 a team that -- whose job it is to go out
 20 and investigate those issues.
 21 MS. JONES: Same objection.
 22 A. All safety issues are monitored
 23 and on a regular basis by our global
 24 patient safety organization.

1 training plan, which includes, you know,
 2 reviewing various SOPs and then
 3 regulations from FDA including, you
 4 know, the 21 CFR, 312, 314, 201, you
 5 know, 600s, 800s. They are also assigned
 6 a mentor coach, because, as I was saying,
 7 there's a lot of on-the-job training.
 8 There's a lot of guidance documents, all
 9 of which are open to interpretation. And
 10 so there is that. And there's a series of
 11 one-on-one meetings with various experts
 12 within the regulatory organization, let's
 13 say orphan drugs, advisory committee
 14 meetings, that kind of thing, so they
 15 know who to go to. And then, you know,
 16 as you're -- well, all documents, when
 17 we're creating them to go to FDA,
 18 typically undergo a peer review. We do
 19 that regardless if you're new or not,
 20 just to -- you know, it's always good to
 21 have another pair of eyes and different
 22 experience looking at the documents and
 23 reviewing them.
 24 Q. Is there an individual within

1 BY MR. O'BRIEN:
 2 Q. And that's what I was kind of
 3 getting at. I wasn't sure if that is
 4 mainly handled by the drug safety
 5 surveillance team or if there is an
 6 investigational unit within regulatory.
 7 A. I'm not sure what you mean by
 8 "investigational unit." Because that
 9 makes me think of CSI and, no, we don't
 10 have that, but we do -- I mean, our GPS
 11 group is responsible for monitoring and
 12 tracking down safety signals. Regulatory
 13 affairs is where -- the communication with
 14 the FDA, working with the teams to
 15 communicate the relevant information,
 16 including information gathered by GPS.
 17 Q. Now, when somebody comes into
 18 the regulatory department, say they came
 19 in the U.S. regulatory, is there a
 20 certain training that takes place?
 21 A. Oh, yes, yeah.
 22 Q. Can you describe that training
 23 for me?
 24 A. Yes, we have an individual

1 regulatory that's responsible for
 2 coordinating the training for new
 3 employees?
 4 A. Yes, that's Janet Fourman,
 5 F-o-u-r-m-a-n.
 6 Q. And what is her title?
 7 A. I'm not entirely sure. She's
 8 just been the training person for a long
 9 time, so she --
 10 Q. And --
 11 A. She coordinates the training
 12 across regulatory.
 13 Q. Is she part of the regulatory
 14 department or is she in a different
 15 department?
 16 A. I think that might have changed
 17 recently, because she -- I consider her
 18 part of regulatory, but I know across
 19 Lilly, we've consolidated all the training
 20 people under one organization. So she
 21 may actually be reporting through a
 22 training organization now.
 23 Q. Do you know who the trainer was
 24 before Janet Fourman?

Page 117

1 A. No, she been in that position
 2 for as long as I can remember, as long
 3 as I've been in regulatory affairs.
 4 Q. Now, with regard to SOPs and
 5 protocols, how would somebody in
 6 regulatory access them?
 7 A. Well, we have our ITP,
 8 individual learning -- or training plan.
 9 And we can go to that electronically and
 10 tells you which one is due when, you can
 11 click on the link and go to the SOP.
 12 The SOPs are maintained electronically on
 13 the Lilly Intranet. So you go to a
 14 collab site for the regulatory quality
 15 system or the medical quality system and
 16 you can pull up SOPs there.
 17 Q. You mentioned ITP, individual
 18 training program. What is an "ITP"?
 19 A. It's by role. There is a
 20 specific training plan that you need to
 21 -- what SOPs are relevant for that role
 22 and what are the coursework you need to
 23 take to be qualified in that role. So
 24 that would be different for me as

Page 118

1 compared to a statistician, for instance.
 2 Q. Is it like an interactive
 3 learning? Are there tests?
 4 A. It varies. Some of them are
 5 just reading SOPs, but there are a number
 6 of courses that are interactive that do
 7 knowledge checks and have various tests
 8 involved with it. There is also some
 9 instructor-led classes that are quite
 10 interactive.
 11 Q. And who are the instructors in
 12 regulatory or for regulatory training?
 13 A. That varies. Again, it's based
 14 -- Janet would coordinate. But it would
 15 be subject-matter experts that would teach
 16 whatever the topic was.
 17 Q. Say, for instance it's FDA
 18 reporting. Do you know who that would
 19 be?
 20 A. It's changed over the years.
 21 It used to be Melanie Bruno. Most
 22 currently it's probably Dan Brady.
 23 Q. And what's Dan Brady's position?
 24 A. He is a senior advisor in U.S.

Page 119

1 regulatory affairs oncology.
 2 Q. Any other live training that
 3 you can think of, instructors?
 4 A. Well, I instruct around advisory
 5 committee meetings as needed. When a
 6 team finds out they have an advisory
 7 committee meeting, I usually -- I go
 8 there and train. We have submission, a
 9 submission group led by Jane Amos who
 10 does training when you're a year or two
 11 out from submitting an NDA or a BLA,
 12 which is a biologics application. It's
 13 the equivalent, it's just not -- a small
 14 molecule on oral medication and biologic
 15 is usually injectable medication.
 16 Q. Now, you've never attended a
 17 Cymbalta advisory committee, correct?
 18 A. I viewed it through the webcast
 19 link, but I was not physically there in
 20 DC with the team. I did watch it. I
 21 helped the team prepare and then I
 22 watched it.
 23 Q. Is there a transcript for that?
 24 Was it recorded?

Page 120

1 A. Uh-huh, there is.
 2 MS. JONES: The transcript is
 3 on the FDA's website.
 4 A. All the briefing materials and
 5 the transcripts are available on the FDA
 6 website.
 7 BY MR. O'BRIEN:
 8 Q. And from somewhere, I don't
 9 know if it was in your resume, you
 10 actually participated in an advisory
 11 committee meeting but maybe for a
 12 different drug?
 13 A. It was for Zyprexa.
 14 Q. Were you -- strike that.
 15 You were involved in the
 16 preparation for the advisory committee for
 17 Cymbalta, correct?
 18 A. Yes. I was involved in the
 19 sense of helping the team -- describing
 20 what an advisory committee was to the
 21 team. Kind of talking about what was
 22 involved, how to do the briefing
 23 documents, some tips on how to do slides.
 24 So it was process support, it wasn't

1 content support.
 2 Q. Do you know if the materials or
 3 notes that were prepared in preparation of
 4 that meeting, are they saved anywhere?
 5 A. There are some that were saved
 6 on the collab site for the team. The
 7 public record is the briefing documents
 8 submitted to the agency, the transcript,
 9 the slides, all of that is publicly
 10 available in the FDA website.
 11 Q. I know you mentioned that there
 12 are SOPs for new employees that come into
 13 regulatory.
 14 A. Uh-huh.
 15 Q. Is there a handbook that
 16 employees receive?
 17 A. Well, there's kind of the Lilly
 18 red book, which is, you know, our
 19 underlying philosophy and ethical
 20 standards, I guess is the way to put it.
 21 Our ITP is -- it's done electronically.
 22 And I think they now call it "success
 23 factors." They've changed the name
 24 recently. But basically the list of what

1 would be the primary contact to the
 2 European regulatory authorities.
 3 Q. And who would be your
 4 colleagues in Europe that were the primary
 5 contacts with European regulatory
 6 authorities as it relates to Cymbalta?
 7 A. Currently that's Beth Heaviside,
 8 who I mentioned earlier.
 9 Q. Would you mind spelling that
 10 again?
 11 A. Yeah, H-e-a-v-i-s-i-d-e.
 12 Q. And where is Beth Heaviside
 13 located?
 14 A. She's located in the UK.
 15 Q. And what is her position in the
 16 UK?
 17 A. I don't know her exact title.
 18 I think she's a principal consultant.
 19 Q. And she deals with European
 20 regulatory bodies as they relate to
 21 Cymbalta?
 22 A. Yes.
 23 Q. Is there anybody else over
 24 there that you can think of?

1 you're required to do in your position is
 2 available electronically.
 3 Q. Now, you just discussed a red
 4 book, which --
 5 A. Uh-huh.
 6 Q. -- we had some conversation
 7 yesterday about. Do you know how
 8 detailed the red book is?
 9 A. It's conceptual, so it does go
 10 into detail. And when we do have online
 11 interactive training, they use scenarios
 12 to help illustrate the specific concepts
 13 that they're trying to convey and that
 14 is, you know, kind of a testing system,
 15 "What would you do in this situation?"
 16 Q. Is it -- is the -- the red
 17 book has different modulars [sic]?
 18 A. Yes.
 19 Q. In your position in U.S.
 20 regulatory in neuroscience, did you have
 21 any dealings with regulatory agencies
 22 other than the FDA?
 23 A. Not directly. I would interact
 24 with my colleagues in Europe, but they

1 A. I mean, not currently. She is
 2 the lead. In the past it's been Dr.
 3 Carly Anderson. And before her, it was
 4 Torkil Fredborg, who I mentioned earlier.
 5 Do you want me to spell that again?
 6 Q. Please. Would you mind
 7 spelling that again?
 8 A. Oh, okay. T-o-r-k-i-l,
 9 F-r-e-d-b-o-r-g. We are a very
 10 international company.
 11 Q. So Beth Heaviside, she would
 12 have responsibility for England and the
 13 UK?
 14 A. Yes. Well, actually the
 15 European Union, not just the UK.
 16 Q. Do you know if there's ever an
 17 issue with Cymbalta being denied approval
 18 in any international companies?
 19 A. International companies?
 20 Q. I'm sorry, international
 21 countries.
 22 MS. JONES: I'm sorry. Could
 23 you read that question -- do you want to
 24 restate the question again?

Page 125

1 MR. O'BRIEN: Let me restate
 2 it.
 3 BY MR. O'BRIEN:
 4 Q. Do you know if there was ever
 5 an issue with Cymbalta being denied
 6 approval in any international country?
 7 MS. JONES: Objection to the
 8 form. You may answer.
 9 A. Well, I guess I'm not sure
 10 exactly what you're asking.
 11 MS. JONES: When you say
 12 "international countries," you mean outside
 13 of the U.S.?
 14 MR. O'BRIEN: Outside of the
 15 U.S.
 16 MS. JONES: Okay.
 17 A. I don't have knowledge of every
 18 submission we've made outside of the U.S.
 19 So I don't think I can answer that
 20 question.
 21 BY MR. O'BRIEN:
 22 Q. Do you have any idea who would
 23 be able to answer that question?
 24 A. Yes. We have a system called

Page 126

1 "RAPT," regulatory activity and planning
 2 tracker, which does list all of the
 3 approved indications by country as well as
 4 the approved presentations in that
 5 country. And it will go through and list
 6 out if an application has been submitted,
 7 withdrawn, approved, et cetera, what is
 8 the status of those indications. So you
 9 can look at that by country.
 10 Q. Now, earlier today, we discussed
 11 a little bit about what happens when you
 12 receive a phone call with the FDA and how
 13 that is recorded.
 14 A. Uh-huh.
 15 Q. Is there any policy and
 16 procedure if there's a meeting with the
 17 FDA as far as how that meeting is
 18 recorded?
 19 A. Yes, there is an SOP about
 20 that.
 21 Q. Could you tell me what the
 22 policy and procedure is, generally if you
 23 don't know specifically?
 24 A. Okay. I think that it's called

Page 127

1 "interactions with regulatory agencies," I
 2 think it is that simple as a title. And
 3 that -- and separate there is one for
 4 documenting interactions, which is more
 5 the record of contact. So the formal
 6 meetings, there is an SOP around --
 7 around that interaction.
 8 Q. Do you know any of the details
 9 of the -- what happens? Is it recorded
 10 on a certain form or is it saved? Who
 11 is it sent to?
 12 A. Okay. I will commit
 13 specifically on FDA interactions because
 14 they do differ somewhat, but fundamentally
 15 it's the same concept. We submit a
 16 formal meeting request to FDA. There is
 17 a certain format specified by FDA that
 18 that meeting request is submitted that
 19 gives the agency an idea of what the
 20 topics are, background on the molecule,
 21 what questions you want to discuss with
 22 them. And then FDA either grants that
 23 meeting or they deny it. They can grant
 24 the meeting as a face-to-face, they can

Page 128

1 grant the meeting as a teleconference, or
 2 they can grant it as meeting granted,
 3 written responses only. So they would
 4 only provide written feedback. Prior to --
 5 they assign a date for the meeting. Prior
 6 to that meeting, we submit a briefing
 7 package to the agency, which outlines what
 8 we want to discuss, the relevant
 9 background, and what questions we
 10 specifically want them to answer for us.
 11 And then during the meeting,
 12 there's a back-and-forth discussion, it's
 13 all based on the questions. There's no
 14 presentation. You assume everybody has
 15 done their homework, read the document,
 16 and that you really just go into the
 17 questions. There's a dialogue. Actually,
 18 let me back up.
 19 Prior to the meeting, usually a
 20 few days prior to the meeting, FDA,
 21 through their good review practices
 22 initiative, will provide us with
 23 preliminary written meeting minutes. So
 24 basically their preliminaries are not

1 final, but they do provide their initial
 2 pass at the answers to those questions.
 3 So as a regulatory scientist,
 4 we talk with the team. If they've
 5 answered a specific question sufficiently,
 6 then we won't discuss it at the meeting.
 7 And so then at the meeting, we
 8 do focus on questions that require further
 9 resolution or clarification. And then
 10 following the meeting, we, Lilly, through
 11 the -- led by the regulatory scientists,
 12 will create our internal version of the
 13 meeting minutes which we then submit to
 14 the agency. And then they issue final
 15 official minutes within 30 days of the
 16 meeting. And those minutes will reflect
 17 the questions, the preliminary comments
 18 that FDA sent us prior to the meeting, as
 19 well as any discussion that happened at
 20 the meeting and kind of like their final
 21 answer to that specific question.
 22 And that is your official
 23 record of the FDA meeting.
 24 Q. And where would that record be

1 that you're asking about individuals
 2 outside of regulatory affairs, I'm going
 3 to object to the extent that it's outside
 4 the scope of the notice, but she can
 5 answer to the extent that she knows in
 6 her individual capacity.
 7 A. That's a difficult question for
 8 me to answer because we have multiple
 9 INDs for Cymbalta because they are --
 10 there's an IND for each indication that
 11 we have studied and evaluated over time.
 12 So we have an IND or depression, we have
 13 an IND for anxiety, we have an IND for
 14 diabetic peripheral neuropathic pain, for
 15 fibromyalgia, chronic pain, so we have
 16 several open INDs. They were opened at
 17 various points in time and I'd have to
 18 look at our list to know when the first
 19 IND was submitted, but that was probably
 20 in the '80s when I wasn't at the company,
 21 and I don't know who would have been
 22 involved. But that's -- drug development
 23 is a very long process. So that's when
 24 clinical trials would have started in

1 saved?
 2 A. That would be in our e-file
 3 system.
 4 Q. Now, I know we kind of went
 5 through and discussed a little bit of the
 6 INDA and the NDA. As far as the
 7 individuals that were responsible for
 8 working on the INDA, can we talk about
 9 any other names that you can think of
 10 outside of ones you've already provided?
 11 I know we kind of went through the
 12 product team.
 13 A. Uh-huh.
 14 Q. And that was only really
 15 related to regulatory?
 16 A. Yes. The INDs --
 17 MS. JONES: Hold on just a
 18 second. You're asking about individuals
 19 outside of regulatory affairs?
 20 BY MR. O'BRIEN:
 21 Q. Well, let's just go through
 22 individuals that worked on the INDA.
 23 A. Okay.
 24 MS. JONES: Well, to the extent

1 humans and then progressed ultimately
 2 resulting in an NDA application.
 3 BY MR. O'BRIEN:
 4 Q. Let's just take it back to
 5 before Cymbalta was approved. Some of
 6 the people that were involved in the INDA
 7 that had a high level, can you go through
 8 their names and positions?
 9 MS. JONES: Objection to the
 10 form.
 11 A. Not beyond what I've already
 12 told you.
 13 BY MR. O'BRIEN:
 14 Q. How about with the NDA?
 15 A. It's pretty much the same as
 16 what I've told you. I wasn't working on
 17 Cymbalta, so I'm just not familiar with
 18 who was involved with it.
 19 Q. Let's just go through, let me
 20 make sure I've got all the names from
 21 before. Would you go through the INDA,
 22 the names you've already told me, make
 23 sure we've got everybody.
 24 A. Well, it's not going to be a

1 complete list. I mean, I primarily know
2 the regulatory folks who were involved,
3 which at the time of approval would have
4 been Dr. Sharon Hoog and Dr. Ann Sakai at
5 that time, now Robbins. The physicians
6 that I know were involved were Dr.
7 Michael Robinson, Dr. Vladimir Skljarevski,
8 who is -- I'm not saying that correctly.
9 Dr. Arei Regev, and Dr. Joe Wernicke.

10 Q. How do you spell Joe's last
11 name?

12 A. W-e-r-n-i-c-k-e.

13 Q. What was Joe Wernicke's
14 involvement with the INDA?

15 A. Well, I'm referring specifically
16 to the NDA now.

17 Q. Okay. Well, with regard to the
18 NDA.

19 A. And that's actually only IND,
20 there's no A on it. He was a global
21 patient safety physician. Beyond that, I
22 can't elaborate on what exactly he did.

23 Q. Does Lilly still employ global
24 safety physicians?

1 addresses of the people responsible for
2 the NDAs related to Cymbalta?

3 A. Well, not their addresses,
4 certainly. The names -- I focused on
5 regulatory affairs quite honestly, so I
6 did not go beyond that.

7 Q. Is it your understanding that
8 you have identified all the individuals
9 who were involved with the NDA as it
10 relates to regulatory affairs for
11 Cymbalta?

12 A. Well, those -- the two
13 individuals I have called out, Dr. Hoog
14 and Dr. Robbins, were the primary
15 regulatory scientists. Dr. Brophy was
16 their supervisor at that time, so he
17 would have been involved. And there
18 would have been involvement from chemistry
19 manufacturing controls, from labeling, and
20 I don't know those individuals at that
21 point in time.

22 Q. Does labeling fall under
23 regulatory affairs?

24 A. Yes.

1 A. Yes.

2 Q. And, typically, what does a
3 global safety physician do?

4 MS. JONES: This is outside of
5 the scope of the notice. Please note my
6 objection. You can testify in your
7 individual capacity to the extent that you
8 know.

9 A. We have ongoing safety
10 surveillance which is completed by our
11 surveillance associates and then they
12 provide that information to the global
13 product safety physicians who meet
14 regularly to review the safety of our
15 products and they look at a variety of
16 data sources for that including the
17 literature, our clinical trial data, FDA
18 databases, et cetera.

19 BY MR. O'BRIEN:

20 Q. Is Joe Wernicke still with the
21 company?

22 A. No, he is retired.

23 Q. Did you make an effort to
24 educate yourself about the name and

1 Q. How would I obtain the identity
2 of individuals who were involved with
3 labeling in the NDA for Cymbalta?

4 A. I believe that's part of the
5 organizational charts that were provided.
6 But, you know, we could refer to that or
7 we could speak to somebody within our
8 current labeling department.

9 MS. JONES: I think the
10 organizational charts are going to answer
11 that question.

12 BY MR. O'BRIEN:

13 Q. With regard -- with regard to
14 drafting the IND for Cymbalta, what
15 departments were involved other than
16 regulatory?

17 A. Well, I'm going to speak in
18 generalities because I can tell you the
19 functions certainly involved.

20 MS. JONES: And I'm going to
21 object to the extent that this is outside
22 the scope of the notice. You can answer
23 in your individual capacity.

24 A. Okay. Different -- there are

Page 137

1 different components to an IND, much like
 2 an NDA. But because, when you're
 3 typically filing an IND, it's primarily --
 4 you've not -- you don't have any human
 5 data. So there is definitely a
 6 toxicologist. There is a clinical
 7 pharmacologist. There -- we have ADME,
 8 which stands for "absorption, distribution,
 9 metabolism, and excretion" scientists.
 10 Usually a pharmacokineticist, regulatory,
 11 and then medical as well as global
 12 patient safety and chemistry manufacturing
 13 controls. There's also a legal review.
 14 BY MR. O'BRIEN:
 15 Q. Within those different
 16 categories, do you know any individuals
 17 that were involved in the Cymbalta IND?
 18 A. No.
 19 Q. Now, I want to go back and
 20 talk about the NDA file.
 21 A. Uh-huh.
 22 Q. What -- I know you mentioned
 23 some of the things that are recorded in
 24 the NDA file. Can you give me a list of

Page 138

1 the different areas that are recorded?
 2 A. Are you talking like the table
 3 of contents essentially or --
 4 Q. Like information that's
 5 contained in an NDA file for Cymbalta.
 6 A. Okay. That's a pretty broad
 7 question, so I'm not -- is there an area
 8 you would like me to focus on?
 9 Q. No, just generally.
 10 A. Okay.
 11 MS. JONES: Well, let me --
 12 let me just say, that's obviously all
 13 governed by FDA regulations. To the extent
 14 that you want to give a sense of what
 15 that includes, but -- why don't you try
 16 to do that?
 17 A. Okay. In today's format, which
 18 is the electronic common technical
 19 document, ECTD, format, Module 1 will
 20 include various administrative forms. It
 21 will include your draft label and your
 22 annotated draft label. It will include
 23 meeting minutes of previous meetings that
 24 have been held with FDA that are relevant

Page 139

1 to that application. It would include
 2 your risk management plan, your pediatric
 3 plan, your patent information.
 4 Module 2 would include the
 5 multiple summaries. So that would include
 6 your clinical overview, which is really
 7 about the benefit risk of your compound.
 8 It includes your summary of efficacy, your
 9 summary of safety, your summary of
 10 clinical pharmacology, your summary of
 11 quality, which means CMNC, it also
 12 includes summaries of the nonclinical work
 13 that was conducted.
 14 Module 3 goes into a lot of
 15 detail for chemistry manufacturing
 16 controls. It's broken out by drug
 17 product and drug substance.
 18 Module 4 will include all the
 19 individual study reports of all the
 20 nonclinical work that's been done.
 21 And then Module 5 includes all
 22 of the clinical study reports that have
 23 been conducted. And that includes
 24 statistical data sets and case report

Page 140

1 forms, a number -- in addition to the
 2 individual study reports.
 3 BY MR. O'BRIEN:
 4 Q. Now, you mentioned there's a
 5 risk management plan. Is that something
 6 that's updated every year?
 7 A. That is a newer document. It's
 8 actually required by European regulation,
 9 but it's not required by FDA. It is a
 10 document that we have for all of our
 11 molecules at Lilly and so we typically do
 12 provide that to the agency, to FDA.
 13 Those are -- risk management plans are
 14 subject to annual review and they can be
 15 updated more frequently if there are
 16 emergent new safety issues.
 17 Q. Now, it's -- strike that.
 18 What is regulatory's involvement
 19 on working with the risk management plan?
 20 I know there's some parts that's handled
 21 by the drug surveillance team. Can you
 22 explain to me the process on developing
 23 the risk management plan for Cymbalta?
 24 A. Uh-huh. For Cymbalta

Page 141

1 specifically, more maintaining the risk
2 management plan. We are reviewing it
3 annually. That effort is initiated by
4 our global patient safety organization.
5 So they're responsible for creating,
6 initiating the review and the approval of
7 that document. Regulatory is involved in
8 reviewing the document and there are
9 specific sections within the risk
10 management plan for which regulatory
11 personnel are responsible and that
12 includes any regulatory interactions that
13 have occurred over the last reporting
14 period to do with safety. Were there any
15 drug safety communications? Were there,
16 you know, where we put on clinical hold
17 for some safety issue, safety reason?
18 Was, you know, product withdrawn, that
19 kind of thing? And so my -- in my
20 tenure working on Cymbalta, I've not had
21 anything to list in that section.
22 Q. Now, I want to turn our
23 attention to meetings in the regulatory
24 affairs department. Are there regular

Page 142

1 meetings that happen on a scheduled basis?
2 As a director in U.S. regulatory affairs
3 for Cymbalta and neuroscience, what
4 meetings do you attend on a regular
5 basis?
6 A. Could you be more specific?
7 Q. Is there a department meeting
8 that you have to attend every month?
9 A. We have different -- okay. So
10 we have GRAUS bio-medicines department
11 meeting.
12 Q. And let me help kind of
13 streamline everything along. Any sort of
14 meeting where Cymbalta could be on the
15 agenda.
16 A. It would be the bio -- what I
17 just was saying, the GRAUS bio-medicines
18 group meets monthly. Cymbalta may be on
19 the agenda. It frequently is not.
20 There is a quarterly management
21 review of all postmarketing commitments
22 that we have outstanding as a company,
23 that includes all approved drugs, of which
24 Cymbalta is one of them. And we do have

Page 143

1 postmarketing commitments outstanding, so
2 they are reviewed.
3 Q. And who participates in those
4 meetings?
5 A. Those meetings are chaired by
6 Dr. Rob Metcalf and Dr. Tim Garnett.
7 There are also other medical personnel
8 involved as well as legal.
9 Q. And can you list the different
10 individuals and responsibilities that
11 attend those meetings?
12 A. No, because I don't attend
13 those meetings. I provide written input
14 to those meetings. I give status updates
15 on the various PMCs. And I've once been
16 asked to go to the meeting to answer
17 questions.
18 Q. And what's a "PMC"?
19 A. Oh, postmarketing commitment.
20 When a drug is approved by FDA, they
21 often, in their approval letter, will
22 issue certain postmarketing commitments.
23 That could be to conduct a different
24 study, to collect additional information

Page 144

1 from a registry, there can be multiple
2 different kinds of commitments that are
3 requested by FDA.
4 Q. And have you ever been required
5 to gather money -- sorry.
6 Have you ever been required to
7 gather materials as it relates to Cymbalta
8 for any sort of quarterly management
9 review?
10 A. Yes.
11 Q. And when was that?
12 A. Well, I provide quarterly
13 updates on our postmarketing commitments,
14 I do that routinely. And we also have a
15 quarterly senior management report where
16 we provide the status of each of our
17 molecules. For me, I provide an update
18 on Cymbalta. I do that quarterly.
19 Q. And what is the name of that
20 document?
21 A. Senior monthly management
22 report, yeah, for bio-medicines. There's
23 separate one for diabetes, a separate one
24 for oncology.

Page 145

1 Q. And where would that be saved?
2 A. I believe there is the GRAUS
3 collaboration site. I think there's a
4 senior management component to that that
5 is restricted.
6 Q. And you said there's also --
7 you also said there's a GRUS [sic]
8 bio-medicines meetings?
9 A. Uh-huh.
10 Q. And who attends those meetings
11 and what are the purpose of those
12 meetings?
13 A. So it's really Carl Garner and
14 his staff. We talk about what's going
15 on, what hot topics are going on, their
16 updates on what's going on from a
17 management perspective that we need to be
18 aware of.
19 Q. As it relates to regulatory
20 issues or what type --
21 A. Yes.
22 Q. -- of issues do you discuss at
23 those meetings?
24 A. Yeah, I mean, it's primarily

Page 146

1 regulatory. It's who recently had a
2 meeting with FDA, how did it go, that
3 kind of thing.
4 Q. Do you know if Cymbalta
5 discontinuation syndrome has ever been
6 addressed in one of those meeting?
7 A. Not while I've been on Cymbalta
8 and not that I'm aware of prior to that.
9 Q. And that would also be saved on
10 the system?
11 MS. JONES: Objection to form.
12 When you say "that," what are you talking
13 about?
14 MR. O'BRIEN: I'm sorry. Let
15 me ask you a better question.
16 BY MR. O'BRIEN:
17 Q. Would those materials used at
18 the global regulatory bio-medicine meetings
19 be saved anywhere?
20 A. They are saved currently on a
21 collaboration site.
22 Q. Now, you were the U.S.
23 regulatory consultant in neuroscience from
24 September 2010 to March of 2010.

Page 147

1 MS. JONES: I'm sorry.
2 September of 2010 to March 2010?
3 MR. O'BRIEN: I'm sorry.
4 September 2007 to March 2010.
5 BY MR. O'BRIEN:
6 Q. Do you remember attending any
7 meetings where Cymbalta discontinuation
8 syndrome was discussed?
9 A. No.
10 Q. And during that time frame,
11 were you attending regular department
12 meetings?
13 A. Yes.
14 Q. Would it be the same type of
15 meetings or what type -- what were those
16 meetings called during that time frame?
17 A. During that time frame, we were
18 -- it was neuroscience focused. So we
19 did have a monthly, you know, U.S.
20 regulatory neuroscience meeting. Dr. Brophy
21 was in charge of that group. And it was
22 the same kind of meeting, talking about
23 the products, but it was a little more
24 focused since it was just neuroscience.

Page 148

1 Q. Now, as we talked about
2 earlier, there are CRFs [sic] that govern
3 what information is placed into new drug
4 applications, correct?
5 MS. JONES: Do you mean
6 provisions of the Code of Federal
7 Regulations?
8 MR. O'BRIEN: Yes.
9 MS. JONES: You said "CRF."
10 THE WITNESS: That's CFR.
11 MS. JONES: That's CFR.
12 MR. O'BRIEN: Sorry.
13 BY MR. O'BRIEN:
14 Q. Sorry. Strike that.
15 There are regulatory statutes
16 that govern what goes into an NDA,
17 correct?
18 A. Correct.
19 Q. Has Lilly converted those
20 regulatory statutes in any sort of SOPs
21 or --
22 A. Yes.
23 MS. JONES: Hold on. I'm
24 sorry. Objection to the form. Go ahead.

1 You can answer.
 2 A. If you -- all of our SOPs
 3 reference specific statutes and/or
 4 guidance, whether they be FDA,
 5 international, that is part of the SOP
 6 and wherever that comes from is always
 7 referenced.
 8 BY MR. O'BRIEN:
 9 Q. Are there any other documents
 10 associated with those SOPs that provide
 11 any sort of direction, like some sort of
 12 job aid?
 13 MS. JONES: Objection to the
 14 form.
 15 A. You mean for an NDA?
 16 BY MR. O'BRIEN:
 17 Q. Yeah, for an NDA. For
 18 instance, yesterday we had a deposition
 19 with Dr. Knowles. He said some of the
 20 SOPs had accompanying documents that
 21 helped, you know, provide some guidance.
 22 I was wondering if there's that with the
 23 SOPs as it relates to federal regulations?
 24 A. There are some SOPs with job

1 personnel assigned within the quality
 2 organization that will take the lead on
 3 updating or creating SOPs. And they will
 4 employ the appropriate experts in doing
 5 that and then they are approved.
 6 Ultimately by Dr. Metcalf or Dr. Forda,
 7 who is the vice president of international
 8 regulatory affairs, as well as the quality
 9 organization. There's usually two
 10 approvers.
 11 Q. Is there a copy of the CFRs in
 12 your department?
 13 A. Yes.
 14 Q. Where is it located?
 15 A. We have individual copies on
 16 the two nice little notebook things.
 17 It's updated annually, includes 21 CFR,
 18 312, 314, 50, just the ones that are most
 19 relevant to our job. So we literally
 20 have a paper copy. You can also access
 21 this online through the FDA website and
 22 get to any CFR.
 23 Q. And other than job aids, are
 24 there any other documents that Lilly has

1 aids or tools associated with them. I'm
 2 trying to think specifically around NDA if
 3 there was anything. Because I'm not sure
 4 there's a job aid, but there is reference
 5 to the ECTD table of contents, which is
 6 very detailed as to what goes in what
 7 module and a description of those that is
 8 been harmonized that's been agreed to
 9 globally through the international council
 10 of harmonization.
 11 Q. Do you know who was responsible
 12 in entering the code of regulations into
 13 SOPs?
 14 A. It would have --
 15 MS. JONES: Hold on. Objection
 16 to the form. Go ahead.
 17 A. It would have been the folks
 18 involved with the regulatory quality
 19 system.
 20 BY MR. O'BRIEN:
 21 Q. And what folks involved in
 22 regulatory quality system draft the SOPs?
 23 A. It's done by subject-matter
 24 experts within the group. There are

1 produced that help provide guidance in
 2 interpreting the CFRs?
 3 A. No. Well, let me take that
 4 back. We do look at FDA guidance
 5 documents which will often extrapolate
 6 from the CFRs. That's not something that
 7 Lilly provides, that's something that FDA
 8 provides and is publicly available. And
 9 then there may be webinars or webcasts
 10 that different organizations will host to
 11 elaborate on a specific regulation. Those
 12 are done by external parties, not by
 13 Lilly.
 14 Q. Now, I want to turn my
 15 attention to technical writers. Were you
 16 -- one of your, I guess, earlier
 17 positions in Lilly, was that part of a
 18 technical writing group?
 19 A. Medical writing, technical
 20 writing, global scientific information and
 21 communications essentially mean the same
 22 thing, although technical writer can have
 23 different connotations, so it would depend
 24 on specifically what you're referring to.

Page 153

1 Q. Were there technical writers
2 that were used to help draft Cymbalta's
3 label changes since 2004?
4 A. No. The people that are
5 involved with drafting the label, that
6 effort is coordinated by our labeling
7 department. So they would coordinate the
8 writing of the label. The content comes
9 from the cross-functional team involved,
10 including medical, GPS, statistics,
11 toxicology, ADME, PK.
12 Q. And that was a product team
13 that we talked about earlier?
14 A. That's correct.
15 Q. That would be the same team
16 that would deal with the label changes?
17 A. Yes. So in a way, you could
18 call the labeling associate a technical
19 writer, but they do more than that. They
20 do research on FDA's expectations for
21 various parts of the label. That does
22 change over time. The format of the
23 labeling changes over time and they keep
24 up with all of that and also will look

Page 154

1 at, you know, competitor labels for
2 consistency.
3 Q. Do you know who the labeling
4 associate is for Cymbalta?
5 A. Yes, Sara Mescher. That's
6 currently who was the labeling associate.
7 Q. And how long has Sara been in
8 that position?
9 A. Several years. I don't know
10 specifically when she started working on
11 Cymbalta.
12 Q. Has it been like five years
13 or --
14 A. I think so.
15 Q. Next I want to turn -- do you
16 want to take -- would you like to take a
17 break?
18 A. I'm okay. You can go.
19 Q. Okay. We'll keep on going
20 then.
21 MR. O'BRIEN: Phyllis, are you
22 good?
23 MS. JONES: That's just fine
24 with me.

Page 155

1 MR. O'BRIEN: Okay.
2 BY MR. O'BRIEN:
3 Q. I want to turn our attention to
4 advertisements. Who creates a draft copy
5 of Cymbalta ads?
6 MS. JONES: I'm going to object
7 to this as outside the scope of the
8 notice. You may answer in your
9 individual capacity.
10 A. In general terms, marketing
11 creates all of our promotional pieces.
12 BY MR. O'BRIEN:
13 Q. Do you know if marketing
14 employs any third parties that create the
15 advertisement or is that done in-house?
16 MS. JONES: Same objection. Go
17 ahead.
18 A. We do some in-house. I know
19 we've engaged other vendors for materials.
20 BY MR. O'BRIEN:
21 Q. Are ads sent to the FDA? I
22 know before you kind of took me through
23 the process of approving ads. Once they
24 are, you know, used, are they sent to the

Page 156

1 FDA?
2 A. We have a regulatory
3 affairs-U.S. ad promotional group. They
4 are responsible for working with marketing
5 and the PCA review process to ensure
6 compliance with our SOPs as well as any
7 applicable federal regulation. All of our
8 advertising materials are submitted to FDA
9 per regulation. In some cases, direct to
10 consumer information is precleared. Other
11 information is submitted with a Form 2253
12 at the first time of use. But, yes, all
13 of that is provided to the agency.
14 Q. Just so you understand, there's
15 some advertisements that you can just
16 start using and then you kind of send it
17 to the FDA after the fact, correct?
18 A. You send it at the same time
19 that you intend to use it.
20 Q. That doesn't require some sort
21 of preapproval?
22 A. That's correct.
23 Q. Are there certain ads that
24 require preapproval?

1 A. Yes.
 2 Q. What type of ads are they?
 3 A. Television direct-to-consumer
 4 ads. We elect -- I mean, there are
 5 times when we preclear materials that
 6 don't require preclearance because we want
 7 FDA's feedback on that.
 8 Q. And where are these ads saved
 9 within regulatory?
 10 A. All of the regulatory
 11 correspondence that we have with the
 12 agency is maintained in e-files.
 13 Q. And how long are they retained
 14 for? Are they retained for the life?
 15 A. Yeah, as long as -- yeah, as
 16 long as we're marketing the compound or
 17 the drug. Or if we divest it, we might,
 18 you know, sell the product and with it we
 19 would give them the IND and NDA.
 20 Q. Has the FDA ever had an issue
 21 with one of Eli Lilly's ads for Cymbalta?
 22 MS. JONES: Objection to the
 23 form, vague.
 24 A. What do you mean?

1 promotional piece.
 2 Q. You said that's an untitled
 3 letter. Is there something called a
 4 "titled letter"?
 5 A. There's an untitled letter and
 6 then there's a warning letter. I don't
 7 know how they came up with the
 8 terminology. An untitled -- it has to do
 9 with the severity of the violation, is my
 10 understanding is the difference between
 11 the two.
 12 Q. Now, would a warning letter,
 13 would that -- would that come after --
 14 after an untitled letter or could a
 15 warning letter come out for the first
 16 time on an advertisement that the FDA had
 17 a problem with?
 18 A. A warning letter could come out
 19 the first time.
 20 Q. Okay. Do you know if Eli
 21 Lilly has ever received a warning letter
 22 as it relates to a Cymbalta ad?
 23 A. We have not.
 24 Q. Do you have an idea of how

1 BY MR. O'BRIEN:
 2 Q. Meaning that maybe they thought
 3 that Eli Lilly was misrepresenting
 4 something in an advertisement for
 5 Cymbalta?
 6 A. We have received a few
 7 "untitled letters," is what it's called,
 8 if FDA has concerns about a specific
 9 advertisement. Yes, we've received a
 10 couple of these.
 11 Q. As it relates to Cymbalta?
 12 A. Yes.
 13 Q. Do you know what happened --
 14 strike that.
 15 What is an "untitled letter"?
 16 A. An "untitled letter" is a
 17 notice of violation that FDA provides to
 18 a sponsor, if they review a promotional
 19 piece that they think is not in
 20 compliance with their standards. That
 21 letter is sent to the company and will
 22 define what they believe is deficient and
 23 they add and ask us to ask the sponsor
 24 to cease dissemination and/or correct the

1 many untitled letters that Lilly has
 2 received from the FDA regarding Cymbalta?
 3 A. I think it's four, maybe five.
 4 MS. JONES: And those have been
 5 produced, Kevin.
 6 BY MR. O'BRIEN:
 7 Q. Do you have a recollection of
 8 what type of issues the FDA had with the
 9 Cymbalta representations?
 10 A. I have a vague idea. So I
 11 can't speak to the details. I know a
 12 few of them involved promotional pieces
 13 regarding diabetic peripheral neuropathic
 14 pain that they -- well, in one case, a
 15 promotional piece, they found deficient
 16 with respect to safety information,
 17 however, it turned out that the FDA had
 18 been -- they had the wrong information.
 19 They literally had the advertisement
 20 without the important safety information.
 21 So they were wrong. The information had
 22 been provided. So once that was
 23 discovered, the letter was no longer -- I
 24 don't know what the right word is, but,

Page 161

1 you know, it wasn't --
 2 Q. It was taken back or whatever?
 3 A. It was taken back, yes.
 4 Q. What about the other, what did
 5 we say, four, you said four or five
 6 letters?
 7 A. Yeah. I don't recall the
 8 details, but I do remember looking through
 9 them briefly and there was nothing
 10 specific to discontinuation emergent
 11 adverse events.
 12 Q. Do you remember if the
 13 advertisements were ultimately pulled in
 14 those cases?
 15 A. In all cases, we complied with
 16 FDA's recommendation to cease
 17 dissemination. We responded to the
 18 letters in writing with whether or not we
 19 agreed with their characterization, of
 20 whether or not we were in violation and
 21 -- but, yes, we did cease dissemination
 22 of the specific pieces that were noted.
 23 Q. Do you know if within
 24 regulatory if there's any sort of

Page 162

1 corrective action that got taken regarding
 2 representations with the Cymbalta ads?
 3 Like was anybody disciplined for letting
 4 an ad that had to be pulled back due to
 5 FDA cease and desist letters?
 6 MS. JONES: Objection to the
 7 form.
 8 A. Not that I'm aware of. We are
 9 constantly learning from our interactions
 10 with the agency as well as interactions
 11 of other companies. We routinely review
 12 untitled and warning letters, particularly
 13 for advertising and promotions, because
 14 FDA regulation and implementation of
 15 regulation, particularly for advertising
 16 and promotion, has changed dramatically
 17 over the years. So there is a -- it is
 18 an area that evolves quite frequently.
 19 BY MR. O'BRIEN:
 20 Q. Was Eli Lilly concerned about
 21 the untitled letters?
 22 A. Yes. I mean, we take them
 23 very seriously and we go through and try
 24 to understand where we might have gone

Page 163

1 wrong in producing those pieces and what
 2 we could have done differently. We often
 3 find it's a difference of interpretation
 4 and that what we provided in a
 5 promotional piece, we did believe was in
 6 compliance with the regulations. And we
 7 have so stipulated.
 8 Q. Do you know if there's any
 9 changes to the regulatory process for
 10 reviewing ads after the second, third,
 11 fourth were pulled back from the FDA?
 12 A. No. I mean, we do periodically
 13 review our processes, but not -- there is
 14 not changes specific to Cymbalta or
 15 because of Cymbalta.
 16 Q. I was wondering if you know the
 17 person that was reviewing those ads, that
 18 gave approval to those ads that had to be
 19 pulled back from the FDA, if that person
 20 was maybe changed out or given new
 21 instruction or extensive training due to
 22 the FDA's untitled letters?
 23 MS. JONES: Objection to the
 24 form.

Page 164

1 A. I don't know.
 2 MR. O'BRIEN: Let's take a
 3 ten-minute break.
 4 MS. JONES: We are going off
 5 the record. The time is 11:42 a.m.
 6 (A recess was taken at
 7 11:42 a.m.)
 8 THE VIDEOGRAPHER: This is the
 9 beginning of Tape 3 in the deposition of
 10 Dr. Christine Phillips. The time is 11:51
 11 a.m., and we are back on the record.
 12 BY MR. O'BRIEN:
 13 Q. Dr. Phillips, I want to turn
 14 our attention to labeling. With regard
 15 to labeling, I think you mentioned earlier
 16 there's a -- there was a Cymbalta product
 17 team that would help develop and draft
 18 the labels, correct?
 19 A. Correct.
 20 Q. Do you know -- are you aware
 21 if Lilly keeps different drafts of labels,
 22 for instance, is there red-line labels,
 23 drafts that Lilly keeps?
 24 A. Every time we make a label

1 change, we submit to the agency both a
2 red-line version as well as a clean
3 version. So, yes, we do have records of
4 those.

5 Q. I imagine that in the course of
6 drafting a label, there may be different
7 versions that take place. Do you know if
8 different versions are saved before
9 they're submitted to the FDA?

10 A. I do not know.

11 Q. Do you know where that
12 information would be if it did exist?

13 A. If it does exist, it would be
14 within the global labeling archives.

15 Q. And that would -- that would
16 include, if it does exist, rough drafts
17 of labels before they're actually
18 finalized?

19 A. Yes.

20 Q. Is there somebody that's
21 responsible for archives at global label?

22 A. There is. I do not know.
23 Nancy Allen is the senior director over
24 operations and labeling. So I expect she

1 considering a label change.

2 Regardless of what initiated
3 that trigger, the process is to review
4 the information to understand why the
5 change is being requested, what's the
6 rationale, what are the supporting data,
7 and then we look at our core data sheet
8 to see, is it already covered in our core
9 data sheet, yes or no. If it's not, then
10 we would proceed with potential core data
11 sheet change which would be documented and
12 reviewed by our Global Product Labeling
13 Committee, GPLC. If that change is
14 approved, it will then be implemented
15 locally and labeling according to that
16 region's local regulations. And then
17 whatever change has been made will be
18 submitted to the appropriate regulatory
19 agency for approval.

20 Q. Now, with regard to a label
21 change, let's say, for instance, as you
22 know, there were label changes with regard
23 to Cymbalta discontinuation syndrome.

24 A. Yes, there have been label

1 is ultimately responsible. I don't know
2 if there's a specific individual within
3 labeling that that is their
4 responsibility.

5 Q. Are you familiar with the
6 process of changing a drug's label?

7 A. Yes.

8 Q. Can you take us through it?

9 A. Okay. For any label change at
10 Lilly, we -- there are many potential
11 triggers for a label change. It could be
12 through our safety surveillance that's
13 ongoing, we've identified a new emergent
14 safety signal. It could be -- it could
15 come from that. It could come from the
16 completion of a clinical study where
17 either a safety or efficacy difference has
18 been noted. So we're adding a new
19 indication, for instance. It could come
20 from new toxicology data, new clinical
21 pharmacology data, PK data. We can get
22 requests from various regulatory agencies
23 to make a label change. So there are
24 many different ways or triggers for

1 changes regarding that.

2 Q. When there's a label change
3 within Lilly, is there any sort of
4 rationale for the label change?

5 A. Yes.

6 Q. Is that document submitted to
7 the FDA?

8 A. Yes.

9 Q. Is there additional documents
10 related to label change that aren't
11 submitted to the FDA?

12 A. I'm thinking. No, I mean,
13 there -- like I said, there could be
14 different reasons for a label change,
15 whether that be a new indication that
16 we're adding to the label, a new safety
17 finding, or an FDA request, specifically.
18 I'm focusing on the U.S. in this
19 situation. But whenever we submit a
20 label to the agency for a review and
21 approval, every change is annotated with
22 supporting documentation.

23 MS. JONES: I'm sorry. Could
24 you read the last question back, please?

Page 169

1 (Record read.)
 2 MS. JONES: Okay. Let me just
 3 make an objection to the form.
 4 BY MR. O'BRIEN:
 5 Q. Do you know, with regard to
 6 Cymbalta or any other sort of label
 7 change, if the product team creates
 8 materials that kind of discuss the
 9 reasoning for the label change? Say
 10 there's PowerPoint presentations to present
 11 to the group, do you know if those sort
 12 of materials are created?
 13 MS. JONES: Objection to the
 14 form.
 15 A. Depends on what you mean
 16 specifically. When we are changing our
 17 core data sheet, there is a presentation,
 18 although it's written, it's not
 19 PowerPoint. There's a template that's
 20 filled out with what is changing and why
 21 and the supporting documentation for that.
 22 BY MR. O'BRIEN:
 23 Q. Is that template for the FDA or
 24 is that template for Lilly internally?

Page 170

1 A. That template is for Lilly
 2 internally for making core data sheet
 3 changes. When we submit a label change
 4 to the FDA, we have to draft labeling,
 5 the red-line labeling, the annotated
 6 labeling, and in some cases there may be
 7 a supporting rationale document if it --
 8 if it's -- if it requires more
 9 explanation than a simple annotation, and
 10 that would include statistical figures,
 11 tables, you know.
 12 Q. What is the name of the
 13 document that is the Lilly template for a
 14 label change?
 15 MS. JONES: Objection to the
 16 form.
 17 A. For the core data sheet, for
 18 the USPI, for the SPC, could you be more
 19 specific?
 20 BY MR. O'BRIEN:
 21 Q. For the core data sheet.
 22 A. For the core data sheet there
 23 is a template. What is it called? It's
 24 within a specific -- the GPLC preread, I

Page 171

1 believe is what it's referred to.
 2 Q. And what does that stand for?
 3 A. Oh, "Global Product Labeling
 4 Committee."
 5 Q. Now, there's a product team,
 6 you know, right now there's a -- you said
 7 a neuroscience platform, correct?
 8 A. That's correct.
 9 Q. Is there an interaction with
 10 them in the global product labeling
 11 committee?
 12 A. If there is a proposed label
 13 change, yes.
 14 Q. If there was a proposed label
 15 change, what type of interaction would
 16 there be? Or what was the proposed --
 17 what type of interaction was there when
 18 there actually were changes to the
 19 Cymbalta label?
 20 MS. JONES: Objection to the
 21 form.
 22 A. Speaking in generalities, the
 23 team has determined that there is a need
 24 to change the core data sheet. They --

Page 172

1 that team, if it's a safety change, that
 2 goes through review by the safety review
 3 committee, the SRC, which then makes a
 4 recommendation to GPLC. So there is a
 5 preread, again, that says, "Here are the
 6 data, this is why we think the label
 7 needs the change," and then that's
 8 reviewed and approved or rejected by the
 9 global product labeling committee and the
 10 reason for their approval or rejection is
 11 recorded in the minutes.
 12 BY MR. O'BRIEN:
 13 Q. Do you know if the GPLC has
 14 ever rejected a proposed Cymbalta label
 15 change from the SRC?
 16 A. I don't know.
 17 Q. Do you know who would know
 18 that?
 19 A. Our GPS personnel.
 20 Q. Is there a log of GPLC
 21 rejections of labels proposals?
 22 MS. JONES: Excuse me.
 23 Objection to the form.
 24 A. There are GPLC meeting minutes.

Page 173

1 I don't know if there's a log that would
 2 provide exactly what you're asking for.
 3 BY MR. O'BRIEN:
 4 Q. Does the GPLC meet regularly,
 5 like once a month, or only when there's a
 6 proposed label change?
 7 A. There's a standing meeting every
 8 two weeks that are cancelled if there's
 9 no agenda items. If there's something
 10 that's needed more urgently, there's an ad
 11 hoc meeting scheduled.
 12 Q. Who is on the GPLC?
 13 A. It is chaired by Tim Garnett,
 14 our chief medical officer. Other members
 15 include the vice president of global
 16 regulatory affairs for the U.S., which is
 17 currently Rob Metcalf. It also includes
 18 the vice president of global regulatory
 19 affairs international, Dr. Susan Forda.
 20 It includes legal. It includes quality.
 21 It includes medical as well as global
 22 patient safety and other functional area
 23 experts as needed.
 24 Q. And who is typically present

Page 174

1 for quality and global patient safety?
 2 A. Quality is typically, at this
 3 point in time, Nikki Mehringer. And then
 4 from GPS, there's several different
 5 physicians that often attend our European
 6 -- there's a specific term in European
 7 regulatory affairs called the "QP," the
 8 qualified person. And that is Valerie
 9 Simmons, Dr. Valerie Simmons, and she is
 10 responsible -- she would be the one point
 11 person from the European perspective for
 12 all safety issues.
 13 Q. Now, once they label -- a
 14 proposed label is submitted to the GPLC,
 15 can they edit that label or will they
 16 just reject the label and will go back to
 17 where it started?
 18 A. GPLC will review the core data
 19 sheet. They do not review local labels.
 20 So they would not review the USPI or the
 21 European summary product characteristics.
 22 So they are reviewing changes to the core
 23 data sheet. They can definitely
 24 wordsmith, change the wording, and -- or

Page 175

1 make recommendations for a different
 2 presentation of the data. So, yes, that
 3 is all well within the rights to approve,
 4 reject, modify, ask for additional
 5 information.
 6 Q. Now, if the GPLC were to
 7 wordsmith the core data sheet for
 8 Cymbalta, if that ever happened, where
 9 would I find that?
 10 A. That would be --
 11 MS. JONES: Hold on. Objection
 12 to the form. Go ahead.
 13 A. That would be in the meeting
 14 minutes.
 15 BY MR. O'BRIEN:
 16 Q. They would actually indicate the
 17 language that got changed in the meeting
 18 minutes?
 19 A. I think so. At a minimum you
 20 would have the pre-read that went into
 21 GPLC and what was ultimately approved.
 22 Q. And where would the pre-read and
 23 the meeting minutes be located?
 24 A. They do have a dedicated

Page 176

1 collaboration site where that information
 2 is housed.
 3 Q. And that would be a
 4 collaboration site for the GPLC?
 5 A. Yes.
 6 Q. And could anybody within
 7 regulatory access that?
 8 A. No, I don't believe so.
 9 Q. Does it require a certain level
 10 of clearance?
 11 A. Yes, and I believe it's
 12 maintained by our labeling department.
 13 Q. If GPLC decides to wordsmith a
 14 label, can they approve it and send it
 15 off for FDA submission?
 16 A. No. The GPLC reviews and
 17 approves or rejects the core data sheet
 18 language. The core data sheet is the
 19 basis of local labeling. Local labeling
 20 is not necessarily identical to what is
 21 in the core data sheet. It has to be
 22 conceptually consistent as well -- with
 23 the core data sheet as well as applicable
 24 local regulations.

1 Q. So if the GPLC approved the
 2 changes to the core data sheet, can the
 3 label or the proposed label be submitted
 4 to the FDA?
 5 A. The label would be modified
 6 according to the change in the core data
 7 sheet and local regulations and then
 8 submitted to the FDA for approval.
 9 Q. Would there be a secondary
 10 review to ensure that the changes to the
 11 core data sheet and the changes to the
 12 label based on the changes to the core
 13 data sheet were consistent?
 14 A. Yes. There is a review
 15 process. I mean, whenever we make any
 16 label change, there is a quality and a
 17 content review involved with all of that
 18 prior to submission.
 19 Q. So what would happen, say, the
 20 core data sheet gets changed --
 21 A. Uh-huh.
 22 Q. -- and then, you know, the
 23 department is going to change the label
 24 to reflect the changes in the core data

1 wording that makes sense, but then also
 2 looking at what are in the FDA guidance
 3 documents as well as the CFR regulations
 4 around label content and format. And
 5 then we also look at similar labels to
 6 get a sense of the language that FDA
 7 prefers.
 8 Q. And the acronym "USPI," what
 9 does that stand for?
 10 A. The "United States Package
 11 Insert." If you refer to the U.S. label,
 12 that's -- you know, we use those terms
 13 interchangeably often.
 14 Q. With regard to Cymbalta, who is
 15 the person that's responsible for making
 16 sure that the core data sheet and the
 17 USPI are consistent?
 18 A. The labeling associate is
 19 responsible for performing that quality
 20 check. Ultimately all changes to the
 21 U.S. product information is approved by
 22 the senior medical director for U.S.
 23 medical.
 24 Q. And do you have an

1 sheet.
 2 A. Uh-huh.
 3 Q. What happens next on its way to
 4 the FDA?
 5 A. Are you asking what does the
 6 team do between core data sheet change
 7 and USPI change?
 8 Q. Correct.
 9 A. Okay. The labeling associate
 10 makes all of the affiliates, not just the
 11 U.S., aware of a core data sheet change.
 12 And there is a guideline for how quickly
 13 that needs to be implemented or acted
 14 upon and there are mandatory changes,
 15 nonmandatory changes, that's -- all that's
 16 detailed in SOPs.
 17 So we find out, you know, that
 18 there's been a core data sheet change and
 19 we need to implement it in U.S. labeling.
 20 And then it's looking at what is being
 21 changed, looking at our label,
 22 understanding where it goes in the U.S.
 23 label. There's 17 sections to a USPI.
 24 Where does it go? And then we look at

1 understanding of who has been the labeling
 2 associate since 2004 as it relates to
 3 Cymbalta?
 4 A. I only know the current
 5 labeling associate and that's Sara
 6 Mescher. I'm not sure who preceded her
 7 in that role.
 8 Q. Do you know if Sara Mescher has
 9 been the labeling associate for all the
 10 Cymbalta label changes?
 11 A. She probably has not. I mean,
 12 she's been with the molecule for several
 13 years, but I don't think she's been part
 14 of every single label change.
 15 Q. Let's take a look at the
 16 Cymbalta label changes.
 17 MR. O'BRIEN: And, Phyllis,
 18 if you have a better representation of
 19 the --
 20 MS. JONES: Let me see what
 21 you have.
 22 MR. O'BRIEN: Yeah, I think --
 23 MS. JONES: Are we marking
 24 this?

1 MR. O'BRIEN: Yeah, let's mark
 2 it. This is Exhibit No. 3.
 3 (Plaintiff's Exhibit-3 was
 4 marked for identification.)
 5 BY MR. O'BRIEN:
 6 Q. Now, Doctor, under each box it
 7 kind of discusses the changes, however, I
 8 think the actually language doesn't start
 9 until -- it starts "discontinuation
 10 symptoms."
 11 A. Okay.
 12 Q. Now, there's kind of like some
 13 -- under each label change there's kind
 14 of an entry where it kind of discusses
 15 what the changes were. Here, let me
 16 switch. Let me give you the marked copy.
 17 A. Okay. So you are -- the
 18 bolded text are the changes from the
 19 previous version; is that correct?
 20 Q. Correct.
 21 MR. O'BRIEN: And, Phyllis, if
 22 you have a different document that you
 23 would like to use --
 24 MS. JONES: Well, I'm not sure

1 Q. It's my understanding there are
 2 changes in 2005, 2008, 2009, again later
 3 in 2009, and then in 2012. The changes
 4 are indicated by bold type, which you can
 5 see in each one of those label changes.
 6 A. Uh-huh.
 7 Q. In preparation of your
 8 deposition today, I believe you had a
 9 conversation, was it Dr. Sara -- did you
 10 have a conversation with somebody about
 11 the reasons for the label changes? I
 12 have to check my notes.
 13 A. I did speak with Dr. Hoog and
 14 Dr. Ann Robbins about the initial
 15 approvals. And I asked to speak with
 16 Torkil Fredborg about changes to the SPC,
 17 which is the summary of product
 18 characteristics for Europe.
 19 Q. Did you review these particular
 20 changes as shown in Exhibit 3 with Dr.
 21 Hoog?
 22 A. No, not all of these, no. I
 23 really was focused on the initial
 24 approval.

1 what you're planning to ask her. I'm
 2 going to hand her something just for her
 3 reference, just to make sure that your
 4 understanding is aligned with --
 5 THE WITNESS: Okay.
 6 MR. O'BRIEN: Here, let me mark
 7 that.
 8 MS. JONES: Okay.
 9 MR. O'BRIEN: I will mark this
 10 as Exhibit No. -- are we up to 4? I
 11 guess these are numbered?
 12 MS. JONES: The pages are
 13 numbered, yes.
 14 (Plaintiff's Exhibit-4 was
 15 marked for identification.)
 16 BY MR. O'BRIEN:
 17 Q. Here, Doctor, you can use this
 18 as your reference.
 19 A. Okay. Thank you.
 20 Q. Now, Doctor, here is a paper
 21 that kind of summarizes the
 22 discontinuation warning for Cymbalta
 23 since 2004.
 24 A. Okay.

1 Q. Okay. And that's kind of what
 2 I wanted to ask you. I wasn't sure if
 3 you went through the different label
 4 changes from 2005 to 2012 and discussed
 5 with Dr. Hoog the reasons for the change.
 6 A. No, she was no longer involved
 7 with Cymbalta as of early 2005.
 8 Q. Okay. Are you able to testify
 9 today of why the changes were made with
 10 regard to the changes in front of you
 11 from 2005-2012?
 12 A. Yes.
 13 Q. Can you discuss with us why the
 14 changes were made? Let's begin in 2005.
 15 MS. JONES: Just to be more
 16 precise, is there a specific change that
 17 you're interested in for 2005?
 18 MR. O'BRIEN: Whatever the
 19 bolding is. Each change --
 20 THE WITNESS: Okay.
 21 MR. O'BRIEN: -- is bolded.
 22 MS. JONES: Okay. Well, do
 23 you want to just state that for the
 24 purposes of the record so we have a clean

1 record on what exactly she's explaining
 2 for you?
 3 MR. O'BRIEN: Sure.
 4 BY MR. O'BRIEN:
 5 Q. We're looking at the changes in
 6 the 2005 label which added MDD.
 7 A. FDA requested that change
 8 because either -- well, either FDA or we,
 9 Lilly, put that in the legal because we
 10 had additional indications that were under
 11 review. So it was being more specific to
 12 specify that these trials were conducted
 13 in patients with depression. Previously
 14 when our label was first approved, it was
 15 only reflecting depression data. So it
 16 was understood. It wasn't -- there was
 17 no need to call out that these were
 18 depression studies because that was the
 19 only thing in the label at that point in
 20 time.
 21 Q. And in 2008, it looks like
 22 insomnia, diarrhea, anxiety, vertigo, and
 23 hyperhidrosis were added. Do you
 24 understand why there was a label change

1 Q. Now, in 2009, there was a
 2 change that added tapered discontinuation
 3 as opposed to just abrupt discontinuation.
 4 Do you know why Lilly made the change to
 5 add "tapered discontinuation" to the label
 6 change as a warning?
 7 A. This has always been part of a
 8 warn -- this has always been a warning in
 9 a label precaution or a warning. So this
 10 is in 2009. I don't know if that was
 11 something that we added or FDA added. We
 12 have done -- performed studies with abrupt
 13 discontinuation as well as tapered
 14 discontinuation. So it's possible this was
 15 a consistency change.
 16 Q. And you don't know if the
 17 impetus for this change was FDA initiated?
 18 A. I don't know.
 19 Q. Do you know who would know the
 20 answer to that question?
 21 A. Should be part of our GOLD
 22 department archives. It may also be in
 23 e-files.
 24 Q. I'm sorry, your GOLD department?

1 there?
 2 A. FDA changed the threshold for
 3 reporting of specific symptoms for
 4 discontinuation emergent adverse event
 5 from the threshold of 2 percent or
 6 greater to 1 percent or greater.
 7 Therefore, additional terms were added.
 8 Q. Was that -- did the FDA change
 9 that threshold specifically for Lilly or
 10 across the industry?
 11 A. I don't -- I can't comment on
 12 across the industry because I haven't
 13 looked at all the labels. This was
 14 consistent with the fibro -- I believe,
 15 with the fibromyalgia indication being
 16 approved and added to the label, at which
 17 point we had a larger database. The
 18 information was included from all of our
 19 indications and FDA changed the threshold
 20 from 2 percent to 1 percent, which,
 21 again, is at a rate 2 percent or greater
 22 was changed to a rate of 1 percent or
 23 greater which is a more conservative
 24 threshold.

1 A. GOLD is "global operations
 2 labeling department."
 3 Q. Okay.
 4 A. Sorry.
 5 Q. And then I guess later in 2009,
 6 the symptom fatigue was added.
 7 A. Yep.
 8 Q. Do you know if that was added
 9 based on FDA requiring it or did Lilly do
 10 that on their own?
 11 A. We had additional information
 12 added to our safety database and as such,
 13 the -- this symptom crossed the threshold
 14 of 1 percent or greater, so therefore it
 15 was added to the label.
 16 Q. Was that information, did that
 17 come from a clinical trial or did it come
 18 from some other source?
 19 A. This would have come from
 20 clinical trials. That's what the -- you
 21 know, this is the section of the label
 22 specifically refers to placebo-controlled
 23 clinical trials.
 24 Q. Now, in 2012, it went from, I

1 guess, equal to 1 percent to at 1 percent
 2 or greater. And then it added the
 3 symptoms headache, nausea, diarrhea,
 4 irritability, vomiting, and I guess it
 5 changed -- I guess fatigue, changed the
 6 order --
 7 A. Uh-huh.
 8 Q. -- of the side effects.
 9 A. So two things. Previously the
 10 label said, "at a rate greater than or
 11 equal to 1 percent." And the wording was
 12 changed to "at 1 percent or greater."
 13 Those are the same. So it's actually a
 14 change of wording but not a change in
 15 threshold.
 16 Q. Do you know why it was
 17 reworded?
 18 A. No, I don't. And then, again,
 19 we are always adding to our safety
 20 database as new trials are completed. And
 21 so we -- our database grew over time, had
 22 new trials that were completed, and
 23 therefore the symptoms that occurred at
 24 the threshold of 1 percent or greater

1 dizziness?
 2 A. I don't believe so.
 3 MS. JONES: I can tell you and
 4 answer that, it's no.
 5 BY MR. O'BRIEN:
 6 Q. So Lilly's labeling department
 7 clearly has an indication of, you know,
 8 the incidence that occurs with each
 9 symptom.
 10 A. Uh-huh.
 11 Q. Where would that information be
 12 located?
 13 A. Well, the information to support
 14 any label change is provided to the FDA.
 15 So the information around incidence of
 16 specific adverse events are included in
 17 the original NDA and all periodic safety
 18 update reporting to the agency in the
 19 annual reports. And then whenever a
 20 label change is made, it's annotated and
 21 the supporting data are provided. So FDA
 22 has always had access to the specific
 23 percentages of all of these adverse
 24 events.

1 were reflected and they're reflected in
 2 the --
 3 Q. Rate of incidence?
 4 A. The incidence with the -- the
 5 first one being the most frequent to the
 6 least frequent. That crossed that
 7 threshold.
 8 Q. And that was my question. So
 9 dizziness would be the -- would have the
 10 highest incidence and that kind of, I
 11 guess, then headache, then nausea, then
 12 diarrhea?
 13 A. Yes.
 14 Q. When they rearranged the
 15 symptoms in 2012, they went from highest
 16 incidence to lowest incidence, correct?
 17 A. Uh-huh.
 18 Q. Was it always in that order
 19 before or is that something that they
 20 just decided to do in 2012?
 21 A. No, it's always been that
 22 order, that principle for the order, yes.
 23 Q. Anywhere in that chart does it
 24 tell the percentage of incidence for

1 Q. Thank you. I think that's all
 2 I have, Doctor, with respect to that
 3 sheet. You can put that away.
 4 A. All right.
 5 Q. Now, Doctor, I just want to go
 6 over a couple of principles with you with
 7 regard to regulatory affairs. Is it your
 8 understanding that Lilly's regulatory
 9 department communicates with regulatory
 10 authorities in a truthful, responsible,
 11 consistent basis?
 12 A. Yes.
 13 MS. JONES: Object. Well, hold
 14 on a second.
 15 THE WITNESS: Sorry.
 16 MS. JONES: Give me a chance
 17 to object. Objection to form. You may
 18 answer.
 19 BY MR. O'BRIEN:
 20 Q. And does Lilly's regulatory
 21 department feel that that's important?
 22 MS. JONES: Objection to the
 23 form.
 24 A. Yes, absolutely.

Page 193

1 BY MR. O'BRIEN:
 2 Q. And does Lilly think it's
 3 important that a company be truthful in
 4 what they tell the FDA?
 5 MS. JONES: Same objection.
 6 A. Yes.
 7 BY MR. O'BRIEN:
 8 Q. To your knowledge, has anybody
 9 in your department ever been untruthful to
 10 the FDA about Cymbalta?
 11 MS. JONES: Objection to the
 12 form.
 13 A. Not to my knowledge, no.
 14 BY MR. O'BRIEN:
 15 Q. Now, Doctor, we just -- just to
 16 circle back, dealing with the label change
 17 of Cymbalta. All those label changes
 18 would have happened with the product team,
 19 correct?
 20 MS. JONES: Hold on. Objection
 21 to the form; vague.
 22 A. I mean, the team has changed
 23 over time, but the fundamental functions
 24 involved with any label change remain the

Page 194

1 same, medical, GPS --
 2 MS. JONES: I'm sorry. Could
 3 you read the question back, please?
 4 (Record read.)
 5 MS. JONES: Okay. Objection to
 6 the form; vague as to "would have
 7 happened."
 8 BY MR. O'BRIEN:
 9 Q. Okay. My question is, there
 10 were label changes with Cymbalta from 2004
 11 to 2012.
 12 A. Uh-huh.
 13 Q. Would it be the same type of
 14 people involved, like a Cymbalta product
 15 team or a neuroscience platform team that
 16 would have been involved with the label
 17 changes?
 18 A. Yes.
 19 Q. And that would have been
 20 consistent from 2004 to present, correct?
 21 A. The same functions were involved
 22 -- are involved with any label change. A
 23 proposal for a label change with the
 24 supporting rationale is made, a

Page 195

1 determination of whether or not it impacts
 2 the core data sheet, or if it's a
 3 regional label change only and why, if
 4 needed, it undergoes GPLC review and
 5 approval before implementation locally.
 6 Q. And does Lilly believe it's
 7 important to provide accurate and complete
 8 information in their package inserts?
 9 A. Yes.
 10 Q. And that would be accurate and
 11 complete information, correct?
 12 MS. JONES: Objection to the
 13 form.
 14 A. We work on labels in
 15 conjunction with FDA. A label is a
 16 concise, succinct representation of all
 17 the available data on the molecule.
 18 There is judgment that is called in to
 19 play when discussing the information that
 20 is included in the label between FDA and
 21 sponsor company.
 22 BY MR. O'BRIEN:
 23 Q. Do you think it's Lilly's
 24 responsibility to provide accurate and

Page 196

1 complete information in package inserts
 2 regarding Cymbalta?
 3 MR. O'BRIEN: Objection to the
 4 form. You may answer.
 5 A. We provide complete data to the
 6 agency on everything in our database and
 7 what we've seen our literature searches,
 8 all of that's done periodically. Lilly
 9 safety system, FDA safety system. That
 10 is distilled into label language. That is
 11 reflective of the information that is
 12 important to prescribers for prescribing a
 13 medication safely.
 14 BY MR. O'BRIEN:
 15 Q. Is that a "yes," that you think
 16 it's important --
 17 A. Not every piece of information
 18 --
 19 MS. JONES: Hold on. Wait.
 20 Hold on. Let him finish his question and
 21 then you can answer.
 22 THE WITNESS: Okay. Sorry
 23 about that.
 24 BY MR. O'BRIEN:

1 Q. Is that a "yes," do you think
 2 it's Lilly's responsibility to provide
 3 accurate and complete information in their
 4 package inserts concerning Cymbalta?
 5 MS. JONES: Objection to the
 6 form. You may answer.
 7 A. We provide accurate and complete
 8 information to the FDA. The label is a
 9 distillation of the information, so it
 10 does not include every piece of
 11 information that we have about Cymbalta.
 12 If we did that, it would be five million
 13 pages long. That's where we work with
 14 the agency to represent the safety
 15 concepts and to provide the information
 16 that a prescriber needs to safely
 17 administer the drug. It warns of the
 18 potential adverse effects, when they're
 19 observed, what to do about them.
 20 MR. O'BRIEN: Move to strike as
 21 nonresponsive.
 22 MS. JONES: I'm going to oppose
 23 that motion. Go ahead.
 24 BY MR. O'BRIEN:

1 MS. JONES: Objection to the --
 2 A. No.
 3 MS. JONES: Objection to the
 4 form.
 5 BY MR. O'BRIEN:
 6 Q. Do you think it would ever be
 7 appropriate for Lilly to mislead
 8 physicians or patients about the risks of
 9 discontinuating [sic] Cymbalta in their
 10 package inserts?
 11 MS. JONES: Same objection.
 12 A. No.
 13 BY MR. O'BRIEN:
 14 Q. Doctor, can you take us through
 15 the different types of warnings that are
 16 on a USPI?
 17 A. Yes. There is FDA guidance
 18 around warnings, precautions, and adverse
 19 reactions that can be referred to. The
 20 most current version of the USPI in the
 21 sense of FDA changed from -- to a
 22 physician's labeling rule format several
 23 years ago, 2007 time frame. So now
 24 there's 17 sections of the label, one of

1 Q. So Lilly doesn't think that
 2 they have the responsibility to provide
 3 complete information in their package
 4 inserts concerning Cymbalta?
 5 MS. JONES: Objection;
 6 mischaracterizes the testimony.
 7 A. No, that's not accurate. I'm
 8 trying to explain to you the process by
 9 which we work with FDA to approve
 10 labeling to informed prescribers.
 11 BY MR. O'BRIEN:
 12 Q. So Lilly does provide complete
 13 information in their package inserts
 14 concerning Cymbalta?
 15 MS. JONES: Objection; asked
 16 and answered, mischaracterizes the
 17 testimony. If you want to answer it
 18 again, go ahead.
 19 A. There's no difference in my
 20 answer.
 21 BY MR. O'BRIEN:
 22 Q. Do you think it would be ever
 23 appropriate for Lilly to misrepresent data
 24 about Cymbalta in their package inserts?

1 which is titled "warnings and
 2 precautions."
 3 Our warning regarding
 4 discontinuating -- discontinuing treatment
 5 with Cymbalta is included in that warning
 6 section.
 7 Q. Is there a higher level warning
 8 than a warning and precaution?
 9 A. Yes, there's a boxed warning.
 10 Q. Is there any other elevated
 11 warnings other than warnings and
 12 precaution other than box warnings?
 13 MS. JONES: Objection to the
 14 form. Go ahead.
 15 A. In general, there is a
 16 contraindication. There's a
 17 contraindication and a boxed warning.
 18 BY MR. O'BRIEN:
 19 Q. Is there a bold warning?
 20 A. A boxed warning is a bold
 21 warning.
 22 Q. So it is a black-box warning.
 23 Is there also a bold warning?
 24 A. No, they're the same. Those

Page 201

1 terms are used interchangeably.
 2 Q. If at any time Eli Lilly wanted
 3 to heighten their warning for Cymbalta
 4 discontinuation syndrome, is there anything
 5 that would prevent that?
 6 A. We can -- we have the ability
 7 to propose different label changes to the
 8 agency, which would include the elevation
 9 of a warning to a boxed warning. That is
 10 always subject to FDA review and approval.
 11 Q. So you can add a warning or
 12 strengthen a warning without FDA approval?
 13 MS. JONES: Objection;
 14 mischaracterizes the testimony.
 15 A. A change's being effective --
 16 label change based on safety can be made
 17 to strengthen or elevate a warning,
 18 however, that is always subject to FDA
 19 approval.
 20 BY MR. O'BRIEN:
 21 Q. But they could change the
 22 warning and get that out into the public
 23 without FDA approval at first, correct?
 24 MS. JONES: Objection to the

Page 202

1 form. Who is "they"?
 2 A. Who is the "they"?
 3 BY MR. O'BRIEN:
 4 Q. Do you not have an
 5 understanding of who "they" is?
 6 A. No, I don't.
 7 Q. If Lilly wanted to strengthen
 8 the warning for Cymbalta discontinuation
 9 syndrome, could Lilly do so and distribute
 10 that warning before they obtained FDA
 11 approval?
 12 A. There is a mechanism called
 13 "changes being affected" label supplement
 14 that can be submitted to the agency at
 15 the same time that a company implemented
 16 a label change. It is still subject to
 17 FDA approval and can change based on
 18 their review. There also, when you are
 19 making changes to a warning, because it
 20 affects the highlights section of the USPI
 21 that's subject to prior approval by
 22 regulation.
 23 Q. So a drug company can decide to
 24 give a stronger additional warning about

Page 203

1 their drugs at any time subject to later
 2 FDA approval?
 3 A. Yes.
 4 Q. Can you tell us the process
 5 about how a drug company goes about
 6 giving a stronger and additional warning
 7 about their drug?
 8 MS. JONES: Objection to the
 9 form.
 10 BY MR. O'BRIEN:
 11 Q. You mentioned there's a CBE?
 12 A. Uh-huh.
 13 Q. Can you explain that process?
 14 A. Whenever a company makes a
 15 change to a label, it is submitted either
 16 as changes being affected or as a prior
 17 approval label supplement. There are
 18 certain regulations about what can be
 19 changed under the CBE regulations versus
 20 prior approval. There is guidance on
 21 that. In any situation, whether it's CBE
 22 or prior approval, the proposed label
 23 change as well as the rationale for the
 24 change are provided and are subject to

Page 204

1 FDA approval.
 2 Q. Do you agree that Lilly has the
 3 right to tell doctors and patients about
 4 a stronger warning for Cymbalta
 5 discontinuation syndrome at any time?
 6 MS. JONES: Objection to the
 7 form.
 8 A. We provide information to
 9 prescribers and patients in conjunction
 10 with our approved labeling.
 11 BY MR. O'BRIEN:
 12 Q. But if Lilly thought it was
 13 really important to have a stronger label
 14 for Cymbalta discontinuation syndrome,
 15 that's something that they could do if
 16 they wanted to, correct?
 17 A. They --
 18 MS. JONES: Objection -- hold
 19 on. Objection to the form; asked and
 20 answered. Go ahead.
 21 A. Yes.
 22 BY MR. O'BRIEN:
 23 Q. And they could do that
 24 immediately pending FDA approval at a

1 later time?
 2 A. Yes.
 3 MS. JONES: Same objection.
 4 BY MR. O'BRIEN:
 5 Q. But to date, Lilly hasn't felt
 6 that it's important enough to do that --
 7 MS. JONES: Hold on. Go
 8 ahead. Finish your question.
 9 MR. O'BRIEN: Just add an
 10 objection to whatever I ask.
 11 BY MR. O'BRIEN:
 12 Q. To date, Lilly hasn't felt like
 13 it's necessary to add a stronger label
 14 for discontinuation syndrome related to
 15 Cymbalta?
 16 MS. JONES: Objection to the
 17 characterization; asked and answered.
 18 A. She said asked and answered.
 19 BY MR. O'BRIEN:
 20 Q. You have to answer it.
 21 A. Okay. Sorry.
 22 MS. JONES: You may still
 23 answer it.
 24 A. Okay. Well, I mean, to

1 MR. O'BRIEN: Let's take a
 2 ten-minute break.
 3 MS. JONES: Okay.
 4 MR. O'BRIEN: I'm probably
 5 getting close to being finished.
 6 THE VIDEOGRAPHER: We're going
 7 off the record. The time is 12:33 p.m.
 8 (A recess was taken at 12:33
 9 p.m.)
 10 THE VIDEOGRAPHER: We are back
 11 on the record. The time is 12:41 p.m.
 12 MR. O'BRIEN: I don't have any
 13 more questions.
 14 MS. JONES: We had a few, just
 15 -- I think there were a couple of things
 16 that Dr. Phillips wanted to clarify for
 17 the purposes of the record. And in
 18 connection with doing that, we're going to
 19 mark -- we'll do this in chronological
 20 order. This is the first one.
 21 THE WITNESS: Uh-huh.
 22 MS. JONES: This should be
 23 Exhibit No. 5. This should be Exhibit
 24 No. 6. And she'll explain.

1 reiterate, we do ongoing safety
 2 surveillance. We update information in
 3 our label. We provide that to FDA on an
 4 ongoing basis. The warning and precaution
 5 for discontinuation emergent adverse events
 6 has been in our label since it was
 7 originally approved. It's been updated,
 8 as you've noted, with the various changes
 9 in symptomatology and the difference in
 10 threshold over time.
 11 There has never been a need to
 12 strengthen that warning. It's been
 13 consistent. It's a well-known phenomena.
 14 There is class labeling regarding this.
 15 This happens with Cymbalta. It also
 16 happens with the entire class of
 17 antidepressants. It has been adequately
 18 warned. It's been reviewed multiple times
 19 by FDA. And it has -- there's never
 20 been a need to strengthen that warning.
 21 MR. O'BRIEN: Move to strike as
 22 nonresponsive.
 23 MS. JONES: And I oppose that
 24 motion.

1 THE WITNESS: These are two
 2 CBEs that we submitted to the agency.
 3 And you had asked me to specifically
 4 comment on when we changed the threshold
 5 from 2 percent to 1 percent.
 6 MR. O'BRIEN: I'm sorry. Is
 7 there a pending question? Do you have
 8 any questions for her?
 9 THE WITNESS: No, I'm
 10 clarifying my previous testimony.
 11 MS. JONES: I indicated that
 12 she was going to clarify her previous
 13 testimony in response to questions that
 14 you had posed.
 15 MR. O'BRIEN: Okay. Which one
 16 is 6 and which one is -- which one is 5,
 17 which one is 6?
 18 THE WITNESS: That one is 5.
 19 MS. JONES: 5 is the May 2007,
 20 .6 will be September 2007.
 21 THE WITNESS: October.
 22 MS. JONES: October 2007,
 23 excuse me. And these have both been
 24 produced.

1 (Plaintiff's Exhibit-5 was
2 marked for identification.)
3 (Plaintiff's Exhibit-6 was
4 marked for identification.)
5 THE WITNESS: I need to look
6 at it just for a second.
7 MR. O'BRIEN: Okay.
8 THE WITNESS: And then I will
9 give it back to you.
10 So in -- May 17th of 2007,
11 Lilly submitted a changes being affected
12 labeling supplement for Cymbalta that
13 indicated one of the changes that it was
14 making, that Lilly was making voluntarily,
15 was to change the threshold from 2
16 percent to 1 percent or greater when
17 reporting discontinuation emergent adverse
18 events for Cymbalta, and that was done
19 based on updates in the Periodic Safety
20 Report No. 4, that covered the period of
21 February to August 2006. So affectively,
22 Lilly made the threshold for reporting of
23 discontinuation emergent adverse events
24 more conservative at that time.

1 THE WITNESS: Yes. When you
2 asked about the untitled letters that
3 we've received for Cymbalta, one of them
4 actually did reference and call out that
5 the safety information was not adequate
6 with regards to discontinuation emergent
7 adverse events. So I misspoke. However,
8 that was the untitled letter in which FDA
9 had mistakenly not included the important
10 safety information which does address
11 discontinuation emergent adverse events.
12 So that was the letter that was
13 essentially rescinded by FDA.
14 MS. JONES: And that's the
15 September 2010 letter that's publicly
16 available on the FDA's website. I'd also
17 like to mark as Exhibit No. 7 defendant's
18 responses and objections to plaintiff's
19 .30(b)(6) notice regarding regulatory
20 affairs. This is the copy that's
21 captioned for the Herrera matter, but our
22 objections and responses are the same as
23 to each of the cases in which the
24 regulatory affairs notice has been served

1 The second one is a CBE
2 labeling supplement change that Lilly
3 provided on August 25th, 2007, among which
4 one of the changes was to include
5 "following abrupt or tapered
6 discontinuations" or adding "or tapered"
7 and the rationale for that change was, I
8 had sort of halfway remembered it, but
9 not completely.
10 When we filed for approval of
11 the indication for fibromyalgia in 2007,
12 we had expanded the number of studies in
13 our database that utilized both abrupt and
14 tapered discontinuation of Duloxetine,
15 therefore we updated the label to reflect
16 that.
17 MS. JONES: And just for the
18 record, can I see that one back too?
19 Exhibit No. 5 is Bates numbered CYM
20 .01111111, seriously. And Exhibit No. 6
21 is Bates numbered CYM 01113163. And Dr.
22 Phillips had one other clarification that
23 she wanted to make to her earlier
24 testimony.

1 and/or cross-noticed. That will be
2 Exhibit No. 7.
3 (Plaintiff's Exhibit-7 was
4 marked for identification.)
5 MS. JONES: We have no
6 additional questions.
7 MR. O'BRIEN: Thank you.
8 THE VIDEOGRAPHER: This
9 concludes the deposition of Dr. Christine
10 Phillips. The time is 12:46 p.m., and
11 we're off the record.
12 (Time noted: 12:46p.m.)
13 FURTHER THE DEPONENT SAITH NOT
14 CHRISTINE PHILLIPS, Ph.D.
15 .
16 .
17 .
18 .
19 .
20 .
21 .
22 .
23 .
24 .

1 STATE OF INDIANA
 2 COUNTY OF HAMILTON)
 3 I, Amy Doman, RPR, CRR, CSR
 4 No. 10-R-3022, Notary Public in and for
 5 the County of Hamilton, State of Indiana,
 6 at large, do hereby certify that CHRISTINE
 7 PHILLIPS, Ph.D., the deponent herein, was
 8 by me first duly sworn to tell the truth,
 9 the whole truth, and nothing but the
 10 truth in the aforementioned matter;
 11 That the foregoing deposition
 12 was taken on behalf of the Plaintiff, at
 13 the offices of COHEN & MALAD, LLP, One
 14 Indiana Square, Suite 1400, Indianapolis,
 15 Indiana, on Friday, July 18, 2014,
 16 pursuant to the Indiana Rules of Trial
 17 Procedure;
 18 That said deposition was taken
 19 down in stenograph notes and afterwards
 20 reduced to typewriting under my direction,
 21 and that the typewritten transcript is a
 22 true record of the testimony given by the
 23 said deponent; and that signature was
 24 requested by the deponent and all parties

1 present;
 2 That the parties were
 3 represented by their counsel as
 4 aforementioned.
 5 I do further certify that I am
 6 a disinterested person in this cause of
 7 action, that I am not a relative or
 8 attorney of either party or otherwise
 9 interested in the event of this action,
 10 and that I am not in the employ of the
 11 attorneys for any party.
 12 IN WITNESS WHEREOF, I have
 13 hereunto set my hand and affixed my
 14 notarial seal this _____ day of
 15 _____, 2014.
 16 Amy Doman, RPR, CRR, CSR No. 10-R-3022
 17 Notary Public
 18 My Commission Expires: October 1, 2017,
 19 Residing in Hamilton County, Indiana
 20 .
 21 .
 22 .
 23 .
 24 .