1	IN THE UNITED STATES DISTRICT COURT DISTRICT OF MASSACHUSETTS	
	DISTRICT OF	MASSACHUSEIIS
2		-
	IN RE: CELEXA AND LEXAPRO	
3	MARKETING AND SALES PRACTICES	:Master Docket No.
	LITIGATION	:09-MD-2067-(NMG)
4		-
	DELANA S. KIOSSOVSKI and	:Hon. Nathaniel M Gorton
5	RENEE RAMIREZ, on behalf of	:
	themselves and all others	:Case No.
6	similarly situated,	:14-CV-13848 (NMG)
		:
7	Plaintiff,	:
		:
8	v.	:
		:
9	FOREST PHARMACEUTICALS, INC.	:
	and FOREST LABORATORIES, INC.,	
10	,	´ :
	Defendants.	:
11		_
12		
	OCTOBER 6, 2016	
13	<u> </u>	
14	Videotaped deposition of STEVEN L.	
15	CLOSTER, held at DEBEVOISE & PLIMPTON, LLP, 919	
16	Third Avenue, New York, New York, commencing at	
17	9:27 a.m., before Margaret M. Reihl, a	
18	Registered Professional Reporter, Certified	
19	Realtime Reporter, and Notary Public.	
20	Realtime Reporter, and	notary rubire.
21		
	COL NOW TECHN	JOI OCTES INC
22	GOLKOW TECHNOLOGIES, INC.	
44	877.370.3377 ph/917.591.5672 fax	
22	deps@golkow.com	
23		
24		
1		

1 Q. Who is Charles Flicker? 2 At the time he was the medical director **A**. 3 on the CNS group. What would his responsibilities involve 4 Q. to the best of your knowledge personally? 5 6 To the best of my knowledge, you know, **A**. conducting clinical trials, making sure they were 7 8 proceeding as planned, reviewing some of the documents that would, you know, be developed as a result of a 9 10 clinical trial. 11 Q. Like, for example, a final study report? 12 **A**. Yes. 13 Okay. Lawrence Olanoff is also listed Q. 14 here. 15 Do you see that? 16 **A**. Yes. 17 And he was the executive vice president Q. of scientific affairs at that time? 18 19 **A**. Right. 20 Do you generally know what his **O**. 21 responsibilities were personally? 22 **A**. I believe at the time he was head of all 23 the R&D activities at the company. 24 Q. Okay. And then Ivan Gergel, who is he?

- A. Similar, from what I recall, he reported
- 2 to Larry and Charles Flicker reported to Ivan. So
- Ivan, I believe, at the time oversaw all the programs,
- 4 including CNS and other programs that we had ongoing at
- 5 the company.
- Now, correct me if I'm wrong, I'm not
- 7 trying to mischaracterize your testimony, but would it
- 8 be fair to say that at the top of the pyramid for these
- 9 three people, it would be Dr. Gergel, then Dr. Olanoff
- and then Dr. Flicker?
- 11 A. No. It would be Dr. Olanoff, Dr.
- Gergel, Dr. Flicker.
- Q. Okay. Sorry. Thank you.
- 14 And then who are these other two people,
- 15 Edward Lakatos?
- 16 A. I believe he was in the stats
- 17 department.
- 18 Q. Okay. Did you know him personally?
- 19 A. I can't recall. Yeah, I don't know.
- 20 O. And Keith Rotenberg, do you know who
- 21 that is?
- 22 A. Only by what it says on the page, that
- 23 apparently he was in regulatory affairs, perhaps the
- 24 head of regulatory affairs, I don't know.

Okay. And you don't know either Edward 1 Ο. 2 or Keith personally, correct? 3 Α. Keith I don't. Edward it was a long 4 time ago, perhaps I do, but it's too long to remember. 5 Q. All right. Do you know what Mr. Flicker's responsibilities were with regards to 6 Study 18 at that time? 7 8 No, not specifically. 9 0. But he was overseeing -- would be overseeing the clinical trials related to 10 antidepressants, correct? 11 12 MS. THORNE: Objection. 13 THE WITNESS: I believe that's true. 14 BY MR. WISNER: 15 Ο. All right. On Page 6 here, there's the objective of the clinical trial -- sorry, Page 3. 16 felt my own mistake there. Page 3, Section 5 it says 17 Objective. 18 19 Do you see that? 20 Α. Yes. 21 And would it be fair to say that the 0. 22 objective of this clinical trial was to measure the 23 efficacy and safety of citalogram in treating both

children and adolescents with major depressive

24

```
1
                   MS. THORNE: Objection.
 2
                   THE WITNESS: Right.
    BY MR. WISNER:
 3
4
            Q. So based on the results in these tables,
    none of the secondary endpoints reached statistical
5
    significance, correct?
6
7
                   MS. THORNE: Objection.
8
                   THE WITNESS: At Week 8, correct.
9
    BY MR. WISNER:
10
            Q. And the secondary endpoint was the
    difference between citalogram and placebo at Week 8,
11
12
    correct?
13
                   MS. THORNE: Objection.
14
                   THE WITNESS: Right.
15
    BY MR. WISNER:
16
            Q. All right. So none of the secondary
    endpoints as pre-defined in the protocol met
17
    statistical significance?
18
19
                   MS. THORNE: Objection.
20
                   THE WITNESS: That's right.
21
    BY MR. WISNER:
22
            Q. Turn to Page 14, Section "10.5 Efficacy
23
    Conclusions."
                   You see that?
24
```

```
efficacy definition in a second. I haven't forgotten
 1
    about that.
 2
 3
                   You stated -- we've discussed this
 4
    potential unblinding that occurred in Celexa Study 18,
 5
    correct?
 6
                Right, right.
            Α.
 7
                   Briefly, we didn't get into details, but
            O.
 8
    we discussed it briefly, right?
 9
            Α.
                   Yes.
10
            Q.
                   You understand that when those patients
11
    who were the subject of that dispensing error are
12
    removed from the primary efficacy results --
13
                   Right.
            A.
14
            Q.
                   -- the study is no longer statistically
15
    significant, correct?
16
                   MS. THORNE: Objection.
17
                   THE WITNESS: I'm aware of that.
18
    BY MR. WISNER:
19
            0.
                   Okay. So if, in fact, the patients had
20
    been removed from the study, the primary efficacy
21
    endpoint would have ultimately been negative, right?
22
                   MS. THORNE: Objection calls for
23
            speculation. That's outside the scope of the
24
            30(b)(6) notice. It calls for a hypothetical.
```

```
1
            To the extent that you have a personal opinion
2
            on that topic, you can feel free to answer.
3
            You're not answering on behalf of the company.
                   THE WITNESS: So the question is?
4
5
    BY MR. WISNER:
6
                   Well, Ms. Thorne is trying to instruct
            0.
7
    you that it's a hypothetical, but it's not a
8
    hypothetical, because they did conduct an analysis of
9
    the primary efficacy endpoint, excluding those nine
    patients that were subject to the dispensing error,
10
    correct?
11
12
                   MS. THORNE: Objection.
13
                   MS. KIEHN: You had said they were
14
            removed from the study.
15
                   MR. WISNER: Fair enough.
16
    BY MR. WISNER:
17
            Q.
                   Can you answer that question I just
18
    asked you?
19
                   If they were removed from the study, I
            A.
20
    understand that the result would have been negative.
21
                   Okay. And, in fact, when the dispensing
            0.
22
    error occurred, Forest sent a letter to the Food and
23
    Drug Administration; you're aware of that?
2.4
                   MS. THORNE: Objection, assumes facts
```