

UNITED STATES DISTRICT COURT
EASTERN DISTRICT OF VIRGINIA
ALEXANDRIA DIVISION

GILDA HAGAN-BROWN,)
)
Plaintiff,)
)
-v-) CAUSE NO.
) 1:14-CV-01614-AJT-JFA
)
ELI LILLY AND COMPANY, AN)
INDIANA CORPORATION,)
)
Defendant.)
and)
JANINE ALI,)
)
Plaintiff,)
)
-v-) CAUSE NO.
) 1:14-CV-01615-AJT-JFA
)
ELI LILLY AND COMPANY, AN)
INDIANA CORPORATION,)
)
Defendant.)

The videotaped deposition upon oral examination of MICHAEL J. DETKE, a witness produced and sworn before me, Michele K. Gustafson, CRR-RPR, Notary Public in and for the County of Marion, State of Indiana, taken on behalf of the Plaintiffs at the offices of Ice Miller, One American Square, 29th Floor, Indianapolis, Indiana, on April 28, 2015, at 10:10 a.m., pursuant to the Federal Rules of Civil Procedure.

1 APPEARANCES

2

FOR THE PLAINTIFFS:

3

Michael Woerner, Esq.

4

KELLER ROHRBACK

1201 Third Avenue

5

Suite 3200

Seattle, WA 98101-3052

6

R. Brent Wisner, Esq.

7

BAUM HEDLUND ARISTEI GOLDMAN

12100 Wilshire Boulevard

8

Suite 950

Los Angeles, CA 90025-7114

9

10 FOR THE DEFENDANT:

11

Shankar Duraiswamy, Esq.

John J. DeBoy, Esq.

12

COVINGTON & BURLING

One CityCenter

13

850 Tenth Street, NW

Washington, DC 20001-4956

14

15 ALSO PRESENT: Jason D'Angelo, Videographer

Stephanie M. Tartaglia, Esq.

16

Michael Lynch, Esq. (by telephone)

17

18 INDEX OF EXAMINATION

19

PAGE

20 DIRECT EXAMINATION

21

Questions By Mr. Woerner:

6

22

CROSS-EXAMINATION

23

Questions By Mr. Duraiswamy:

245

24

REDIRECT EXAMINATION

25

Questions By Mr. Woerner:

271

	INDEX OF EXHIBITS		
	NUMBER	DESCRIPTION	PAGE
1			
2			
3	Exhibit 1	ANTIDEPRESSANT DISCONTINUATION	46
4		SYNDROME: UPDATE ON SEROTONIN	
5		REUPTAKE INHIBITORS	
6	Exhibit 2	SYMPTOMS FOLLOWING ABRUPT	70
7		DISCONTINUATION OF DULOXETINE	
8		TREATMENT IN PATIENTS WITH MAJOR	
9		DEPRESSIVE DISORDER	
10	Exhibit 3	PROSPECTIVE STUDIES OF ADVERSE	94
11		EVENTS RELATED TO ANTIDEPRESSANT	
12		DISCONTINUATION	
13	Exhibit 4	E-MAIL CORRESPONDENCE	99
14	Exhibit 5	E-MAIL CORRESPONDENCE	104
15	Exhibit 6	CYMBALTA PACKAGE INSERT	115
16	Exhibit 7	SUMMARY OF PRODUCT	115
17		CHARACTERISTICS	
18	Exhibit 8	E-MAIL CORRESPONDENCE	154
19	Exhibit 9	E-MAIL CORRESPONDENCE	158
20	Exhibit 10	E-MAIL CORRESPONDENCE	166
21	Exhibit 11	E-MAIL CORRESPONDENCE	174
22	Exhibit 12	E-MAIL CORRESPONDENCE	178
23	Exhibit 13	E-MAIL CORRESPONDENCE	181
24	Exhibit 14	E-MAIL CORRESPONDENCE	186
25	Exhibit 15	E-MAIL CORRESPONDENCE	201
	Exhibit 16	E-MAIL CORRESPONDENCE	204
	Exhibit 17	E-MAIL CORRESPONDENCE	206
	Exhibit 18	E-MAIL CORRESPONDENCE	207

1	Exhibit 19	MEDICAL INFORMATION LETTER	210
2	Exhibit 20	E-MAIL CORRESPONDENCE	214
3	Exhibit 21	ASSESSMENT REPORT FOR CYMBALTA	216
4	Exhibit 22	E-MAIL CORRESPONDENCE	216
5	Exhibit 23	E-MAIL CORRESPONDENCE	221
6	Exhibit 24	CYMBALTA FOR MAJOR DEPRESSIVE DISORDER FREQUENTLY ASKED	222
7		QUESTIONS	
8	Exhibit 25	E-MAIL CORRESPONDENCE	225
9	Exhibit 26	E-MAIL CORRESPONDENCE	230
10	Exhibit 27	E-MAIL CORRESPONDENCE	238
11	Exhibit 28	DULOXETINE IN THE PREVENTION OF RELAPSE OF MAJOR DEPRESSIVE	240
12		DISORDER	
13	Exhibit 29	E-MAIL CORRESPONDENCE	243
14	Exhibit 30	CYMBALTA PACKAGE INSERT	258
15	Exhibit 31	E-MAIL CORRESPONDENCE	281
16			
17			
18			
19			
20			
21			
22			
23			
24			
25			

1 quote, SSRI discontinuation events, end quote, held
2 December 17, 1996, in Phoenix, Arizona. The
3 symposium and the supplement were sponsored by an
4 unrestricted educational grant from Eli Lilly and
5 Company. The opinions expressed herein are those
6 of the authors and do not necessarily reflect the
7 views of the publisher or the sponsor, period, end
8 quote. You see that?

9 A Yes.

10 Q Have you seen this document, Exhibit 1, prior to my
11 handing it to you?

12 A I don't recall. I may have seen it or read it at
13 some point in the relatively distant past.

14 Q And I recognize that you were at Harvard at the
15 time. But did you attend this symposium in
16 December of 1996?

17 A No.

18 Q You will see, if you go to -- two more pages,
19 there's a table of contents. The Journal of
20 Clinical Psychiatry and then there's page numbers
21 to the left. Do you see that page?

22 A Yes.

23 Q And there's a number of titles of doc -- of
24 studies, papers that are in here with the names of
25 authors. Can you just look through this, call it,

1 table of contents and tell me if you're -- if
2 you've ever worked with any of the authors who are
3 named on these various papers?

4 A I've worked with Alan Schatzberg.

5 Q On what?

6 A Several things. He was a member of the Cymbalta
7 Global Depression Advisory Board for --

8 Q Was he a Lilly employee or . . .

9 A No.

10 Q Okay.

11 A He's a -- he's a, for the past many years, a
12 professor of psychiatry at Stanford. And I've
13 worked with him in several other capacities.
14 Peter Haddad was an -- is an academic in England
15 and was an advisor, I think, on Cymbalta and, I
16 think, on some other things to Lilly.
17 Jerry Rosenbaum was -- he was and is a -- the
18 chairman of the psychiatry department at
19 Massachusetts General Hospital, which was one of
20 the hospitals where I did my residency, so I knew
21 him initially there. He was a member of the
22 Cymbalta Global Depression Advisory Board. And
23 I've worked with him in a few other capacities
24 since leaving Lilly.

25 Q Was he in any way a teacher of yours when you were

1 at Massachusetts General?

2 A Yes.

3 Q Okay.

4 A He was a faculty member at Mass General.

5 Q Okay.

6 A He was the chairman of my four years there. You
7 know, direct teaching from him was probably a
8 handful of hours or something like that, something
9 relatively minor. John Zajecka was also a member
10 of the Cymbalta Global Depression Advisory Board,
11 and I've worked with him in various capacities
12 since. I think the A. H. Young refers to
13 Alan Young, and I've -- I know him. I don't know
14 if he was ever an advisor to Lilly, but I -- he may
15 have been. And those are the only names that I
16 recognize.

17 Q You used the term the Global Depression Advisory
18 Board. Did I get that right?

19 A Yes.

20 Q What is that?

21 A It was a group of all academics, about 25, who met
22 about twice a year to provide advice and feedback
23 on Cymbalta data on -- in the psychiatry
24 indications, the depression and anxiety
25 indications.

1 Q What affiliation, if any, did these 25 academics
2 have with Lilly?

3 A They were -- I guess they were consultants of some
4 sort or another. I'm not sure if that's the term
5 Lilly used, but -- or advisors. So they were on a
6 scientific advisory board.

7 Q Did they receive any monetary compensation or
8 grants for their work on the Cymbalta Global
9 Depression Advisory Board?

10 MR. DURAISWAMY: Objection. Lack of
11 foundation.

12 A My understanding is that they were compensated for
13 the time they spent on the board, yes.

14 Q And what was your role on that board? Or were you
15 on that board?

16 A I attended that board very regularly. When I was
17 a -- the first few years when I was a clinical
18 research physician, I would attend those boards and
19 sometimes present data, if it was a clinical trial
20 that I had run. And then when I was medical
21 director, I usually ran those board meetings, those
22 scientific advisory board meetings, and there were
23 several other Lilly attendees.

24 Q So at some point were you officially a member of
25 this board?

1 A We didn't -- usually the -- we -- we referred to
2 the 25 academics as members of the board and
3 referred to ourselves as Lilly attendees or
4 something like that.

5 Q Was there one or more of the 25 academics who were
6 in charge or leaders of the Cymbalta Global
7 Depression Advisory Board?

8 A There was not a board member who was chair or
9 co-chair. That's a -- no.

10 Q Do you know how long the Cymbalta Global Depression
11 Advisory Board existed?

12 A It existed for -- we started to put it together
13 soon after I joined. It probably sort of formally
14 gelled in 2001. It existed until -- until I left
15 the team, and beyond that I don't know.

16 Q So roughly 2001 through 2007 you know the board
17 existed?

18 A Yes.

19 Q If you would now turn to the first article, which
20 begins at page 3. Excuse me. It's referred to as,
21 Antidepressant Continuation Syndrome: An Update on
22 Serotonin Reuptake Inhibitors. Do you see that?

23 A Uh-huh.

24 Q By Alan Schatzberg?

25 A Yes.

1 VIDEOGRAPHER D'ANGELO: Going off record.

2 It's 4:21.

3 (A recess was taken from 4:21 p.m. to
4 4:33 p.m.)

5 VIDEOGRAPHER D'ANGELO: We are back on record.
6 It's 4:33.

7 (Exhibit 13 was marked for identification.)

8 Q The court reporter has marked as Exhibit 13, a
9 two-page document, CYM-01813088 and 89. You'll see
10 on the back there's only just somebody's signature
11 name block there. Can you take a look at this
12 e-mail exchange and let me know when you're ready
13 for me to ask you questions?

14 A Sure.

15 (Witness reviewing document)

16 A Go ahead.

17 Q Okay. You'll see that the bulk of this document is
18 an e-mail from Walter Debbert, D-e-b-b-e-r-t, is
19 it?

20 A Yes, Walter Debbert.

21 Q Right. Dated November 11, 2002, at 6:44 p.m. and
22 you're copied on it. First of all, who is Walter
23 Debbert?

24 A Walter is a clinical research physician who was
25 working in one of the European countries or the

1 European region, I believe, at this time.

2 Q Okay. And you'll see in the next to the last
3 paragraph of this e-mail, there's a reference to
4 Mike, and I assume that's to you. And I'll just
5 read the whole paragraph. Quote, discontinuation
6 symptoms are a big deal in MDD (thanks to ourselves
7 with Prozac promotion), period. Mike, comma, can
8 you confirm that for MDD we would propose a gradual
9 tapering, question mark? It would seem logic that
10 if we propose this for MDD, comma, we would
11 recommend it also for SUI, period, end quote. Do
12 you see that?

13 A Yes.

14 Q Do you know what Walter was referring to in the
15 first sentence of that paragraph when he said,
16 discontinuation symptoms are a big deal and MDD
17 (thanks to ourselves with Prozac promotion)?

18 MR. DURAISWAMY: Objection. Lack of
19 foundation. Form. Calls for speculation.

20 A Since I didn't write it, I don't know exactly what
21 he meant, but I assume he was referring to the
22 longer half-life with Prozac and the relatively
23 fewer DEAEs with Prozac.

24 Q What was the Prozac promotion dealing with
25 discontinuation symptoms?

1 MR. DURAISWAMY: Objection. Lack of
2 foundation.

3 A I wasn't directly involved with Prozac promotion,
4 but my general understanding is that the relatively
5 fewer DEAEs with Prozac compared to most of the
6 other drugs in the class was promoted.

7 Q So Lilly was promoting Prozac as having fewer
8 discontinuation symptoms than Paxil and other drugs
9 in that category?

10 MR. DURAISWAMY: Objection. Lack of
11 foundation. Asked and answered.

12 Q Is that correct?

13 A I think that's essentially accurate.

14 Q Then he asks you a question, can you confirm that
15 for MDD we would propose a gradual tapering? You
16 see that?

17 A Yes.

18 Q Do you recall answering that question?

19 A No, I don't recall.

20 Q Do you know what he's talking about there?

21 A I don't know --

22 MR. DURAISWAMY: Objection. Foundation.
23 Form. Speculation.

24 Go ahead.

25 A Again, I didn't write it, so I don't know exactly

1 what he's referring to, but that -- my guess is
2 that, you know, a general recommendation that we
3 taper, meaning in the label language and in other
4 recommendation.

5 Q All right. And he was from Europe?

6 A Yes.

7 Q So the gradual tapering recommendation was made in
8 the European label; correct?

9 MR. DURAISWAMY: Object to the form.

10 Ambiguous. Foundation.

11 A There's a tape -- a recommendation to taper in the
12 U.S. label and the European label, correct.

13 Q And the recommendation in the U.S. label is simply
14 a gradual reduction; correct?

15 A I don't remember the exact wording, but that's
16 approximately correct.

17 Q You can go back if you want to be certain. It's
18 Exhibit 6 at page 12, roughly at lines 466 through
19 470.?

20 MR. DURAISWAMY: Are you asking him what it
21 says about tapering in that paragraph or what it
22 says about tapering in the entire label?

23 MR. WOERNER: I asked him in the
24 recommendation in the U.S. label is simply a
25 gradual reduction. He answered I don't remember

1 Q All right. And then number two, he says, Consider
2 running a trial which might add to the evidence
3 base on how best to manage stopping the drug.
4 Example: Over how long should drug be tapered,
5 question mark. (Open label treatment, then perhaps
6 three arms looking at abrupt discontinuation versus
7 two-week taper versus four-week taper in a
8 double-blind fashion with frequent visits). Do you
9 see that?

10 A Yes.

11 Q Was that ever done?

12 A We did go on to design a study. One of the
13 generalized anxiety disorder studies, which
14 compared a randomized double-blind comparison
15 between abrupt discontinuation and taper, and then
16 I believe there were some other studies, but I know
17 there was at least that one that did a, as I said,
18 randomized double-blind comparison, which was, I
19 believe, one of the very first studies in the
20 literature of any SSRI or SNRI that did that.

21 Q All right. And he says, Abrupt discontinuation
22 versus two-week taper versus four-week taper. In
23 the study you're referring to in which abrupt
24 discontinuation was compared to taper, was it the
25 two-week versus four-week?

1 A It was abrupt discontinuation versus two-week.

2 Q So why was a four-week taper not included in that
3 study?

4 MR. DURAISWAMY: Object to the form. Asked
5 and answered several times today.

6 A The -- we did that study and we also compared pool
7 data of studies that had abrupt discontinuation and
8 other studies that had taper and saw that there was
9 a relatively modest difference between an abrupt
10 discontinuation and a taper, so we didn't -- we did
11 not believe that further analyses of different
12 durations of taper would be -- provide significant
13 additional information.

14 Q Who wrote the protocol for that study?

15 MR. DURAISWAMY: Object to the form.
16 Ambiguous.

17 A It was one of the core generalized anxiety disorder
18 studies. Early on I think I was the lead physician
19 on those -- that study, and then that transitioned
20 to Dr. Russell when I was promoted to medical
21 director and hired Dr. Russell, Jim Russell.

22 Q Okay. I guess what I don't understand is I took
23 his e-mail to say that he was suggesting one study
24 that included abrupt discontinuation, a two-week
25 taper and a four-week taper all in one study. Is

1 reference 2, data on file. Hmm. So at the time of
2 this paper that study wasn't published apparently,
3 at the time of writing this medical letter. So,
4 no, I can't say off the top of my head exactly
5 which study that was. It would have been one of
6 the early depression studies prior to February 11,
7 '05, obviously, but it's not clear to me from this
8 information exactly which study it was.

9 Q It's my understanding from your earlier testimony
10 that you ultimately decided that a two-week taper
11 was as long as a taper as you needed to study; is
12 that correct? You didn't need to study longer than
13 a two-week taper?

14 A That's correct. We had no reason to believe that
15 that would have provided additional material
16 information.

17 Q And I realize that this was drafted some years ago
18 and additional studies were done. But did you ever
19 reach a conclusion that a three-day taper was
20 sufficient to substantially decrease the incidence
21 of discontinuation-emergent adverse events for
22 Cymbalta?

23 A Not to my knowledge.

24 MR. WOERNER: Okay. Now we have five minutes
25 left on the tape, so let's take a break. Where am

1 context, again, speaking as a clinician, around
2 tapering is that with very few exceptions,
3 there's -- there's no disadvantage to tapering. So
4 even in a setting where the scientific data
5 supporting tapering is modest or weak, there's
6 almost no reason not to do it. There are rare
7 exceptions to that, which we could talk about if
8 you want to.

9 Q Doctor, did the data that you compiled across many
10 studies regarding tapering when discontinuing
11 Cymbalta, did that data suggest that there would be
12 a significant difference in the risk of DEAEs based
13 on the precise duration over which the medication
14 was tapered?

15 MR. WOERNER: Object to form.

16 A No. Having -- having looked at the pool of data
17 that I referred to earlier showing 10 percent drug
18 versus placebo difference in DEAEs after abrupt
19 discontinuation and 7 percent after taper, we had
20 no reason to believe that looking at finer
21 gradations of tapering in dose or duration of
22 tapering would have been likely to show
23 significantly different results on average.

24 Q Doctor, do you recall being asked some questions
25 about Exhibit 2, what has been referred to during

1 tapering substantially improves tolerability, which
2 does not represent the data accurately, period, end
3 quote. Do you see that?

4 A Yes.

5 Q Why did it not represent the data accurately?

6 A As I've testified earlier today, the -- the full
7 body of the data that we had available by, I think,
8 this time indicated that -- the data we had on
9 Cymbalta seemed to indicate that there was probably
10 a modest improvement -- a modest reduction in DEAEs
11 when you taper as opposed to discontinue abruptly,
12 and other data from drugs in the class were
13 consistent with that. We were concerned that
14 putting that sentence in the label might suggest to
15 prescribers that if they tapered, it would solve
16 the problem completely, and we thought that that
17 would be inappropriate reassurance.

18 Q Okay. Because, in fact, patients could still
19 suffer from DEAEs even if they were tapering the?

20 A Exactly. That's what the data show.

21 Q And when you said we, what -- who did you mean by
22 we?

23 A Well, I proposed striking that sentence, but it --
24 you know, as the e-mail chain shows, others, like
25 Jim Russell, were in agreement.

1 Q All right. And then the --

2 MR. DURAISWAMY: Michael, I think you're out
3 of time. Obviously I'm not going to cut you off if
4 you got a few more minutes, but I think you're out
5 of time.

6 Q The next sentence says, To Rick's point, it
7 (perhaps more weakly) implies that tapering solves
8 all tolerability problems entirely, which would be
9 an even worse representation of the actual data.

10 Why would that be an even worse representation
11 of the actual data?

12 MR. DURAISWAMY: Objection. Asked and
13 answered.

14 A You wouldn't want to reassure prescribers that if
15 you taper there's no chance of
16 discontinuation-emergent adverse events. That
17 would -- that would -- it's false information and
18 it would falsely reassure people.

19 MR. WOERNER: That's all the questions I have.

20 THE WITNESS: Okay.

21 MR. WOERNER: Thank you very much.

22 THE WITNESS: Thank you.

23 VIDEOGRAPHER D'ANGELO: This concludes
24 testimony. It is now 8:21.

25 (The deposition concluded at 8:21 p.m.)

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

UNITED STATES DISTRICT COURT
EASTERN DISTRICT OF VIRGINIA
ALEXANDRIA DIVISION

GILDA HAGAN-BROWN,)
)
Plaintiff,)

-v-) CAUSE NO.

) 1:14-CV-01614-AJT-JFA

ELI LILLY AND COMPANY, AN)
)
INDIANA CORPORATION,)
)
Defendant.)

and

JANINE ALI,)
)
Plaintiff,)

-v-) CAUSE NO.

) 1:14-CV-01615-AJT-JFA

ELI LILLY AND COMPANY, AN)
)
INDIANA CORPORATION,)
)
Defendant.)

Job No. 97558

I, MICHAEL J. DETKE, state that I have read the foregoing transcript of the testimony given by me at my deposition on April 28, 2015, and that said transcript constitutes a true and correct record of the testimony given by me at said deposition except as I have so indicated on the errata sheets provided herein.

MICHAEL J. DETKE

1 STATE OF INDIANA

2 COUNTY OF MARION

3

4 I, Michele K. Gustafson, CRR-RPR, a
5 Notary Public in and for said county and state, do
6 hereby certify that the deponent herein was by me
7 first duly sworn to tell the truth, the whole truth,
8 and nothing but the truth in the aforementioned
9 matter;

10 That the foregoing deposition was taken on
11 behalf of the Plaintiffs; that said deposition was
12 taken at the time and place heretofore mentioned
13 between 10:10 a.m. and 8:21 p.m.;

14 That said deposition was taken down in
15 stenograph notes and afterwards reduced to typewriting
16 under my direction; and that the typewritten
17 transcript is a true record of the testimony given by
18 said deponent;

19 And thereafter presented to said witness for
20 signature; that this certificate does not purport to
21 acknowledge or verify the signature hereto of the
22 deponent.

23 I do further certify that I am a disinterested
24 person in this cause of action; that I am not a
25 relative of the attorneys for any of the parties.

1 IN WITNESS WHEREOF, I have hereunto set my
2 hand and affixed my notarial seal this _____ day of
3 May, 2015.

4
5
6
7
8

Michele K. Gustafson, CRR-RPR
Notary Public

10
11

My commission expires:

12 August 31, 2017
13 Job No. 97558

14
15
16
17
18
19
20
21
22
23
24
25