

UNITED STATES DISTRICT COURT
EASTERN DISTRICT OF VIRGINIA
ALEXANDRIA DIVISION

-----x

JANINE ALI,

Case No.

1:14-CV-01615-AJT-JFA

Plaintiff,

v.

Hon. Anthony J. Trenga

ELI LILLY AND COMPANY,
an Indiana corporation,

Defendant.

-----x

Video Deposition of NAVERA R. AHMED, M.D.

McLean, Virginia

Monday, April 20, 2015

3:52 p.m.

Pages: 1 - 172

Reported by: Amy E. Sikora-Trapp, RPR, CRR,
Former CSR-NY, CLR

1 Video Deposition of NAVERA R. AHMED, M.D.

2 held at the offices of:

3 Sands Anderson

4 1497 Chain Bridge Road, Suite 202

5 McLean, Virginia 22101

6

7 Pursuant to notice, before Amy E.

8 Sikora-Trapp, Registered Professional Reporter,

9 Certified Realtime Reporter, Former Certified

10 Shorthand Reporter (NY)(license unrenewed),

11 Certified LiveNote Reporter, and Notary Public

12 within and for the Commonwealth of Virginia.

13

14

15

16

17

18

19

20

21

22

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22

A P P E A R A N C E S

ON BEHALF OF THE PLAINTIFF:

R. BRENT WISNER, ESQUIRE

Baum, Hedlund, Aristei & Goldman, PC

12100 Wilshire Boulevard, Suite 950

Los Angeles, California 90025

310-207-3233

rbwisner@baumhedlundlaw.com

ON BEHALF OF THE DEFENDANT:

BRIAN L. STEKLOFF, ESQUIRE

MICHAEL X. IMBROSCIO, ESQUIRE

Covington & Burling LLP

One City Center

850 Tenth Street, N.W.

Washington, D.C. 20001

202-662-6000

bstekloff@cov.com

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22

A P P E A R A N C E S

(Continued)

ON BEHALF OF THE WITNESS:

MICHAEL T. MARR, ESQUIRE

Sands Anderson P.C.

1497 Chain Bridge Road, Suite 202

McLean, Virginia 22101

703-893-3600

mmarr@sandsanderson.com

ALSO PRESENT:

Ellen Hibbert, Videographer

1 April 20, 2015

2 C O N T E N T S

3 EXAMINATION OF NAVERA R. AHMED, M.D. PAGE
4 By MR. STEKLOFF 8, 156
5 By MR. WISNER 109

6

7 E X H I B I T S

8 AHMED PAGE

9 1 Ahmed medical records related to 15
10 Ali, bearing Bates Numbers
11 ALIJ_AHMEDN_0001 through
12 ALIJ_AHMEDN_0086
13 2 copies of CVS/Caremark pharmacy 81
14 records, bearing Bates Numbers
15 ALIJ_CP_0001 through ALIJ_CP_0013
16 3 label for Cymbalta, revised 87
17 September 2011, bearing Bates
18 Numbers~CYM-00028678 through
19 CYM-00028703

20

21

22

1 April 20, 2015 - NAVERA R. AHMED, M.D.

2 C O N T E N T S

3 (Continued)

4 E X H I B I T S

5 4 Journal of Affective Disorders 101

6 article entitled: Symptoms

7 following abrupt discontinuation

8 of duloxetine treatment in

9 patients with major depressive

10 disorder, by Perahia, et al.

11 5 copy of Helgeson declaration, with 123

12 attachments, 77 pages

13 6 copy of U.K. drug label for 127

14 Cymbalta, 52 pages

15 7 email exchange, top one dated 137

16 9/17/06 from Stephens to Detke, et

17 al., bearing Bates Numbers

18 CYM-02363882 through CYM-02363885

19

20

21

22

1 independent of the record, meaning without the
2 medical record would you remember the patient and
3 your interactions with her.

4 A. Some, but not all. I mean, most of
5 it is what's in the record is what I remember
6 mostly.

7 Q. But do you remember Ms. Ali?

8 A. Oh, yes. I do.

9 Q. And do you remember generally
10 treating her over the period of several years?

11 A. Yeah, uh-huh.

12 Q. What -- do you recall what you were
13 treating her for?

14 A. Yes. I was treating her for
15 fibromyalgia, and she had some osteoarthritis.
16 These are the main conditions that I was treating
17 her for.

18 Q. Before we go into the details of that
19 treatment, can you walk us briefly through your
20 educational background, starting in -- with
21 undergraduate degree and then continuing on?

22 A. I did my medical school in Bangladesh

1 Medical College, then I came here and I did my
2 residency at Prince George's Hospital Center,
3 Maryland, and I did my fellowship at Washington
4 Hospital Center, Georgetown University. And then
5 I've been practicing here for the last 10 years
6 or more.

7 Q. What year did you come to the United
8 States and go to Prince George's County Medical
9 Center?

10 A. I started at Prince George's County,
11 my residency, in 1999.

12 Q. And where did you say you studied
13 overseas?

14 A. Bangladesh.

15 Q. Did you focus on any -- any
16 particular areas in your studies in Bangladesh?

17 A. No. I just did my medical school,
18 finished my medical school. Straight out of
19 medical school I came here, and then I took -- I
20 did my residency here.

21 Q. And did you have any areas of focus
22 during your residency?

1 A. My residency is internal medicine.

2 Q. What was your fellowship in?

3 A. Rheumatology.

4 Q. What year did you complete your
5 fellowship?

6 A. 2004.

7 Q. Are you board certified?

8 A. Yes.

9 Q. In what?

10 A. Rheumatology. And I was board
11 certified in internal medicine, too, but I --
12 that was 10 years back, so the second board
13 certification I just retook my rheumatology
14 boards because I don't practice internal
15 medicine, my practice is rheumatology.

16 Q. And when you began practicing
17 following your fellowship in 2004, can you walk
18 us through your employment, where it was and what
19 year it was?

20 A. Well, after I completed by
21 fellowship, I started at the Arthritis Clinic of
22 Northern Virginia, and have been there since

1 time frame and then continuing through to 2009,
2 and again feel free to review your records, what
3 types of treatment did you provide to Ms. Ali for
4 her fibromyalgia?

5 A. Physical therapy, Lidoderm patches,
6 Flexeril, tramadol, NSAIDs but she was taking
7 over the counter, and she had gastritis so she
8 couldn't take them anymore.

9 Q. Were those treatment options
10 successful for Mrs. Ali?

11 A. For time being, but just a general
12 way for fibromyalgia patient may help for a
13 while, but then . . .

14 Q. Jumping ahead to the 2009 time frame,
15 was Mrs. Ali still experiencing symptoms
16 associated with fibromyalgia?

17 A. Yeah. 2009, she saw me because she
18 was going to the pilgrimage, the Hajj pilgrimage,
19 and wanted to take something. Other things
20 obviously were not working, and so she wanted --
21 so we -- I gave her -- from my note, I feel like
22 I gave her the sample with the package insert for

1 her to read about it, and if she thought that she
2 would want to try the medication, then we would
3 prescribe it. And she -- I think this was in
4 October time frame, if I recollect from my chart.

5 And I think a few weeks later she
6 came back and had decided not to try Cymbalta.
7 She read the package insert. And I think we gave
8 her -- I think the way I recall, is Flexeril and
9 some tramadol to tide her through the pilgrimage
10 process and to help her with any pain management.

11 Q. When you discussed -- when you
12 provided the patient insert for Cymbalta to
13 Mrs. Ali in the October 2009 time frame, do you
14 recall whether you discussed the information in
15 the patient insert with her?

16 MR. WISNER: Objection to the phrase
17 "patient insert."

18 A. More likely --

19 MR. MARR: Go ahead.

20 A. More likely than not, because it is
21 custom, as I said, in my practice that I review
22 the risk/benefits of medication.

1 Q. Is one of the things with Cymbalta
2 that you review the possible side effects
3 associated with discontinuation of the drug?

4 A. More likely than not, the package
5 insert was reviewed. If it was part of the
6 package insert, then more likely than not, yes.

7 Q. And is it your understanding, sitting
8 here today, that discontinuation symptoms are
9 discussed in the label for Cymbalta?

10 A. The current label has -- it has to be
11 tapered off, so . . .

12 Q. And was that true in 2009 as well?

13 A. If it was in the package insert, yes.
14 If it was in the package insert in 2009.

15 Q. But as of 2009, beyond the package
16 insert or the label, did you also have that
17 understanding from your practice and your
18 experience as a rheumatologist?

19 A. Yes. Your question is again that it
20 has to be tapered off, is that your question?

21 Q. Yes.

22 A. Yes.

1 trial --

2 MR. STEKLOFF: Strike that.

3 Q. What value would you place on a
4 placebo-compared trial, as opposed to a
5 nonplacebo-controlled trial?

6 MR. WISNER: Objection, vague.

7 A. What do you mean by "a nonplacebo"?

8 Q. What about -- what value would you
9 put on a placebo-controlled trial versus an
10 open-label trial where there was no placebo to
11 compare?

12 MR. WISNER: Objection. Objection.
13 Vague as to "value."

14 A. A good value. Better than any -- I
15 mean, a randomized double-blinded
16 placebo-controlled trial is better than an
17 open-label trial.

18 Q. And when you see the language "the
19 following symptoms occurred at one percent or
20 greater and at a significantly higher rate in
21 duloxetine-treated patients compared to those
22 discontinuing from placebo," does that mean to

1 you that those symptoms only occurred at
2 one percent in that trial?

3 MR. WISNER: Objection. Move to
4 strike as leading, as well as lacking foundation.

5 A. Repeat your question.

6 Q. When you see the language that says,
7 "following abrupt or tapered discontinuation in
8 placebo-controlled clinical trials, the following
9 symptoms occurred at one percent or greater, and
10 at a significantly higher rate in

11 duloxetine-treated patients compared to those
12 discontinuing from placebo," does that mean to
13 you --

14 A. So in hundred patients, one patient
15 had the symptom.

16 Q. And my question is, where it says,
17 "the following symptoms occurred at one percent
18 or greater and at a significantly higher rate,"
19 does that mean to you that those symptoms only
20 occur in one -- one percent of patients?

21 A. Yes.

22 Q. Does that language suggest to you

1 that the symptoms could have occurred at a rate
2 greater than one percent?

3 A. It says, yes.

4 Q. And based on your experience --

5 MR. STEKLOFF: Strike that.

6 Q. Based on your knowledge of class
7 labeling of antidepressants, was it your
8 understanding that some of those symptoms, such
9 as dizziness, do occur in patients at a rate
10 greater than one percent when -- when they
11 discontinue?

12 A. Yes.

13 Q. And so is it fair to say that you did
14 not, based on your knowledge of antidepressants,
15 in your experience treating patients with
16 antidepressants, that you did not need the label
17 to tell you that some of these symp -- that the
18 following -- that the symptoms listed in this
19 paragraph occurred at more than one percent when
20 patients discontinued from Cymbalta?

21 MR. MARR: Objection to form and
22 foundation.

1 MR. WISNER: Join.

2 THE WITNESS: I can answer?

3 MR. MARR: Yup.

4 A. Yes, a little bit -- a little bit
5 greater than one percent. Cannot be a hundred
6 percent you know. Has to be slightly over
7 one percent. You wouldn't expect it to be
8 90 percent, when you say greater than one
9 percent, so . . .

10 Q. Right. But it might be reasonable to
11 say -- it wouldn't surprise you to hear
12 12 percent of patients experienced dizziness,
13 based on what you've told us about dizziness;
14 correct?

15 MR. WISNER: Objection. Move to
16 strike as leading, as well as lacking foundation.

17 A. I would expect the label to say about
18 12 percent had dizziness. I wouldn't expect it
19 to say one percent had dizziness.

20 Q. What does it mean -- what does it
21 mean to you that in clinic -- placebo-controlled
22 clinical trials the symptoms occurred at a

1 significantly higher rate in duloxetine-treated
2 patients compared to those discontinuing from
3 placebo?

4 A. It means that -- exactly what it
5 says, it means that they had -- when you compared
6 it to placebo, then it was higher in
7 duloxetine-treated patients, withdrawal symptoms,
8 versus the placebo group. Just what it says,
9 it's higher rate.

10 Q. And do agree that it says
11 significantly higher as well?

12 MR. MARR: Objection.

13 A. Yes.

14 MR. STEKLOFF: I'm going to mark --

15 (Ahmed Exhibit Number 4, Journal of
16 Affective Disorders article entitled:
17 Symptoms following abrupt discontinuation
18 of duloxetine treatment in patients with
19 major depressive disorder, by Perahia, et
20 al., marked for identification as of this
21 date.)

22 (Witness and counsel confer.)

1 mean, I -- I have to look at the article. I
2 can't just say would affect me, would not affect
3 me. I have to look at it.

4 Q. If -- if a patient with -- not
5 Ms. Ali, but a patient came to you and had the
6 exact same experience as Mrs. Ali, and you
7 treated her for the same number of years that you
8 treated Mrs. Ali and had the same results, as of
9 today, would you still prescribe Cymbalta for
10 that patient?

11 MR. WISNER: Objection. Lacks
12 foundation, speculation.

13 A. I -- I still prescribe Cymbalta to
14 some of my patients now. I can't say exactly
15 like Mrs. Ali because no patient is exactly the
16 same. So -- I mean, there are patients that are
17 taking Cymbalta, yes, and doing well on it, yes.

18 MR. STEKLOFF: I pass the witness.

19 MR. WISNER: Do you need a break or
20 are you willing to keep going?

21 THE WITNESS: Yeah, I think we can.

22 MR. WISNER: Take a short break?

1 THE WITNESS: No, no. I think we can
2 continue.

3 MR. STEKLOFF: Oh, okay. Great.

4 EXAMINATION BY COUNSEL

5 FOR THE PLAINTIFF

6 BY MR. WISNER:

7 Q. Good afternoon, Doctor.

8 A. Yeah.

9 Q. Early evening. My name is Brent
10 Wisner. I represent Mrs. Ali in this case.

11 Earlier you testified you had a
12 chance to look at the complaint; is that right?

13 A. I did, yes.

14 Q. You didn't carefully study it, did
15 you?

16 A. No, I looked.

17 Q. Okay. So you have a general
18 understanding, then, of what this case is about?

19 A. Yes.

20 Q. You understand that Mrs. Ali has not
21 filed any complaint or lawsuit against yourself,
22 you're aware of that?

1 A. Yes.

2 Q. Okay. The first thing that I want to
3 talk about is, I want to delve a little bit into
4 how you go about discussing a drug with a
5 patient.

6 You testified on direct examination
7 that you discuss the risks and benefits with --
8 with the patient; is that right?

9 A. Yes.

10 Q. And you previously testified that
11 your understanding of the risks and benefits of a
12 drug are at least in part determined upon what's
13 in the prescriber insert; is that right?

14 A. Yes.

15 Q. Why do you rely upon a prescriber
16 insert in trying to understand the risks and
17 benefits of a drug?

18 A. Because it's a compilation of the
19 data, and we feel like it's accurate. It tells
20 you what symptoms and side effects we should be
21 looking out for and counseling our patients about
22 and what we can expect.

1 Q. And it would be fair to say that you
2 would expect the prescriber insert to give you
3 the most common or frequent adverse effects to
4 expect from a drug?

5 MR. STEKLOFF: Object to form.

6 A. Yes.

7 Q. Based on your experience with
8 Cymbalta and having reviewed the product insert
9 at some point in your career, what do you
10 understand to be the most common side effects of
11 taking Cymbalta?

12 A. I mean, apart from, you know,
13 headaches, dizziness, nausea, some GI side
14 effects, the things that we worry about, even
15 though it's rare, you know, it's like rare
16 complication for serotonin symptoms and some
17 things that come with the class effect. But
18 commonly most patients will complain of
19 somnolence, drowsiness, dizziness, and sometimes
20 GI effects. In my practice, that's what I have
21 seen.

22 Q. And would it be fair to say that in

1 your experience with patients who discontinue
2 Cymbalta who experience withdrawal side effects,
3 that that's pretty uncommon?

4 MR. STEKLOFF: Object to form.

5 A. It varies from patient to patient. I
6 mean, I have had a few patients who have had to
7 have a gradual taper, and then after that they've
8 tolerated it. But then there are some patients
9 who've done very well with -- with tapering off
10 their medication. Sometimes I think some of them
11 have even stopped it and not told me about it and
12 haven't had any problems with it.

13 Q. Would it -- would it be fair to say
14 that when you decide to prescribe a medication
15 with a patient, it's a joint decision-making
16 process?

17 A. Yes.

18 Q. And, in fact, with Mrs. Ali, you
19 previously discussed Cymbalta with her and she
20 decided not to take it; right?

21 A. Sure.

22 Q. You didn't prescribe it to her

1 anyway?

2 A. No. At that time we said no. In
3 2009, when she read about it and she said no, I
4 don't want to go on it, that's fine, I prescribed
5 her something else.

6 Q. And that's because you can never
7 force a patient to take a drug?

8 A. No.

9 MR. MARR: Make sure you let him
10 finish his question. Even though he's talking
11 slowly, they must talk slower than Virginians do
12 in California, but let him finish his question
13 before you answer it. That way it will be a
14 clean transcript for Madam Court Reporter.

15 THE WITNESS: Okay.

16 MR. SEKLOFF: Have you ever been told
17 you talk slowly?

18 MR. WISNER: I've never, but I
19 appreciate it. And I'm sorry -- can you just
20 repeat the last question. I want to make sure --

21 (Discussion off the record.)

22 (Record read.)

1 Q. That's right?

2 A. Yes, I cannot.

3 Q. Okay. You also stated on your direct
4 examination that you, at least with Mrs. Ali and
5 your notes, it reflects that you gave her a copy
6 of the prescriber insert; is that right?

7 A. Yes.

8 Q. Is that a common practice of yours?

9 A. Yes.

10 Q. Why do you have patients review the
11 prescriber insert before they start a medication?

12 A. Just gives them something to look
13 through. There's the Internet, they read about
14 stuff in the Internet. I discuss things. And
15 when you discuss things with your patients,
16 oftentimes they may not remember, so it's good to
17 have something in writing so they can go ahead
18 and read at home and think, oh, maybe I can go
19 back and ask my doctor about this or I didn't
20 understand this or something like that.

21 So they have a chance to review the
22 literature and ask me questions so that I can

1 answer them for them -- answer it for them before
2 they start a medication. And then they are aware
3 of the risks and the benefits.

4 Q. And with Mrs. Ali in 2009 she
5 reviewed it, came back and said she didn't want
6 to take the drug; right?

7 A. Yes.

8 Q. She wasn't willing to take on the
9 risks or the benefits of the medication?

10 A. Sure.

11 Q. Now, I want to draw your attention to
12 Exhibit 3. This is the product insert that we
13 were talking about. I think it's on page seven,
14 so you can just keep it there. Page seven on the
15 top right?

16 A. Okay.

17 Q. And we're under section 5.7,
18 "Discontinuation of Treatment with Cymbalta";
19 right?

20 A. Uh-huh.

21 Q. Now, opposing counsel asked you some
22 questions about this, but the sentence -- the

1 second sentence in that paragraph reads,
2 "Following abrupt or tapered discontinuation of
3 placebo-controlled clinical trials the following
4 symptoms occurred at one percent or greater."
5 We'll stop right there.

6 When you read that, Doctor, do you
7 understand -- what do you understand the risks of
8 discontinuation symptoms or withdrawal to be?

9 MR. STEKLOFF: Object to form.

10 A. Repeat your question. What do I
11 understand or --

12 Q. Yeah. If I -- if I'm a patient --
13 let me rephrase.

14 If I'm a patient and I ask you,
15 Doctor, what is the likelihood that I'm going to
16 suffer from discontinuation, what would you tell
17 them?

18 MR. STEKLOFF: Based on the whole
19 section or based on --

20 A. One percent. In 100 patients, you
21 can have one, one percent.

22 Q. So it would be fair to say, and if

1 I'm wrong, please correct me, that it was your
2 understanding when you read this label that the
3 general risk of discontinuation symptoms is about
4 one percent?

5 A. Yeah.

6 Q. Now, Doctor, what percentage of --
7 let's assume for a second that the percentage is
8 not one percent. Let's assume the percentage is
9 something higher.

10 At what point -- at what number would
11 you believe that if it was above that number this
12 warning be misleading?

13 MR. STEKLOFF: Object to form.

14 A. I can't say really. I mean,
15 obviously, I would not expect it to be way higher
16 than one percent, you know.

17 Q. Well, right there. What do you
18 consider to be way higher?

19 MR. STEKLOFF: Object to form.

20 THE WITNESS: Should I guess,
21 Michael?

22 MR. MARR: Well, you shouldn't -- you

1 shouldn't guess. But you -- you gave a verbal
2 statement of way higher, and -- and if you have a
3 numerical equivalent that you can give without
4 speculating or guessing, then give it.

5 A. Probably -- I don't know,
6 five percent or higher.

7 Q. So if the actual risk of
8 discontinuation is significantly higher than
9 five percent, would you agree with me that this
10 warning as it's written is misleading?

11 MR. SEKLOFF: Object to form.

12 A. Yes.

13 Q. And if I say significantly higher,
14 such as 45 percent, would that qualify as
15 significantly higher?

16 A. Yes.

17 MR. STEKLOFF: Object to form, lacks
18 foundation, calls for speculation. Incomplete
19 hypothetical.

20 Q. Could you please turn your attention,
21 to Exhibit 4. This is this medical journal
22 article that opposing counsel showed to you.

1 Now, Doctor, I understand you've
2 never seen this before; right?

3 A. No.

4 Q. You've never read this over?

5 A. No.

6 Q. And so you don't feel qualified to be
7 offering opinions about the accuracy or validity
8 of this publication; right?

9 A. Yes.

10 Q. Now, if I could just drawing your
11 attention to a couple of points here. If you
12 look at the bottom of the first page, and that
13 says, "Declaration of interest."

14 Do you see that?

15 And it says, "David Perahia," and it
16 lists several other individuals, and it says,
17 "are employees of Eli Lilly and Company."

18 Do you see that?

19 A. Yes.

20 Q. So that footnote suggests that this
21 publication was in fact written by Eli Lilly
22 employees; is that right?

1 A. Who are the authors, yes. Seems like
2 the authors, yes.

3 Q. Okay. Now, I want to draw your
4 attention -- if you turn to page 209. Oh, I'm
5 sorry, Doctor. The page before that. 208. It
6 says under the section of "Results" here, right.
7 I'm going to read you a sentence, and I don't
8 want you to opine about what that means. I'm
9 just going to ask you a very simple question
10 afterwards, okay. It says, "Significantly more
11 duloxetine treated patients (44.3 percent) report
12 at least one DEAE," and I'll represent to you
13 that that stands for discontinuation of emergent
14 adverse event. So I'll read that, "Significantly
15 more duloxetine-treated patients (44.3 percent)
16 report at least one DEAE than placebo-treated
17 patients (22.9 percent), with dizziness being the
18 most common symptom."

19 Do you see that?

20 A. Yes.

21 Q. And it refers you to table two;
22 right?

1 A. Uh-huh.

2 Q. Now --

3 A. Yes.

4 Q. -- in the drug label that we looked
5 at a second ago, the U.S. prescriber insert,
6 nowhere does it say 44.3 percent, does it?

7 A. No.

8 Q. You would agree with me, that's a
9 piece of information, as a prescriber, that you
10 would want to know?

11 MR. STEKLOFF: Object to form.

12 A. Yes.

13 Q. Why is that information you would
14 want to know?

15 A. It would -- you know, it would give
16 me an idea as to what the actual percentage of
17 the side effect it was producing was. If it's --
18 yeah, it's more than one percent but it's
19 44 percent so I would like to know.

20 Q. Okay. And would it be fair to say
21 that if this clinical trial -- if that statement
22 that I read is true, and please just assume that

1 it is true, I don't need you to take my word on
2 it, okay, but assuming that's true, would it be
3 fair to say that this is information you would
4 want to relay with your patients moving forward
5 when you prescribe Cymbalta to them?

6 MR. STEKLOFF: Object to form.

7 MR. MARR: Objection, form.

8 A. Can I assume?

9 Q. Assuming it's true.

10 A. I don't know this art -- assuming.

11 MR. MARR: He's asking you to assume
12 it's true. So based upon that assumption.

13 A. Yes.

14 Q. And so it would be fair to say, then,
15 that if this information is true, it would affect
16 the way you go about discussing the risks and
17 benefits of Cymbalta to your patients?

18 MR. STEKLOFF: Object to form. Calls
19 for speculation.

20 A. Yes.

21 Q. Doctor, if you need a break, you let
22 me know, okay, because I like to go quick. Okay.

1 amitriptyline but, you know, not SSRI and SNRIs,
2 no.

3 Q. Do you ever prescribe Paxil?

4 A. No. Because we treat fibromyalgia
5 and Cymbalta is approved for fibromyalgia. The
6 others are -- I don't treat depression or
7 anything.

8 THE REPORTER: "I don't treat"?

9 MR. MARR: "Depression or anything."

10 Q. I'm going to hand you, Doctor, what
11 I'm going to mark as Exhibit 6.

12 (Ahmed Exhibit Number 6, copy of U.K.
13 drug label for Cymbalta, 52 pages, marked
14 for identification as of this date.)

15 Q. This is a copy of the drug label for
16 Cymbalta as it exists in the United Kingdom. As
17 a doctor who has had some foreign training, I'm
18 sure you're familiar with other countries have
19 different types of drug labels.

20 A. Uh-huh.

21 Q. Now, if I could have you turn your
22 attention to page six. I'd also ask that you

1 have the drug label, which I believe is
2 Exhibit 3, with the section "Discontinuation
3 Readily Available," because we're going to go
4 back and forth between these labels; okay?

5 All right. So on page six, do you
6 see the section -- and this is referring to
7 Exhibit 6, the -- the European label.

8 Do you see the section that reads,
9 "Discontinuation of treatment"?

10 A. Yes.

11 Q. Okay. Now, it starts off --

12 MR. STEKLOFF: Hold on. Just put a
13 standing objection to relevance to this European
14 label questioning.

15 Q. "Withdrawal symptoms when" treated is
16 discont -- "when treatment is discontinued are
17 common, particularly if discontinuation is
18 abrupt."

19 Do you see that?

20 A. Yes.

21 Q. Now, if I could you turn your
22 attention to the U.S. label. Nowhere in the U.S.

1 label does it state that discontinuation is
2 common, does it?

3 MR. STEKLOFF: Object to form.

4 A. You want me to read the entire
5 paragraph to say where it says nowhere?

6 Q. Please take a second and just review
7 the U.S. label, but nowhere in that -- those
8 three paragraphs under section 5.7 does it say
9 that discontinuation is a common phenomena?

10 MR. STEKLOFF: Object to form.

11 A. Yes.

12 Q. Okay. On the European label, it
13 says, in clinical trials adverse events seen on
14 abrupt treatment discontinuation occurred in
15 approximately 45 percent of patients treated with
16 Cymbalta and 23 percent of patients taking
17 placebo."

18 Now, you'd agree with me, Doctor,
19 that 45 percent or approximately 45 percent, that
20 comports with the 44.3 percent I showed you
21 earlier; right?

22 MR. STEKLOFF: Object to form. Calls

1 for speculation.

2 A. Yes.

3 Q. And again, nowhere in the U.S. label
4 does it say about approximately 45 percent?

5 MR. STEKLOFF: Object to form.

6 A. Agreed.

7 Q. And previously we -- I already asked
8 you this, but -- I think I asked you about
9 44.3 percent, but if it is actually in fact
10 approximately 45 percent, that's information
11 you'd want to know as a prescriber?

12 MR. SEKLOFF: Object to form. Calls
13 for speculation. Incomplete hypothetical.

14 You're reading her portions of that.

15 Q. Yes?

16 A. Yeah.

17 MR. SEKLOFF: Do you want her to read
18 all of the information or just portions?

19 MR. WISNER: I'm actually asking
20 questions. If you could really just object to
21 information, I'd appreciate it.

22 Q. All right. So let's continue.

1 "The risk of withdrawal symptoms seen
2 with SSRI's and SNRI's may be dependent on
3 several factors including the duration and dose
4 of therapy and the rate of dose reduction."

5 Do you see that?

6 A. Yes.

7 Q. Now, nowhere in the U.S. label,
8 particularly under "Discontinuation of Treatment
9 with Cymbalta," does it say that discontinuation
10 symptoms are correlated with dose of therapy?

11 MR. STEKLOFF: Object to form.

12 A. Yes.

13 Q. Nowhere in the discontinuation
14 section in the U.S. label does it say the longer
15 that you take Cymbalta, the more likelihood or
16 increased risks of withdrawal you may have?

17 MR. STEKLOFF: Object to form again.

18 A. Yes.

19 Q. It's not in the label; right?

20 A. Yes.

21 Q. Okay. Now, the European label
22 continues. It says, "The most commonly reported"

1 indications -- "reactions are listed in
2 section 4.8. Generally these symptoms are mild
3 to moderate, however, in some patients they may
4 be severe in intensity. They usually occur
5 within the first few days of discontinuing
6 treatment, but there" may -- there have been rare
7 reports of such symptoms in patients who have
8 inadvertently missed a dose.

9 Do you see that?

10 A. Yes.

11 Q. Now, Doctor, did you know before
12 today that if you inadvertently missed a dose you
13 could suffer withdrawal with Cymbalta?

14 MR. STEKLOFF: Object to form.

15 A. Can you say your question again? So
16 if somebody forgot to take the dose?

17 Q. Sure.

18 A. Yeah. I have not thought about that,
19 yes.

20 Q. You've never -- you've never been
21 told that before; right?

22 MR. STEKLOFF: Object to form.

1 A. No.

2 Q. And you'd agree that missing a single
3 dose can lead to withdrawal reactions, that's a
4 piece of information you would have wanted to
5 know as a prescriber?

6 MR. STEKLOFF: Object to form again.

7 A. Yes.

8 Q. And this is a piece of information
9 you probably would share with your patients when
10 you're prescribing the drug; right?

11 MR. STEKLOFF: Object to form.

12 A. Yes.

13 Q. And, Doctor, just so you know --
14 well, never mind. We'll continue with this.

15 The next sentence says, "Generally
16 these symptoms are self-limiting and usually
17 resolve within 2 weeks, though in some
18 individuals they may be prolonged," two to three
19 "months or more."

20 Do you see that?

21 A. Yes.

22 Q. Nowhere in the U.S. label does it say

1 that discontinuation reactions can last two to
2 three months or more, does it?

3 MR. STEKLOFF: Object to form.

4 A. I have to read the whole thing, but
5 no, I don't recall.

6 Q. Sorry, I'm going to rephrase the
7 question.

8 A. Just in that paragraph?

9 Q. Yeah. In section 5.7, nowhere does
10 it say that discontinuation reactions can last
11 two to three months?

12 A. No, it doesn't.

13 Q. Did you know before today that it was
14 possible that discontinuation reactions could
15 last two to three months?

16 MR. STEKLOFF: Object to form.

17 A. No.

18 Q. And that's a piece of information you
19 would like to know as a prescriber; right?

20 MR. STEKLOFF: Object to form. Calls
21 for speculation.

22 A. Yes.

1 Q. And when discussing the treatment
2 option with a patient, this is something you
3 might apprise them of, if they ever want to
4 discontinuation -- discontinue the drug; correct?

5 MR. STEKLOFF: Object to form.

6 A. Yes.

7 Q. One more sentence. It is therefore
8 advised that duloxetine should be gradually
9 tapered when discontinuing treatment over a
10 period of no less than 2 weeks, according to the
11 patient's needs."

12 Do you see that?

13 A. Yes.

14 Q. Now, again, nowhere in the U.S. label
15 does it say you must taper for at least two
16 weeks, does it?

17 MR. STEKLOFF: Object to form.

18 A. No, it doesn't say the exact time.

19 Q. In fact, in this warning in the U.S.
20 label, there is no indication of how long you
21 should taper whatsoever?

22 MR. STEKLOFF: Object to form.

1 A. You're right.

2 Q. Doctor, you would agree that knowing
3 that you should taper for a minimum of two weeks,
4 that's a piece of information that you would like
5 to know --

6 MR. STEKLOFF: Object to form.

7 Q. -- as a prescriber?

8 A. We usually taper it off during that
9 period or a little while longer. On -- on a
10 regular basis when we -- we taper off
11 antidepressants like Cymbalta, I usually
12 recommend to taper it off for two to four weeks
13 or something like that.

14 Q. And that's based upon your own
15 experience with it; correct?

16 A. Yeah. From my knowledge of it.

17 Q. You didn't get that from the U.S.
18 label?

19 A. No.

20 Q. Okay.

21 MR. WISNER: Can we go off the
22 record.

1 A. I push around, but not like this.

2 Q. Do you see that last paragraph there,
3 it reads -- starts off with "Dear Fugan and all"?

4 Do you see that?

5 A. Uh-huh.

6 Q. Now this paragraph reads, "my point
7 was not so much what events should be included,
8 but concern that the implication from the wording
9 is that tapering eliminates the risk of
10 discontinuation symptoms. None of the individual
11 studies specifically designed to look at this
12 (SUI or GAD) have shown a benefit to tapere
13 compare with abrupt discontinuation. I just
14 believe that the sentence that concludes the
15 first paragraph is not accurately reflecting the
16 lack of benefit" -- "the lack of benefit (or lack
17 thereof) of tapering in studies designed to look
18 at this specifically."

19 Do you see that?

20 A. Yes.

21 MR. STEKLOFF: Objection.

22 Q. Doctor, it was your understanding,

1 when you prescribed Cymbalta to Mrs. Ali, that
2 tapering a medication reduced the risk of
3 suffering from withdrawal; right?

4 A. Yes.

5 Q. And based on the sentences that I
6 just read you it would appear that these
7 individuals within Eli Lilly and Company are
8 stating that the studies show that there is no
9 difference between tapering abruptly or tapering --
10 tapering or abrupt discontinuation?

11 MR. STEKLOFF: Object to form.

12 A. These are parts of emails. I just
13 don't know the entire story. I can't really
14 comment based on what you're showing me right
15 now. I can tell you what my understanding was,
16 but who knows what has gone on. I don't know.
17 This is just three part -- just three emails that
18 you're showing me.

19 Q. Let me ask this question a different
20 way. Sorry, did I cut you off, Doctor? I didn't
21 mean to.

22 A. No, no. Go ahead. Ask me.

1 Q. Assume for a second that Lilly
2 conducted clinical trials to see if abrupt
3 discontinuation or if tapering made a difference
4 on whether or not people suffered from
5 discontinuation symptoms. And assume for a
6 second that the study shows that there was no
7 difference.

8 MR. STEKLOFF: Object to form.

9 A. Okay.

10 Q. Assuming those two pieces of
11 information, is that information that you would
12 have wanted to know as a prescriber?

13 MR. STEKLOFF: Object to form.

14 A. Yes.

15 Q. And that's information you would have
16 expected to be in the label; correct?

17 MR. STEKLOFF: Object to form.

18 A. Yeah. I expect things that are
19 important to be in the label, yes.

20 Q. And the risk that a patient could
21 potentially suffer from discontinuation, that's
22 important?

1 A. Yes.

2 Q. And let's assume that you were
3 speaking -- well, let's not assume. At some
4 point you discussed the risks and benefits with
5 Mrs. Ali of Cymbalta; right?

6 A. Yes.

7 Q. And during that discussion, assuming
8 that the risk really is 45 percent --

9 MR. STEKLOFF: Object to form.

10 Q. -- is that something you would have
11 shared with her as part of the discussion of the
12 risks and benefits of the drug?

13 MR. STEKLOFF: Object to form.

14 A. If it's in the package insert, yes.

15 Q. And if Mrs. Ali had told you, I will
16 not take on that risk, would you still prescribe
17 Cymbalta to her?

18 A. Of course not.

19 Q. Why not?

20 MR. STEKLOFF: Object to form.

21 A. I just don't do that. I don't force
22 any medications on any patients. Patients are

1 told to make an informed consent. It's a mutual
2 thing. It is their body, and they can refuse any
3 medication they don't want to be on. I may, in
4 my clinical, judgment, feel that it may be the
5 next step. But if she doesn't want to take it,
6 that's fine. We'll have to find another
7 alternative step. Nobody's forcing anything on
8 anybody.

9 Q. Would it be fair, then, Doctor, if
10 she said, I refuse to take on this risk, or I
11 refuse to take Cymbalta, that you would have
12 tried to explore possible alternative treatments
13 for her?

14 A. Yes. Probably would have done more
15 Flexeril, tramadol, more physical therapy, yes.

16 Q. And you would have done that, even if
17 you did not have another drug to prescribe, you
18 still would not have prescribed the drug; right?

19 MR. STEKLOFF: Object to form.

20 A. Of course not.

21 Q. Okay. Doctor, I want to wrap up my
22 questioning in a minute. If you could turn to

1 A. Yes.

2 Q. And so that's why, in part, it would
3 be important to know what the placebo patients
4 were experiencing; right?

5 A. Yes.

6 Q. And do you agree that when the label
7 talks about placebo-controlled clinical trials
8 and says that -- that the following symptoms
9 occurred at one percent or greater and at a
10 significantly higher rate in duloxetine-treated
11 patients compared to those discontinuing from
12 placebo, it's telling you that in these studies
13 the patients who were taking Cymbalta experienced
14 them -- experienced the symptoms listed here at
15 significantly higher rates?

16 A. Obviously, it means that the
17 discontinuation symptoms with Cymbalta were
18 higher than those from the placebo, and that's
19 why that's a drug and that's the placebo.

20 I mean, there can be sometimes
21 statistically insignificant changes between the
22 placebo and the drug, and that's possible. But

1 you're saying that they were. Yeah, that I
2 understand here, but --

3 Q. And do you agree --

4 MR. WISNER: Hold on. Please let her
5 finish her answer.

6 Q. Go ahead, please.

7 A. Go ahead. What was your question?

8 Q. No, no. I did not mean -- I didn't
9 mean to cut you off.

10 A. That doesn't -- you know, what I mean
11 is that -- so the breakdown gives you a
12 clarification, like 21 percent of placebo had
13 dizziness, 44 percent in -- in the duloxetine
14 group had dizziness. So the percentage is like
15 double the amount of patients had dizziness in
16 the medication group versus the placebo group.
17 And that's -- that I can understand.

18 But when you ask me to guess greater
19 than one percent, that's guesswork. I understand
20 it's higher, of course, that's why the drug -- in
21 most of the cases, the drug has a, you know,
22 higher rate of side effects than the placebo, and

1 that's understandable. But I don't see -- I
2 don't -- you can't tell me to say, well, you just
3 guess, then, what the percentage is.

4 Q. And I'm not asking you to guess what
5 the percentages are. My question is, without --
6 you have no idea, without reviewing this whole
7 study and potentially other studies --

8 A. True.

9 Q. -- to understand the details on
10 whether it would have had any impact on your
11 prescribing decision for Mrs. Ali?

12 A. True.

13 Q. And so when Mr. Wisner asked you a
14 bunch of questions about whether you would have
15 given her different advice, if you knew that
16 45 percent of patients experience a symptom, do
17 you agree that that's not a complete
18 hypothetical, because you don't have all -- you
19 don't have the placeb -- he didn't ask you about
20 the placebo numbers, he didn't ask you to go over
21 the details, you didn't review the details?

22 A. That's not what he asked me. He

1 asked me if it was -- if there was 44 percent, if
2 someone came, and drug reps came and asked you,
3 there is a 44 percent, would you know about it,
4 if it's in the package insert, would you pay
5 attention to it, and I said yes.

6 Q. And understanding that you, of
7 course, would pay attention to it, do you know
8 whether it would have changed your prescribing
9 decision for Mrs. Ali?

10 MR. WISNER: Objection, speculation.

11 A. I would have reviewed it with her.
12 Whether she would have taken the medication or
13 not, that is speculation. I can't -- but she
14 would have had a package insert to review it, and
15 I would have reviewed it with her.

16 Q. Do you know whether you would have --
17 but is it fair to say that you would be
18 speculating, if -- if I asked you whether you
19 would have made a different recommendation to
20 Mrs. Ali, putting aside what her decision would
21 have been?

22 A. I would have -- yes. I would be

1 speculating. It depends what was in the package
2 insert, what the information is, what she would
3 have decided, what I would have decided, based on
4 whether she would have wanted to go on the
5 medication, you know.

6 It's all speculation because you're
7 asking me hypothetical questions. I can't answer
8 those things.

9 Q. And so -- well, let me ask a
10 different question.

11 Do you agree that the language that
12 is in the label about the one percent or greater
13 and at a significantly higher rate in the
14 duloxetine patients versus the placebo, that you
15 would have reviewed that with Mrs. Ali?

16 A. If this was in the package insert, I
17 said this many times. More likely than not, we
18 reviewed it, and it says in my documents I gave
19 her the package insert. I don't know how many
20 times I have to answer that question, though.
21 But I did say that many times, yes.

22 MR. STEKLOFF: No further questions.

1 MR. WISNER: Thank you, Doctor.

2 MR. STEKLOFF: We're done. Thank
3 you.

4 MR. MARR: We'll read.

5 THE VIDEOGRAPHER: This concludes
6 today's videotape deposition of Dr. Navera Ahmed.

7 Going off the record. The time is
8 1847 p.m.

9 (Signature not having been waived,
10 the deposition of NAVERA R. AHMED, M.D. was
11 concluded at 6:47 p.m.)

12

13

14

15

16

17

18

19

20

21

22

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22

* * *

ACKNOWLEDGMENT OF DEPONENT

I, NAVERA R. AHMED, M.D., do hereby
acknowledge that I have read and examined the
foregoing testimony, and the same is a true,
correct and complete transcription of the
testimony given by me, and any corrections appear
on the attached Errata Sheet signed by me.

(DATE)

NAVERA R. AHMED, M.D.

1 CERTIFICATE OF SHORTHAND REPORTER - NOTARY PUBLIC

2 I, AMY E. SIKORA-TRAPP, RPR, CRR, Former
3 CSR-NY (license unrenewed), CLR, and Notary
4 Public within and for the Commonwealth of
5 Virginia, hereby certify that the foregoing
6 deposition of NAVERA R. AHMED, M.D. was taken
7 before me on the 20th day of April, 2015.

8 That the said witness was duly sworn before
9 the commencement of the testimony; that the said
10 testimony was taken stenographically by me and
11 then transcribed.

12 I further certify that I am not kin to any
13 of the parties to this action nor am I interested
14 directly or indirectly in the matter in
15 controversy; nor am I in the employ of any of the
16 counsel in this action.

17 IN WITNESS WHEREOF, I have hereunto set my
18 hand this 21st day of April, 2015.

19

20 AMY E. SIKORA-TRAPP, RPR, CRR,
Former CSR-NY (license unrenewed), CLR

Notary Public

21 within and for the Commonwealth of Virginia
22 My Commission expires: 7/31/19