

UNITED STATES DISTRICT COURT
EASTERN DISTRICT OF VIRGINIA
ALEXANDRIA DIVISION

GILDA HAGAN-BROWN,)
Plaintiff,)
vs.) 1:14-CV-01614-AJT-JFA
ELI LILLY AND COMPANY,) Hon. Anthony J.
an Indiana corporation,) Trenga
Defendant.)

The videotaped deposition of MATT KUNTZ,
taken in the above-entitled cause, before Paula
Ann Erickson, Certified Shorthand Reporter,
Registered Professional Reporter and Notary
Public, on May 6, 2015, at the Double Tree
Hotel, 510 East Illinois Route 83, Mundelein,
Illinois, at the approximate hour of 1:36 p.m.

Reported by: Paula A. Erickson, CSR, RPR, CLR

1 APPEARANCES:

2

MR. R. BRENT WISNER
3 BAUM, HEDLUND, ARISTEI & GOLDMAN, PC
12100 Wilshire Boulevard
4 Suite 950
Los Angeles, California 90025
5 (310) 707-3233
rbwisner@baumhedlundlaw.com

6

Appeared on behalf of the Plaintiff.

7

MR. MICHAEL F. LYNCH
8 LYNCH LAW OFFICE
3613 South Eastern Avenue
9 Las Vegas, Nevada 89169
(702) 684-6000

10

Via telephone on behalf of the Plaintiff,
11 Robert Gentry, in Nevada action.

12

MR. KEITH A. TEEL and MR. BRETT C. REYNOLDS
COVINGTON & BURLING, LLP
13 One City Center
850 Tenth Street, NW
14 Washington, D.C. 20001
(202) 662-5501
15 kteel@cov.com
breynolds@cov.com

16

Appeared on behalf of the Defendant and
17 the Deponent, Matt Kuntz.

18

19 ALSO PRESENT:

20 Stephan Hoog - Videographer

21

22

23

24

* * * *

25

1	I N D E X		
2	WITNESS		PAGE
3	MATT KUNTZ		
4	BY MR. WISNER.....		6
5	BY MR. TEEL.....		215
6	BY MR. WISNER.....		220
7			
8	E X H I B I T S		
9	DEPOSITION EXHIBIT		PAGE
10	No. 1	Package Insert Information	74
11	No. 2	Summary of Product Characteristics	101
12			
13	No. 3	Brief Report	112
14			
15	No. 4	QuarterWatch	136
16			
17	No. 5	Table 2.2 (starting Bates #CYM-00324648)	140
18	No. 6	E-mail exchange (starting Bates #CYM-01830585)	150
19			
20	No. 7	E-mail exchange (starting Bates #CYM-01867769)	160
21	No. 8	E-mail exchange (starting Bates #CYM-01873565)	177
22			
23	No. 9	E-mail exchange	185
24			
25	No. 10	E-mail exchange (starting Bates #CYM-01913985)	180
	No. 11	General Safety (starting Bates #CYM-01917572)	188
	No. 12	E-mail (starting Bates #CYM-01939315)	194

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

E X H I B I T S

DEPOSITION EXHIBIT	PAGE
No. 13 E-mail exchange (starting Bates #CYM-02053002)	196
No. 14 Memorandum (starting Bates #CYM-02053036)	197
No. 15 E-mail exchange (starting Bates #CYM-02483924)	208

Note: Deposition Exhibit Nos. 1 - 15 were
marked and attached to the transcript.

1 prescribing Cymbalta?

2 A. Well, that would be how it would start;
3 and then that would be submitted and discussed
4 with FDA and ultimately approved by FDA.

5 Q. Okay. So the original drafting of
6 these sections, the content of those sections,
7 that was done by Lilly, correct?

8 A. Correct.

9 Q. And then that was submitted for the FDA
10 to get approval from the FDA?

11 A. That's right.

12 Q. All right. Would it be fair to say
13 that that was the same process that occurred for
14 all of the labeling content and all of the
15 labeling?

16 A. No. It wouldn't.

17 Q. Okay. Are there certain sections that
18 the FDA specifically writes?

19 A. They may at times write certain
20 language prior to, say, a sponsor admitting it
21 and that often is the case with what's called
22 Class Labeling where there may be -- FDA may be
23 aware of an issue because they are reviewing
24 across a whole class of drugs, and they would
25 identify potentially some sort of labeling

1 revision that would be needed and they would
2 notify the sponsors.

3 Q. Is an example of Class Labeling is that
4 the boxed warning?

5 A. Correct. Yep.

6 Q. Okay. So the boxed warning wasn't
7 specifically written by Lilly, correct?

8 A. I don't know if it was initially
9 written by Lilly for Cymbalta, but I know this
10 is for the antidepressant class a class warning.

11 Q. Okay. Are you familiar with any other
12 sections that were Class Labeling in this label?

13 A. I do believe there were some drug
14 interactions that were potentially added, but I
15 am not sure about anything specifically.

16 Q. Does anything prevent Lilly from
17 requesting a change or exclusion to Class
18 Labeling to the FDA?

19 A. No. Nothing would prevent us from
20 attempting to propose a change or a
21 modification.

22 Q. So, for example, if some specific Class
23 Labeling didn't really apply to Cymbalta, Lilly
24 could submit an application to the FDA saying
25 this didn't apply?

1 A. Yes. You could.

2 Q. And presumably that would have to be
3 supported by some sort of data?

4 A. Right.

5 Q. Okay. The sections under Section 17
6 for patient counseling information, do you know
7 when that was included in the Cymbalta label?

8 A. No, I don't. It would have been at the
9 time the label was revised to comply with this
10 PLR format, I don't believe -- I don't believe,
11 and I am not sure about the timing about when
12 this specific format was adopted, but I believe
13 it was after the initial approval of Cymbalta.

14 Q. Cymbalta was approved in 2004, right?

15 A. Right.

16 Q. Is it your understanding that the PLR,
17 or the Position Labeling Rule, was implemented
18 around 2006, 2007?

19 MR. TEEL: Objection. Lack of
20 foundation.

21 THE WITNESS: That sounds right but,
22 again, I don't remember --

23 BY MR. WISNER:

24 Q. Okay.

25 A. -- the timing for the PLR.

1 what that means?

2 A. Yes. Spontaneous is a term that is
3 used to indicate that the terms were not
4 solicited. For example, like in a clinical
5 trial setting, the clinical trial investigators
6 ask have you had any adverse events, what were
7 those, et cetera, so you are actually soliciting
8 that information; whereas, in the postmarketing
9 setting, these would be terms, events, cases
10 that would be reported to Lilly unprompted
11 without any sort of solicitation through the
12 call center or maybe through a sales rep or
13 other mechanism.

14 Q. Okay. Is it your under -- Okay. Now
15 just take a second and just finish reading
16 through the rest of the section just so you have
17 a sense of what's in it.

18 A. Okay.

19 Q. Let me know when you are done.

20 A. Okay. I am finished.

21 Q. Keep that Exhibit 1 open to that
22 section because we are going to be referencing
23 it.

24 A. Okay.

25

1 (Whereupon, Deposition Exhibit
2 No. 2 was marked and dated.)

3 BY MR. WISNER:

4 Q. I am handing you what I have marked as
5 Exhibit 2. Do you recognize this document?

6 A. Well, I am looking at the title. It's
7 the Summary of Product Characteristics so it
8 would be the European label.

9 Q. And that would be if you turn the page,
10 this is referring to Cymbalta?

11 A. For Cymbalta, yes.

12 Q. Okay. Now, if you turn to Page 6, do
13 you see the section that's titled
14 Discontinuation of Treatment?

15 A. I do.

16 Q. Okay. Now, would it be fair to say
17 that this is sort of the European counterpart to
18 the US label?

19 MR. TEEL: Objection. Lack of
20 foundation.

21 THE WITNESS: Yeah. It looks to be,
22 yeah, the same kind of information.

23 BY MR. WISNER:

24 Q. In the US label, the section is titled
25 Discontinuation of Treatment with Cymbalta,

1 right?

2 A. Yes.

3 Q. And in this label it's titled
4 Discontinuation of Treatment. Do you see that?

5 A. Right.

6 Q. Okay. Now in the European label --
7 Have you seen this label before?

8 A. Yeah. I am sure I have seen it before,
9 yes.

10 Q. And during the time that you were a US
11 Regulatory Associate?

12 A. Yes.

13 Q. Okay. If you read right here it says,
14 "Withdrawal symptoms when treatment is
15 discontinued are common, particularly if
16 discontinuation is abrupt" and then it
17 references the section see 4 -- see Section 4.8,
18 right?

19 A. Uh-huh.

20 Q. Now, it says right here in the European
21 label withdrawal symptoms. Do you see that?

22 A. I do.

23 Q. And you would agree with me that
24 nowhere in the US label does it say withdrawal
25 symptoms, and let me specify that question.

1 Nowhere in this Section 5.7 does it say
2 withdrawal symptoms?

3 A. No. I don't see it here.

4 Q. Okay. Under European label it says,
5 "Withdrawal symptoms when treatment is
6 discontinued are common." Nowhere in
7 Section 5.7 in the US label does it say that
8 discontinuation symptoms are common?

9 MR. TEEL: Object to the form.

10 THE WITNESS: No. It doesn't say that
11 in the label.

12 BY MR. WISNER:

13 Q. Okay.

14 A. Although I would note that as a
15 pharmacist and I am assuming most healthcare
16 professionals would be aware that any
17 antidepressant has the potential for withdrawal
18 symptoms for discontinuation.

19 Q. I understand that but my question was
20 that the US label specifically doesn't say that
21 discontinuation symptoms are common, right?

22 A. No.

23 Q. Okay. In the European label it goes on
24 to read, "In clinical trials, adverse events
25 seen on abrupt treatment discontinuation

1 occurred in approximately 45 percent of patients
2 treated with Cymbalta and 23 percent of patients
3 taking placebo." Do you see that?

4 A. I do.

5 Q. And previously you mentioned that you
6 had seen that 45 percent language before.

7 A. I don't know if we saw it or we just
8 discussed it, but yes.

9 Q. Okay.

10 A. Yeah.

11 Q. And in the US label under Section 5.7,
12 it doesn't make any mention of any 45 percent?

13 A. No.

14 Q. Okay. During your time at Lilly, were
15 you ever aware of whether or not Lilly ever
16 attempted to change the Section 5.7 in the US
17 label to include any 45 percent?

18 A. I'm not aware.

19 Q. And that didn't occur while you were a
20 US Regulatory Associate, correct?

21 A. As far as I know, we didn't -- I don't
22 recall any revisions to this particular section
23 of the USPI.

24 Q. Okay. The next sentence in the
25 European label says, "The risk of withdrawal

1 symptoms seen with SSRIs and SNRIs may be
2 dependent on several factors including the
3 duration and dose of therapy and the rate of
4 dose reduction." Do you see that?

5 A. Yes.

6 Q. The US label doesn't state that the
7 severity -- Strike that.

8 The US label doesn't state that the
9 risk of withdrawal symptoms may be dependent on
10 the dose or duration of therapy, does it?

11 MR. TEEL: Objection. Lack of
12 foundation.

13 THE WITNESS: No. It doesn't.

14 BY MR. WISNER:

15 Q. Okay. In the European label, jump down
16 a few sentences here, it says, "They" and it's
17 referring to withdrawal symptoms, right, they
18 usually occur -- Sorry. Let me say that -- Do
19 you see the sentence that starts with, "They
20 usually occur"?

21 A. Uh-huh.

22 Q. "They" that's referring to withdrawal
23 symptoms, right?

24 A. Yes.

25 Q. Okay. And it reads, "They usually

1 occur within the first few days of discontinuing
2 treatment but there have been very rare reports
3 of such symptoms in patients who have
4 inadvertently missed a dose." Do you see that?

5 A. I do.

6 Q. Now, in the US product insert under the
7 section Discontinuation of Treatment with
8 Cymbalta, it does not state that discontinuation
9 symptoms will occur or likely occur within the
10 first few days of treatment -- discontinuing
11 treatment?

12 A. No. I don't see that.

13 Q. And in the US label, there is no
14 mention that inadvertently missing a dose could
15 potentially lead to discontinuation symptoms?

16 A. No.

17 Q. And, again, I take it because you don't
18 recall any efforts being done by Lilly to change
19 this section while you were at Lilly, are you
20 aware of whether or not Lilly ever attempted to
21 include a statement about missing doses in the
22 US product insert?

23 A. No. I don't recall of anything like
24 that.

25 Q. And if there was, in fact, evidence to

1 support that particular risk, based upon your
2 experience as a US Regulatory Associate, Lilly
3 could have taken actions to try to include that
4 information in the US label, correct?

5 A. If it would -- I think it would depend
6 on the data and the reliability of the data
7 itself. What you are saying, yes, is
8 theoretically possible.

9 Q. And it's possible that Lilly could have
10 changed the US label to include the 45 percent
11 risk, right?

12 MR. TEEL: Objection. Lack of
13 foundation.

14 THE WITNESS: Well, Lilly could have
15 potentially. Again, with all the, you know,
16 caveats around the sources of data for this, but
17 could have proposed it to FDA.

18 BY MR. WISNER:

19 Q. Okay.

20 A. It's, you know, FDA ultimately approves
21 the label and it would appear to me that without
22 looking at across other SSRIs, that this is
23 fairly standardized language.

24 Q. But you haven't looked at other SSRIs,
25 correct?

1 A. No. I haven't. I don't remember
2 anything from when I was in this role.

3 Q. So you're just saying that based --
4 What do you base that opinion that it's
5 standardized language?

6 A. Based on the last two paragraphs and
7 often FDA would, again, in my experience, allow
8 a sponsor to provide some product -- their
9 product specific information and then follow it
10 with more class labeling.

11 Q. Okay. So the first paragraph then in
12 the US label, that appears to be a product
13 specific section?

14 A. That's -- that's right.

15 Q. Okay. In the European label, the next
16 sentence says, "Generally, these symptoms are
17 self-limiting and usually resolve within two
18 weeks, though, in some individuals they may be
19 prolonged two to three months or more." Do you
20 see that?

21 A. Yes.

22 Q. Now, if you look at the US section on
23 Discontinuation of Treatment with Cymbalta,
24 there is no indication -- well, first of all,
25 there is no statement that discontinuation

1 symptoms could last two to three months or more?

2 A. There is the statement that the events
3 are self-limited -- generally self-limiting and
4 some have been reported to be severe but there
5 is nothing that would say prolonged for two to
6 three months.

7 Q. And, in fact, there is no indication in
8 the US label about how long discontinuation
9 symptoms may last?

10 A. Well, I mean, the self-limiting
11 language, but it doesn't, again, reflect that
12 kind of potential for a prolonged experience.

13 Q. And what is your understanding of the
14 phrase "self-limiting"?

15 A. That they resolve without further
16 intervention.

17 Q. Okay. Does it suggest that it's a
18 short period of time or does it have no -- Does
19 it suggest that it's a short period of time?

20 MR. TEEL: Objection. Lack of
21 foundation.

22 THE WITNESS: I wouldn't necessarily
23 think of it that way, no. It's just it's
24 self-limiting. It's it will resolve without
25 intervention.

1 BY MR. WISNER:

2 Q. Now, based on your understanding of
3 that, is two to three months is that -- if
4 someone were to experience symptoms for two to
5 three months, is that -- could that still be
6 self-limiting?

7 MR. TEEL: Objection. Lack of
8 foundation.

9 THE WITNESS: Yeah. I guess it could
10 with that sort of definition, yeah.

11 BY MR. WISNER:

12 Q. Because eventually after two to
13 three months, it would have gone away on its
14 own?

15 A. Yeah. That's it resolves on its own.
16 That's a good way of saying it.

17 Q. Okay. All right. The last sentence
18 here in the European label says, "It is,
19 therefore, advised that the duloxetine should be
20 gradually tapered when discontinuing treatment
21 over a period of no less than two weeks
22 according to the patient's needs." Do you see
23 that?

24 A. I do.

25 Q. And it references a section see

1 Section 4.2. Do you see that?

2 A. I do.

3 Q. Now, in the US label under Section 5.7
4 or Section 2.4 which we looked at previously, it
5 does not state that discontinuation of Cymbalta
6 should be tapered over a period of no less than
7 two weeks, does it?

8 A. No. It doesn't say two to four weeks.

9 Q. In fact, nowhere in the US label in
10 either Section 5.7 or 2.4 does it tell you a
11 time period for which tapering should be done?

12 A. Gradual is the only language that I
13 have seen.

14 Q. But you would agree with me that
15 gradual over three days is different than
16 gradual over three months, right?

17 A. Yeah.

18 Q. So this doesn't give you a timeframe
19 specifically in the US label?

20 A. No.

21 Q. All right. Do you recall whether Eli
22 Lilly ever attempted to include a statement of
23 discontinuing over a period of no less than two
24 weeks into the US product insert?

25 A. Not that I recall.

1 (Whereupon, Deposition Exhibit
2 No. 3 was marked and dated.)

3 BY MR. WISNER:

4 Q. Okay. Doctor, I am handing you what I
5 have marked as Exhibit 3. Actually, though,
6 before we talk about that exhibit, you'd agree
7 that the information contained on the European
8 label is different than -- with regards to
9 discontinuation is different than the
10 information contained on the US label?

11 MR. TEEL: Object to the form.

12 THE WITNESS: I think that the way the
13 information is conveyed is different. It's --
14 it's more focused on the likelihood of a
15 discontinuation event occurring versus the kinds
16 of events that occur.

17 BY MR. WISNER:

18 Q. And when you are referring when you say
19 "it," you're referring to the European label?

20 A. Yes. In the first instance it was the
21 European label versus the kinds of events, the
22 observed events, being represented in the USPI.

23 Q. I'm happy you raised that. If you
24 actually could turn to Page 8 of the European
25 label. Sorry. Before you do that. On Page 6,

1 you see it says in the first sentence it says
2 see Section 4.8?

3 A. Yes.

4 Q. And that's in reference to withdrawal
5 symptoms when treatment is discontinued are
6 common. Do you see that?

7 A. I do.

8 Q. Okay. So let's turn to Section 4.8. I
9 believe it starts on Page 4.8 -- starts on
10 Page 8. Do you see that?

11 A. At the bottom, yes.

12 Q. Yeah. If you turn the page -- Well, it
13 starts off it says Undesirable Effects, right?
14 Do you see that?

15 A. I do.

16 Q. And it says the Summary of the Safety
17 Profile and it reads, The most commonly reported
18 adverse reactions. Do you see that?

19 A. Yes.

20 Q. And if you turn the page, under Section
21 B it says Tabulated summary of adverse
22 reactions. Do you see that?

23 A. Yes.

24 Q. And it says Table 1 and it's labeled
25 Adverse Reactions, right?

1 A. Right.

2 Q. And Table 1, that spans three pages; is
3 that true?

4 A. Yes.

5 Q. And then if you look at Section C, it
6 says Description of selected adverse reactions.
7 Do you see that?

8 A. I do.

9 Q. And then under there it says,
10 "Discontinuation of duloxetine, particularly
11 when abrupt, commonly leads to withdrawal
12 symptoms."

13 A. Okay.

14 Q. And then it says, "dizziness, sensory
15 disturbances, including paresthesia or electric
16 like -- shock-like sensations, particularly in
17 the head, sleep disturbances, including insomnia
18 and intense dreams, fatigue, somnolence,
19 agitation or anxiety nausea and/or vomiting,"
20 and it keeps going on with a bunch of different
21 potential reactions, right?

22 A. Right.

23 Q. Take a second look at the US label.
24 All of the reactions listed in first
25 paragraph of 5.8, those reactions are contained

1 in that paragraph, right?

2 MR. TEEL: Object to the form.

3 THE WITNESS: I can do a check real
4 quick.

5 BY MR. WISNER:

6 Q. Yeah. Please do.

7 A. I don't see -- Maybe I just missed it.
8 Myalgia is that there? I'm not sure.

9 Q. That is. It's right after headache in
10 the European label. Between headache and
11 irritability.

12 A. No -- Yeah. Okay. I am looking at the
13 USPI.

14 Q. Oh. Okay.

15 A. You're asking me if all of the USPI
16 terms are in here?

17 Q. Yes.

18 A. Yes.

19 Q. In here, you're referring to the
20 European label?

21 A. Yes. In the SPC, European label.

22 Q. Okay. And, in fact, the SPC, or the
23 European label, that has actually more reactions
24 than the US label, right?

25 A. Yeah. I think it looks like it does.

1 Q. Okay. So a second ago I said that the
2 information contained in the European label that
3 contains different information than the US
4 label. Would it be fair to say actually that it
5 contains more information about the risks of
6 discontinuation than the US label?

7 MR. TEEL: Object to the form.

8 THE WITNESS: I think it contains more
9 text and additional details, yes.

10 BY MR. WISNER:

11 Q. And by additional details, for example,
12 the European label says that these symptoms
13 occurred in 45 percent of patients; whereas, the
14 US label doesn't mention 45 percent, right?

15 A. That would be an example.

16 Q. And the European label says that
17 symptoms can last two to three months or more
18 and the US label doesn't specify a timeframe,
19 right?

20 A. It only says gradual.

21 Q. Yeah. And in the US -- and the
22 European label says you should discontinue over
23 a period no less than two weeks and the US label
24 doesn't make a mention of how long tapering
25 should occur for.

1 A. Right.

2 Q. So, Doctor, again my question, you can
3 disagree with me if you want, would it be fair
4 to say that the European label contains more
5 information about the risks of discontinuation
6 than the US label?

7 MR. TEEL: Object to the form. Use
8 specifically of the term "doctor".

9 THE WITNESS: It would appear based on
10 the comparison across these two regional labels
11 that there is different information and a more
12 descriptive -- more description of the overall
13 incidence of discontinuation events and more
14 details around what gradual discontinuation
15 means in the European label.

16 BY MR. WISNER:

17 Q. Now, the US label, did you ever discuss
18 with ever anyone at Eli Lilly in the Medical or
19 in the Regulatory Group that physicians might
20 get confused about the 1 percent threshold in
21 that label?

22 A. No. I don't recall ever having a
23 discussion about that.

24 Q. If a physician were to read that and
25 think that the risks of discontinuation are

1 2009, right?

2 A. That's right.

3 Q. And this memo is dated 2007?

4 A. Right.

5 Q. Okay. Do you know whether or not in
6 2007 this memo was shared with Lilly?

7 A. I don't.

8 Q. Okay. All right. Well, this is -- and
9 in the Executive Summary it says, "During
10 routine postmarketing surveillance of medication
11 errors, DMETS identified a signal involving the
12 opening of Cymbalta capsules prior to
13 administration to achieve a lower dose of the
14 drug."

15 What is DMETS?

16 A. It's the FDA Division of Medication
17 Errors and Technical Support.

18 Q. Okay. And it says identified a signal.
19 What is your understanding of that word
20 "signal"?

21 A. Yeah. That's a -- that's a term that
22 would signify that in the review of aggregated
23 safety data from the errors database, this issue
24 came up somehow in their analysis. There is
25 lots of probably different algorithms that they

1 would have applied but --

2 Q. And you have familiarity with such
3 things as signals based on your work in the
4 Pharmacovigilance Group, right?

5 A. Yeah. That's right.

6 Q. Okay. It says here that there has been
7 a signal that opening of Cymbalta capsules prior
8 to administration to achieve a lower dose of the
9 drug.

10 Do you ever recall discussing this
11 issue at Eli Lilly in your regulatory capacity?

12 A. I don't.

13 Q. Okay. If you turn the page, on Page 2,
14 under Section 3, it says, "During routine
15 monitoring of medication errors, DMETS received
16 a case where a patient intentionally opened a
17 Cymbalta capsule to achieve a lower dose."

18 During your time at Eli Lilly, do you
19 recall ever having any discussions with anyone
20 at Eli Lilly about people opening up the
21 capsules to create smaller dosages for Cymbalta?

22 A. I don't.

23 Q. Do you recall whether or not anyone at
24 Eli Lilly took actions to update the label or
25 make changes to the US label in response to the

1 potential of patients opening up Cymbalta
2 capsules?

3 A. I don't recall that.

4 Q. Okay. If you turn to Page 3, under
5 Section C, Wrong Technique, go down midway
6 through the paragraph. It says, "One (n=1) case
7 involved opening 20 milligram capsules while
8 tapering off Cymbalta to avoid withdrawal
9 effects."

10 Do you see that?

11 A. I do.

12 Q. In this document, the FDA has
13 identified a signal and supporting that
14 identification of that signal is discussing at
15 least one incident where a patient has opened
16 the 20 milligram capsule so as to avoid
17 withdrawal effects, correct?

18 A. That's what this report is stating.

19 Q. Do you know whether or not Lilly took
20 any actions to update the label to warn patients
21 not to open up the 20 milligram capsules to
22 avoid withdrawal effects?

23 A. I don't recall.

24 Q. Do you know if Lilly, at any time,
25 considered submitting a prior approval

1 supplement to obtain smaller doses of Cymbalta
2 for the purposes of tapering?

3 A. No. I'm trying to recall if there was
4 a lower dose for the pediatric studies but I
5 just don't recall.

6 Q. Okay. So do you recall -- Well, do you
7 recall whether or not Lilly ever did try to
8 obtain a smaller than 20 milligram dose of
9 Cymbalta -- Sorry. Let me rephrase that.

10 Do you recall whether or not -- Do you
11 know whether or not Lilly ever tried to obtain
12 approval for a dosage of Cymbalta less than 20
13 milligrams?

14 A. I don't know.

15 Q. Okay. Turn to Page 6, it says, Upon --
16 under Section 3, the paragraph under
17 Section 3 -- Well, the section reads Institute
18 of Safe Medicine Practices Outpatient Medication
19 Errors. And then underneath that it says, "Upon
20 DMETS request, the Institute for Safe Medication
21 Practices (ISMP) searched their database for
22 outpatient medication errors involving
23 Cymbalta."

24 Do you -- The ISMP, that's the
25 organization that published that QuarterWatch

1 report we mentioned earlier, right?

2 A. Right.

3 Q. And it appears here that the FDA's
4 DMETS has requested data from that organization?

5 A. Yes. That's what it looks like.

6 Q. Okay. If you turn to Page 7 under
7 Section A, Patients Attempting to Reduce or
8 Avoid Adverse Effects of Cymbalta, do you see
9 that section?

10 A. Yes.

11 Q. All right. Second to the last sentence
12 in that paragraph, the first paragraph it reads,
13 "Three cases (n=3) reported patients opening the
14 capsules to create a dose of Cymbalta less than
15 20 milligrams in an attempt to reduce the
16 adverse events associated with the
17 discontinuation of Cymbalta."

18 Would it have been possible for Lilly
19 to have submitted an SNDA -- or sorry -- a
20 preapproved -- Would it have been possible for
21 Lilly to have submitted a Prior Approval
22 Supplement to obtain smaller doses --
23 approval -- to obtain approval of smaller doses
24 of Cymbalta below 20 milligrams?

25 MR. TEEL: Objection. Calls for

1 speculation. Lack of foundation.

2 THE WITNESS: I mean, it's not as
3 simple as just submitting that, you know,
4 request to FDA. They would expect to have data
5 to support the use of that and you would have to
6 do quite a bit, I would think, of clinical
7 evaluation of that lower dosage form.

8 BY MR. WISNER:

9 Q. Do you know if Lilly ever did conduct
10 clinical trials to evaluate the discontinuation
11 effects of a subtherapeutic dose below 20
12 milligrams?

13 A. I'm not aware.

14 Q. Okay. Based on your understanding of
15 the CBE regulation, would Lilly have been able
16 to make changes to the Cymbalta label advising
17 patients that there was no way to taper below 20
18 milligrams?

19 MR. TEEL: Objection. Again, lack of
20 foundation.

21 THE WITNESS: I'm not sure I quite
22 understand what you are asking. Can you
23 rephrase it?

24 BY MR. WISNER:

25 Q. Using the CBE regulation, would Lilly

1 have been able to make changes to the
2 discontinuation warning in the Cymbalta label
3 for the US advising patients that there was no
4 way to taper below 20 milligrams?

5 A. Well, again, I think it could have been
6 possible is the best way to answer that
7 question.

8 Q. Thank you. You can't say for sure
9 right now that it would have been impossible?

10 A. Yeah. That's right.

11 Q. Okay. Do you recall ever being
12 consulted about potential changes to the
13 Japanese label for Cymbalta?

14 A. I believe this was something we may
15 have discussed in preparation for today. I am
16 not a hundred percent sure.

17 Q. Okay. Well, independent of what any
18 lawyer may have said to you --

19 A. Right.

20 Q. -- do you recall that your approach to
21 make changes -- your approach about the Japanese
22 label?

23 A. I honestly, at the moment, I don't
24 remember that. For some reason, though, there
25 is something in that -- anyways, I'm sorry. I

1 deposition of Matthew Kuntz.

2 THE REPORTER: Mr. Wisner, how do you
3 prefer your production?

4 MR. WISNER: Electronic. Can we get it
5 in about a week?

6 THE REPORTER: Absolutely. Sir, copy?

7 MR. REYNOLDS: Just electronic. About
8 a week also.

9 (Deposition concluded at 6:06 p.m.)

10 (FURTHER DEPONENT SAITH NOT.)

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

UNITED STATES DISTRICT COURT
EASTERN DISTRICT OF VIRGINIA
ALEXANDRIA DIVISION

GILDA HAGAN-BROWN,)
Plaintiff,)
vs.) 1:14-CV-01614-AJT-JFA
ELI LILLY AND COMPANY,) Hon. Anthony J. Trenga
an Indiana corporation,)
Defendant.)

I, MATT KUNTZ, being first duly sworn, on
oath say that I am the deponent in the aforesaid
deposition taken on May 6, 2015; and that said
transcript consisting of Pages 1 to 222 is a
true and correct record of the testimony given
by me at said deposition except as I have so
indicated on the errata sheets provided herein.

MATT KUNTZ

Subscribed and sworn to
before me this day
of , 2015

Notary Public

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

C E R T I F I C A T E

I, Paula Ann Erickson, Certified Professional Reporter, Registered Professional Reporter and Notary Public, do hereby certify:

That the witness in the foregoing deposition named was present at the time and place therein specified;

That the said proceeding was taken before me as a Notary Public at the same time and place and was taken down in shorthand writing by me;

That this transcript is a true and accurate transcript of my shorthand notes so taken, to the best of my ability.

I further certify that I am neither counsel for nor related to or employed by any of the parties to this action and that I am not a relative or employee of any counsel employed by the parties hereto or financially interested in the action.



Paula Ann Erickson
Certified Shorthand Reporter
Registered Professional Reporter
License No. 084-003899
Notary Public

Dated this 15th day
of May, 2015.