

Exhibit 2

IN THE UNITED STATES DISTRICT COURT
FOR THE CENTRAL DISTRICT OF CALIFORNIA

-----x

IN RE PAXIL PRODUCTS :

LIABILITY LITIGATION : NO. CV 01-07937 MRP (CWx)

-----x

Videotaped Deposition of ROBERT TEMPLE, M.D.

Washington, D.C.

Tuesday, December 7, 2004

10:16 a.m.

Atkinson-Baker Court Reporters

1-800-288-3376

www.depo.com

Job No.: 9E098E0

Pages 1 - 554

Reported by: TRISTAN-JOSEPH, RPR

Page 46

1 10:40:11 Q. Okay. And you recall that -- what was
 2 10:40:13 it? Twelve days before the February 2nd hearing --
 3 10:40:15 the ANCP submitted a position paper saying there
 4 10:40:18 was not an issue regarding a suicide link between
 5 10:40:20 antidepressants and the pediatric population?
 6 10:40:25 MR. BROWN: I'll object to the form of
 7 10:40:25 the question.
 8 10:40:26 BY MR. MURGATROYD, III:
 9 10:40:27 Q. You can answer.
 10 10:40:28 A. Um, yes, I remember that.
 11 10:40:29 Q. Okay. And it turns out they were not
 12 10:40:32 right. Correct?
 13 10:40:33 MR. BROWN: I'll object again.
 14 10:40:34 THE WITNESS: We're eventually concluded
 15 10:40:34 that was not correct.
 16 10:40:38 BY MR. MURGATROYD, III:
 17 10:40:38 Q. Okay.
 18 10:40:37 A. Did you say suicide?
 19 10:40:39 Q. Yes.
 20 10:40:39 A. We've never concluded there's a
 21 10:40:40 relationship to suicide --
 22 10:40:41 Q. Suicidality.

Page 47

1 10:40:42 A. -- suicide thinking.
 2 10:40:45 Q. Correct.
 3 10:40:45 A. Or suicidality, if you like.
 4 10:40:45 Q. Okay.
 5 10:40:46 A. Right.
 6 10:40:46 Q. And I think that's now in -- I saw a,
 7 10:40:47 um, letter on websites.
 8 10:40:52 A. It will -- it will be in all labeling.
 9 10:40:53 We're still negotiating the exact language but it
 10 10:40:54 will be an all labeling for essentially all
 11 10:40:58 antidepressants.
 12 10:40:59 Q. Okay. Now when you say you're
 13 10:41:00 negotiating the labeling, that's part of your job
 14 10:41:03 responsibility. Right?
 15 10:41:04 A. Yeah. We sent them what we thought it
 16 10:41:08 should say and they're allowed to say we prefer
 17 10:41:09 this or that.
 18 10:41:10 Q. Okay.
 19 10:41:10 A. And we -- we read it and make a
 20 10:41:10 decision.
 21 10:41:12 Q. Okay.
 22 10:41:13 MR. MURGATROYD, III: Let me mark that

Page 48

1 10:41:14 as the next exhibit, if I can find the exhibit
 2 10:41:19 tabs.
 3 10:41:19 (Temple Deposition Exhibit
 4 10:41:19 No. 3 was marked for
 5 10:41:19 Identification.)
 6 10:41:19 BY MR. MURGATROYD, III:
 7 10:41:31 Q. What I'm going to show you is the letter
 8 10:41:33 from the department of the Health and Human
 9 10:41:36 Services that were sent out to the various
 10 10:41:37 antidepressant manufacturers in, I believe, October
 11 10:41:42 of this year. October 15th is the date the letter
 12 10:41:44 was created. And it's entitled Labeling Change
 13 10:41:47 Request Letter for Antidepressant Medications.
 14 10:41:59 Let me show that to you.
 15 10:41:59 (Witness reviewed document.)
 16 10:42:08 A. Okay.
 17 10:42:09 Q. Did, um -- did you help participate in
 18 10:42:11 drafting that letter?
 19 10:42:12 A. Yes.
 20 10:42:13 Q. Okay. And --
 21 10:42:15 A. Although most of it was drafted by
 22 10:42:17 Dr. Laughren and his colleagues.

Page 49

1 10:42:19 Q. Okay. But you approved it. Correct?
 2 10:42:21 A. Yeah. I should note that the really new
 3 10:42:23 part of it is the box, is the parts related to
 4 10:42:28 pediatrics.
 5 10:42:28 Q. Okay.
 6 10:42:28 A. The other material had been sent out in,
 7 10:42:31 I think, March of that year to reflect the need,
 8 10:42:33 the importance of watching patients but did not
 9 10:42:37 reflect the conclusion that there was an increased
 10 10:42:40 risk of suicidality, which we still don't believe
 11 10:42:43 is documented for adults.
 12 10:42:45 Q. Okay. I think you're looking into it
 13 10:42:47 for adults; is that correct?
 14 10:42:48 MR. BROWN: Object to the form of the
 15 10:42:49 question.
 16 10:42:49 THE WITNESS: Well, we've done a --
 17 10:42:52 we've done a study that is almost complete of
 18 10:42:56 actual suicides in adults based on the control
 19 10:42:59 trials. And there's clearly no increase in
 20 10:43:02 suicides within the limits of the study to be able
 21 10:43:03 to show that.
 22 10:43:05 We have been watching for suicidality in

Page 50	Page 52
<p>1 10:43:09 each application as it comes by and have not seen 2 10:43:12 anything. But the way suicidality is accessed, um, 3 10:43:18 we think is not optimal. And we believe we found 4 10:43:23 an optimal way to do that by having experts, in 5 10:43:25 this case, at Columbia review each of those 6 10:43:28 reports. The reports really weren't designed to 7 10:43:31 assess suicidality, but they were -- they've been 8 10:43:34 used that way. And we think they need to be read. 9 10:43:37 So we are -- we are in -- still in the 10 10:43:39 absence of any evidence of a problem in adults, we 11 10:43:45 are going to have those reports looked at by the 12 10:43:48 same experts, at least for sampling of drugs to see 13 10:43:50 whether there's anything there. 14 10:43:51 BY MR. MURGATROYD, III: 15 10:43:52 Q. Okay. And which drugs did you select 16 10:43:54 for sampling? 17 10:43:56 A. I don't think we've picked them yet. 18 10:43:57 Um, at the Advisory Committee meeting in September 19 10:44:00 actually there were data presented on Paxil in 20 10:44:04 adults that clearly at that level with that amount 21 10:44:06 of evaluation showed no suggestion of increased 22 10:44:11 suicidality in adults.</p>	<p>1 10:45:02 Q. -- to look into the issue. 2 10:45:04 MR. BROWN: Object to the form of the 3 10:45:05 question. 4 10:45:05 THE WITNESS: Okay. Well, to some 5 10:45:05 extent, that's what I've been describing. Let 6 10:45:05 me -- let me be sure you know there are two 7 10:45:07 different things. One is we have control trials 8 10:45:10 involving tens of thousands of people in adults -- 9 10:45:12 MR. MURGATROYD, III: Right. 10 10:45:12 THE WITNESS: -- in placebo-controlled 11 10:45:15 trials of antidepressants. We have looked at those 12 10:45:18 date and there is clearly no increase in suicides. 13 10:45:20 BY MR. MURGATROYD, III: 14 10:45:20 Q. Let me stop you right. 15 10:45:21 A. Not a -- 16 10:45:21 Q. No -- 17 10:45:21 A. Now the other question was suicidality, 18 10:45:22 okay. 19 10:45:23 Q. Let me just stop. When you say you 20 10:45:25 looked at those reports, what exactly -- did you 21 10:45:27 look at summaries? Did you look at the raw data? 22 10:45:31 A. Oh, no, no. We always looked at the</p>
<p>Page 51</p> <p>1 10:44:12 Q. Okay. 2 10:44:12 A. Well, that was presented by Dr. 3 10:44:14 Mosholder and was in striking contrast to the data 4 10:44:17 in children where the very same analysis did show, 5 10:44:21 as you know, roughly a doubling of the risk of 6 10:44:23 suicidality. 7 10:44:26 Q. Right. Okay. 8 10:44:27 A. So we were -- we were deciding how to go 9 10:44:29 about looking at that. 10 10:44:31 Q. I saw that, um, I think Janet Woodcox, 11 10:44:32 she's with your CDR. Correct? Or she's with -- 12 10:44:37 A. She's our actual director. She's now in 13 10:44:41 the Commissioner's office as an Acting Deputy 14 10:44:44 Director. 15 10:44:44 Q. Okay. I saw that she said something in 16 10:44:45 the newspaper. Again, I don't claim that 17 10:44:48 newspapers are that reliable. But said that you 18 10:44:50 were going to review -- "you," meaning the FDA -- 19 10:44:52 was going to review -- what was it called? Tens of 20 10:44:56 thousands of experience reports -- 21 10:44:59 MR. BROWN: I'll object to the form of 22 10:45:01 the question.</p>	<p>Page 53</p> <p>1 10:45:31 actual cases. 2 10:45:32 Q. Okay. 3 10:45:32 A. Yeah. And we've put that as an 4 10:45:33 abstract. It's not final yet so -- but that's 5 10:45:36 what -- that's what it shows. I've seen 6 10:45:37 preliminary reports, but we really need to finish 7 10:45:40 that up. We all agree with that. 8 10:45:42 Q. Okay. 9 10:45:42 A. The other question is suicidality, 10 10:45:43 suicidal thinking, preparation for, you know, maybe 11 10:45:49 committing suicide. Those are the things that were 12 10:45:52 reviewed in the pediatric data. 13 10:45:55 Q. Right. 14 10:45:56 A. And while we have been looking at that 15 10:45:59 sort of thing with each application and having seen 16 10:46:00 anything, that's not the same as doing an overall 17 10:46:03 review with a rigorous attempt to look at the cases 18 10:46:06 and see what they mean, such as what we did -- such 19 10:46:09 as we did with the pediatric cases -- 20 10:46:12 Q. Right. 21 10:46:12 A. -- and where you think there's reason to 22 10:46:14 do that because it's not always easy to tell</p>

Page 54	Page 56
<p>1 10:46:16 whether someone was preparing for suicide or just 2 10:46:18 fooling around, you know. One is much more serious 3 10:46:21 than the other. 4 10:46:24 The only publicly available data on that 5 10:46:27 was the data on Paxil presented by Dr. Mosholder at 6 10:46:32 the -- I think it was in September Advisory 7 10:46:33 Committee meeting, which showed bar graphs that 8 10:46:37 showed absolutely no difference in suicidality in 9 10:46:41 the -- between adults -- in adults, between the 10 10:46:42 treated and the untreated patients. Why children 11 10:46:42 and adults should be different, is sort of 12 10:46:42 mysterious. 13 10:46:42 Um, but anyway, we are planning to look 14 10:46:51 or have the companies look more closely at those 15 10:46:54 data, including a careful review of the cases, such 16 10:46:57 as was done for the pediatric data. 17 10:47:00 Q. And -- 18 10:47:00 A. And Dr. Woodcox referred to that review. 19 10:47:02 Q. Okay. The review of the actual cases? 20 10:47:04 A. Yes. It -- that's what's crucial, to 21 10:47:08 look at the actual reports to see what they were. 22 10:47:08 Q. Right. Because --</p>	<p>1 10:47:46 increase in suicidality. We don't know. 2 10:47:52 Q. Okay. You understand, though, that drug 3 10:47:52 manufacturers, particularly in the SSRI business, 4 10:47:52 have been known to miscode suicide events? 5 10:48:06 MR. BROWN: I'll object to the form of 6 10:48:06 the question. 7 10:48:06 THE WITNESS: No, I don't know that. 8 10:48:06 BY MR. MURGATROYD, III: 9 10:48:06 Q. Okay. Do you know what the -- 10 10:48:06 A. I don't know what -- 11 10:48:06 Q. -- code -- 12 10:48:06 A. I don't know what miscode means. 13 10:48:06 Q. Okay. 14 10:48:06 A. What we know is that the -- well, 15 10:48:07 whenever you report adverse reactions, you have to 16 10:48:09 group them otherwise it doesn't make any sense. 17 10:48:12 Q. Right. 18 10:48:13 A. So you take the individual reports of 19 10:48:15 physicians and you call them something else in -- 20 10:48:17 as everybody by now knows, suicidality was 21 10:48:22 incorporated into something called a emotional 22 10:48:25 lability, although it was very clear from reading</p>
<p>Page 55</p> <p>1 10:47:09 A. Because that's what we found with 2 10:47:09 the Columbia. Some things that were called 3 10:47:11 suicidality didn't look persuasive. Some things 4 10:47:15 that weren't called suicidality did look like 5 10:47:16 suicidality. That's why we need to look at them. 6 10:47:18 Q. Okay. And I think you said you were 7 10:47:23 doing sampling of those reports or are you going to 8 10:47:25 look at all of those reports? 9 10:47:26 A. We're not fully decided yet. 10 10:47:28 Q. And, um, how long do you think something 11 10:47:28 like that process is going to take? 12 10:47:30 A. Hmm, too soon to say. 13 10:47:31 Q. Okay. 14 10:47:32 A. I don't know. 15 10:47:32 Q. Not months. I think it would be longer 16 10:47:33 than months. 17 10:47:35 A. Not months. 18 10:47:37 Q. Right. 19 10:47:36 A. And, again, that's in a context where 20 10:47:39 we're quite comfortable with the idea that in those 21 10:47:42 trials there's no increase in actual suicides. So 22 10:47:45 it's an interesting question to see if we'll see an</p>	<p>Page 57</p> <p>1 10:48:27 the reports that some of them were suicidality. 2 10:48:29 That's why we were able to where -- where attempted 3 10:48:32 suicides or thinking about suicides. That's why we 4 10:48:36 were able to, um, to detect it. I wouldn't 5 10:48:38 characterized it as miscoding. I think it's a 6 10:48:40 consequence of having a coding dictionary. 7 10:48:42 Q. Well, does -- let's say, does Pfizer use 8 10:48:45 emotional lability to keep track of the suicides 9 10:48:48 and suicide attempts that occur during a clinical 10 10:48:49 trials for Zolof? 11 10:48:52 A. Do they -- do they -- 12 10:48:52 Q. Yeah, that's the question. Do they? 13 10:48:52 A. I don't know. I don't know that. 14 10:48:56 Q. Okay. So -- well, is there a -- if 15 10:48:59 Pfizer is using the word "suicide" -- 16 10:49:02 A. Well, there are -- 17 10:49:02 Q. -- and GSK is using emotional lability, 18 10:49:03 how do you -- 19 10:49:05 MR. BROWN: I'll object to the -- 20 10:49:06 Q. -- smoke that out -- 21 10:49:06 MR. BROWN: -- form of the question and 22 10:49:06 on the basis of relevance. And it's clearly not</p>

Page 130		Page 132			
1	11:44:01	more of those stickers. Thanks.	1	11:45:33	MR. MURGATROYD, III: Okay, good.
2	11:44:14	Ten?	2	11:45:33	BY MR. MURGATROYD, III:
3	11:44:14	MR. KELL: Yes.	3	11:45:34	Q. Now, so here we're talking about the --
4	11:44:14	(Temple Deposition Exhibit	4	11:45:39	this would be a drug manufacturer's responsibility
5	11:44:14	Nos. 10 and 11 were marked for	5	11:45:42	to revise a label under this code section.
6	11:44:14	Identification.)	6	11:45:47	Correct?
7	11:44:18	BY MR. MURGATROYD, III:	7	11:45:47	MR. BROWN: Object to the form of the
8	11:44:19	Q. And I've marked this Code Section as	8	11:45:48	question.
9	11:44:22	Exhibit 10, and "e" is on page 3. And I did a	9	11:45:52	MR. KELL: I'll object on foundation.
10	11:44:28	little blowup, which I'll mark as 11, as to the	10	11:45:54	I'll let the Doctor answer if he feels
11	11:44:34	specific part I'm referring to.	11	11:45:56	qualified to interpret legal standards.
12	11:44:38	MR. KELL: What section of the C.F.R.	12	11:46:02	Um, you have not established that.
13	11:44:39	are we looking at, at this point, please?	13	11:46:04	MR. MURGATROYD, III: Okay.
14	11:44:43	MR. MURGATROYD, III: 201.57 and it's	14	11:46:07	THE WITNESS: They're supposed to do it.
15	11:44:43	"e."	15	11:46:08	We not uncommonly request such changes ourselves --
16	11:44:48	THE WITNESS: And it's "e."	16	11:46:11	MR. MURGATROYD, III: Okay.
17	11:44:48	MR. KELL: Which is warnings?	17	11:46:11	THE WITNESS: If we discover -- discover
18	11:44:50	THE WITNESS: It's just warnings. It's	18	11:46:12	something. But it's their job to keep the labeling
19	11:44:50	just got a warning names under the current	19	11:46:16	up to date, at least nominally the labeling is
20	11:44:54	language.	20	11:46:18	owned by the company.
21	11:44:54	MR. KELL: Right.	21	11:46:20	BY MR. MURGATROYD, III:
22	11:44:54	BY MR. MURGATROYD, III:	22	11:46:20	Q. Okay. All right. And then I'll show
Page 131		Page 133			
1	11:44:55	Q. And do you see the part now that says,	1	11:46:20	you the next code section that I've marked, um,
2	11:44:55	The labeling shall be revised to include a warning	2	11:46:23	which is 3 --
3	11:44:58	as soon as there is a reasonable evidence of an	3	11:46:24	A. Of course.
4	11:45:02	association of a serious hazard with a drug; a	4	11:46:25	Q. -- 14.70.
5	11:45:04	causal relationship need not be proved?	5	11:46:27	A. Just to point out the -- based on the
6	11:45:07	A. Yes --	6	11:46:29	standard for warning that you gave me, the
7	11:45:08	Q. Okay.	7	11:46:31	reasonable evidence of an association of a series
8	11:45:08	A. -- I see that.	8	11:46:34	hazard is subject to interpretation.
9	11:45:08	Q. And that's what I blew up on Exhibit 11.	9	11:46:39	Q. Correct. And we're going to get into
10	11:45:12	Do you see that?	10	11:46:40	that.
11	11:45:13	A. Right.	11	11:46:40	A. Okay.
12	11:45:13	Q. Okay. Now --	12	11:46:40	Q. Because I -- actually maybe we'll kind
13	11:45:14	MR. BROWN: Can I see that for just one	13	11:46:42	of diverge here for a second and -- because I want
14	11:45:15	second, please.	14	11:46:46	to make sure -- is there a difference between the
15	11:45:17	MR. MURGATROYD, III: Sure.	15	11:46:50	term "association" and "causation" in -- in the
16	11:45:17	MR. BROWN: Because what you read was	16	11:46:53	eyes of the FDA?
17	11:45:19	different than what was stated in the regulations,	17	11:46:58	A. Well, there is in my eyes. I -- I don't
18	11:45:21	but you -- this accurately captures it.	18	11:47:02	think you should use the term association when you
19	11:45:25	MR. MURGATROYD, III: Oh, okay. Maybe I	19	11:47:05	think there's a causal relationship personally, but
20	11:45:27	read it wrong. But Exhibit 11 is accurate.	20	11:47:08	it does -- it does show up in labeling. There's no
21	11:45:31	Correct?	21	11:47:10	question about it. To me, it's something of a
22	11:45:31	MR. BROWN: It is.	22	11:47:13	lawful word. When you believe it's reasonable

Page 358	Page 360
<p>1 16:10:49 question I'm supposed to answer?</p> <p>2 16:10:51 MR. KELL: Yeah, you can answer the last</p> <p>3 16:10:52 question if --</p> <p>4 16:10:54 THE WITNESS: Our source of data from</p> <p>5 16:10:55 clinical trials was the results of the trials as</p> <p>6 16:10:59 sent to the companies. We have some capacity to</p> <p>7 16:11:02 inspect the actual trial sites to see if there's</p> <p>8 16:11:04 anything missing, but there's no question there are</p> <p>9 16:11:08 masses of data and we won't necessarily catch</p> <p>10 16:11:11 everything.</p> <p>11 16:11:12 BY MR. FARBER:</p> <p>12 16:11:13 Q. And you don't have considerable</p> <p>13 16:11:14 resources to go out and check every clinical trial</p> <p>14 16:11:18 on the scene, do you?</p> <p>15 16:11:18 A. Not --</p> <p>16 16:11:21 MR. BROWN: Object to the form of the</p> <p>17 16:11:22 question.</p> <p>18 16:11:23 THE WITNESS: Of course, not. Um, we --</p> <p>19 16:11:24 we -- you can expect a sample of them. Um, but</p> <p>20 16:11:26 the -- the -- the data is the results of the</p> <p>21 16:11:27 trials. They're carried by in many cases</p> <p>22 16:11:30 independent people and they're not carried out by</p>	<p>1 16:12:53 today on Dr. Brecher's report that you can -- that</p> <p>2 16:12:57 you reviewed and are familiar with?</p> <p>3 16:12:59 A. No. I'm sure I read it, but at the time</p> <p>4 16:13:01 of the approval, a very long time ago, but I</p> <p>5 16:13:03 haven't read it.</p> <p>6 16:13:04 Q. Okay. If -- if Dr. Brecher's, uh, uh,</p> <p>7 16:13:04 report had internal inconsistencies, would that be,</p> <p>8 16:13:19 if it did, would that be something that the FDA</p> <p>9 16:13:20 would be concerned about for presentation to the</p> <p>10 16:13:20 advisory panel?</p> <p>11 16:13:23 MR. KELL: Internal inconsistencies with</p> <p>12 16:13:24 respect to what?</p> <p>13 16:13:26 MR. FARBER: Well, let's -- let's --</p> <p>14 16:13:26 let's go over some of them. The --</p> <p>15 16:13:28 THE WITNESS: Well, we would -- we'd try</p> <p>16 16:13:31 to, you know, each -- each, uh, review has a next</p> <p>17 16:13:36 level review, and I might see it and we try to</p> <p>18 16:13:39 catch inconsistencies.</p> <p>19 16:13:39 BY MR. FARBER:</p> <p>20 16:13:41 Q. Yeah, I -- I know you would. Uh, But</p> <p>21 16:13:42 this was a very -- let's put it this way. This was</p> <p>22 16:13:45 a very important document for the approval of Paxil</p>
Page 359	Page 361
<p>1 16:11:32 the companies. They're carried out for the</p> <p>2 16:11:34 companies. The data generally comes to the</p> <p>3 16:11:35 companies and they, uh, send it forward.</p> <p>4 16:11:38 BY MR. FARBER:</p> <p>5 16:11:40 Q. But the principal investigators are -- I</p> <p>6 16:11:42 know you're not a lawyer but I'll ask you anyway.</p> <p>7 16:11:42 The principal investigators are working for the</p> <p>8 16:11:47 company in the execution of these clinical trials;</p> <p>9 16:11:49 are they not?</p> <p>10 16:11:51 A. Sure, they're paid by the companies --</p> <p>11 16:11:55 Q. Okay.</p> <p>12 16:11:55 A. -- to do it.</p> <p>13 16:12:01 Q. If you go into the safety record,</p> <p>14 16:12:02 please, Doctor, and go to page, uh --</p> <p>15 16:12:09 A. I'm sorry. Which document now?</p> <p>16 16:12:10 Q. The safety review -- Dr. Brecher's</p> <p>17 16:12:12 Safety Review is Exhibit 29. It's the first</p> <p>18 16:12:15 exhibit I handed out.</p> <p>19 16:12:17 A. Okay. Did you state a page number?</p> <p>20 16:12:28 Q. No, not yet. If you'll go to page 23.</p> <p>21 16:12:46 We can save some time by basically asking you are</p> <p>22 16:12:48 you aware of any of this suicide data prior to</p>	<p>1 16:13:50 initially in '92, wasn't it?</p> <p>2 16:13:51 A. Was it the primary deal?</p> <p>3 16:13:54 Q. Yes.</p> <p>4 16:13:54 A. Sure.</p> <p>5 16:13:54 Q. Now, uh, were you aware that there were</p> <p>6 16:13:58 numerous discrepancies of suicide data in -- in</p> <p>7 16:14:00 Dr. Brecher's report?</p> <p>8 16:14:01 A. I don't know what you mean by</p> <p>9 16:14:04 discrepancies.</p> <p>10 16:14:04 Q. Okay. Well, if you go to page 23,</p> <p>11 16:14:08 you'll see --</p> <p>12 16:14:10 A. I'm on -- I'm on page 23, yes.</p> <p>13 16:14:11 Q. -- you'll see up under the bold and</p> <p>14 16:14:13 print death suicide.</p> <p>15 16:14:13 A. Yes.</p> <p>16 16:14:14 Q. Okay. And if you go down and you</p> <p>17 16:14:16 indicate, uh, that, uh -- oh, yes. Here I found</p> <p>18 16:14:29 it. The last sentence of that introductory</p> <p>19 16:14:30 paragraph you'll -- do you see where it says two of</p> <p>20 16:14:34 the five placebo suicides occurred during run in.</p> <p>21 16:14:38 Do you see that?</p> <p>22 16:14:39 A. Yeah. You shouldn't count those as part</p>

Page 362	Page 364
<p>1 16:14:42 of the placebo rate.</p> <p>2 16:14:42 Q. Right. Now, if you'll see the -- the</p> <p>3 16:14:44 five -- and I won't ask you to sort through the</p> <p>4 16:14:47 document because I already know -- you'll see the</p> <p>5 16:14:50 bottom three on this page are placebo suicides.</p> <p>6 16:14:56 And you'll see, uh, the third entry from the top is</p> <p>7 16:15:02 a placebo suicide.</p> <p>8 16:15:05 A. Sorry. The third entry from the top.</p> <p>9 16:15:08 Q. The third patient from the top I should</p> <p>10 16:15:10 say. Do you see that at a patient --</p> <p>11 16:15:11 A. Volume 1 --</p> <p>12 16:15:11 Q. -- do you see that?</p> <p>13 16:15:12 A. -- .46 page 120?</p> <p>14 16:15:15 Q. Yeah.</p> <p>15 16:15:15 A. Okay. And then --</p> <p>16 16:15:16 Q. And the bottom three --</p> <p>17 16:15:18 A. Three or two?</p> <p>18 16:15:21 Q. Three.</p> <p>19 16:15:23 A. The 49 here --</p> <p>20 16:15:23 Q. You see the patient is not identified</p> <p>21 16:15:35 very well because it's deleted and apparently is a</p> <p>22 16:15:35 FOIA document.</p>	<p>1 16:16:11 the same numbers here.</p> <p>2 16:16:12 A. Okay.</p> <p>3 16:16:13 Q. And we -- and you saw 4 on page -- on</p> <p>4 16:16:16 page 23.</p> <p>5 16:16:20 MR. BROWN: I'll object.</p> <p>6 16:16:20 THE WITNESS: Four what?</p> <p>7 16:16:20 MR. BROWN: I believe that</p> <p>8 16:16:21 mischaracterizes his testimony.</p> <p>9 16:16:25 MR. FARBER: Okay. Well, let's --</p> <p>10 16:16:25 THE WITNESS: I'm sorry, where did I see</p> <p>11 16:16:27 four?</p> <p>12 16:16:27 BY MR. FARBER:</p> <p>13 16:16:28 Q. Four placebo suicides on page 23. And I</p> <p>14 16:16:31 pointed out the third patient from the top --</p> <p>15 16:16:34 A. Yeah.</p> <p>16 16:16:35 Q. -- and the bottom three patients.</p> <p>17 16:16:41 A. That's correct.</p> <p>18 16:16:41 Q. Okay.</p> <p>19 16:16:42 A. Okay.</p> <p>20 16:16:42 Q. That's four. Right?</p> <p>21 16:16:44 A. Yeah.</p> <p>22 16:16:44 Q. Okay. Now, let's go to the top of</p>
Page 363	Page 365
<p>1 16:15:35 A. Okay. That one doesn't say it was</p> <p>2 16:15:35 during the placebo run in, but do you know that it</p> <p>3 16:15:35 was?</p> <p>4 16:15:37 Q. Uh, no. I'm -- I'm not -- I'm not</p> <p>5 16:15:38 stating that one. I don't -- I can't tell by</p> <p>6 16:15:41 looking at this and I guess --</p> <p>7 16:15:43 A. But you did state -- you did state that</p> <p>8 16:15:44 the bottom three were during the run-in period and</p> <p>9 16:15:46 I'm just asking because it looks like two out of</p> <p>10 16:15:50 the three were.</p> <p>11 16:15:50 Q. Well, actually if I did that, it was a</p> <p>12 16:15:52 mistake. And I was stating that two of the five</p> <p>13 16:15:53 placebo suicides occurred during run-in. And</p> <p>14 16:15:55 that's in that sentence up above. But let's --</p> <p>15 16:15:57 A. All right.</p> <p>16 16:15:57 Q. -- get to the -- let's get to the next</p> <p>17 16:15:58 page at the top names, which is an 80-year-old man.</p> <p>18 16:16:01 A. But before you do that, is there a</p> <p>19 16:16:03 question? It seems true that two of the five</p> <p>20 16:16:03 placebo occurred during run-in, the 49 year old and</p> <p>21 16:16:06 the 43 year old.</p> <p>22 16:16:09 Q. I just want to make sure we're tracking</p>	<p>1 16:16:46 page 24 and we got the fifth one. Correct? The</p> <p>2 16:16:51 80-year-old man?</p> <p>3 16:16:57 A. Yes.</p> <p>4 16:16:58 Q. Okay. Now, uh, let's go to the next</p> <p>5 16:17:02 page, page 25 --</p> <p>6 16:17:07 MR. KELL: Excuse me.</p> <p>7 16:17:08 Q. -- where the x --</p> <p>8 16:17:08 MR. KELL: Excuse me, Mr. Farber. I</p> <p>9 16:17:09 don't mean to interrupt your question, and you need</p> <p>10 16:17:13 not to answer this if you don't want to, but I'm</p> <p>11 16:17:18 trying to follow where you could possibly be going</p> <p>12 16:17:21 with this that has anything to do with the scope of</p> <p>13 16:17:25 this deposition. If you would care to enlighten</p> <p>14 16:17:30 me, you can. If not, I'll wait until you get to a</p> <p>15 16:17:35 question.</p> <p>16 16:17:35 MR. FARBER: Well, I -- I -- we already,</p> <p>17 16:17:36 for the record, I -- I talked to you outside that</p> <p>18 16:17:39 my initiative to tell you where I was going with</p> <p>19 16:17:41 this on suicide. Do you remember that</p> <p>20 16:17:43 conversation?</p> <p>21 16:17:46 MR. KELL: All right. Then, um --</p> <p>22 16:17:47 MR. FARBER: Well --</p>

Page 498

1 18:33:39 adequate and well-controlled studies. Other kind
 2 18:33:41 of information about effectiveness we know
 3 18:33:44 represents more descriptive standards. I would
 4 18:33:47 never allege that the exact choice of the dose is
 5 18:33:49 always based on how well-controlled studies.
 6 18:33:52 Um, advantages over other drugs, things
 7 18:33:54 like that, they meet a very high standard. The
 8 18:33:58 adverse reaction section of the labeling is largely
 9 18:34:00 descriptive as adverse reaction date always are.
 10 18:34:04 It may or may not come from well-controlled
 11 18:34:07 studies. It may come from long-term extensions of
 12 18:34:08 studies. It still has to be scientifically
 13 18:34:11 credible in the evaluated persuasiveness.
 14 18:34:13 Q. Well, let's --
 15 18:34:14 A. It's a different standard.
 16 18:34:16 Q. Let me focus on a couple of specific
 17 18:34:17 sections on drug labeling --
 18 18:34:18 A. Okay.
 19 18:34:17 Q. -- then.
 20 18:34:19 With respect to the warning section,
 21 18:34:20 would you expect that this statement in the warning
 22 18:34:25 section be supported by scientific evidence or

Page 499

1 18:34:27 having scientific basis?
 2 18:34:31 A. Again, I -- I actually share some of the
 3 18:34:32 objection to what exactly scientific means. We
 4 18:34:35 didn't -- we would expect it to be pretty well
 5 18:34:37 supported. As we point out in various labeling
 6 18:34:40 points, it doesn't have to be proof positive if the
 7 18:34:43 standard isn't always adequate in well-controlled
 8 18:34:43 studies, but it has to be a reasonable
 9 18:34:46 interpretation of the data. Um, not -- but well
 10 18:34:52 short of proof positive and you -- you way to long
 11 18:34:54 if you require that.
 12 18:35:05 Q. Let me ask it -- let me ask it this way.
 13 18:35:05 If a warning has no scientific basis, should it be
 14 18:35:05 included in the labeling?
 15 18:35:06 MR. FARBER: Object to the form.
 16 18:35:07 THE WITNESS: No, no.
 17 18:35:10 BY MR. BROWN:
 18 18:35:11 Q. If --
 19 18:35:11 A. The reasonable -- reasonably credible
 20 18:35:12 evidence of causation and whatever the warning is
 21 18:35:15 about.
 22 18:35:16 Q. And if there were no scientific basis

Page 500

1 18:35:18 for a warning and it were included in the drug
 2 18:35:22 labeling would that render the drug labeling false
 3 18:35:26 or misleading?
 4 18:35:28 MR. FARBER: Object. The witness isn't
 5 18:35:29 qualified to make that --
 6 18:35:33 THE WITNESS: Well, actually I think I
 7 18:35:35 am. That would be a very unusual thing for us to
 8 18:35:38 do. I mean, the fact is that the company strongly
 9 18:35:41 wants labeling. Even if we think it's a little
 10 18:35:44 flimsy, we would probably defer.
 11 18:35:46 BY MR. BROWN:
 12 18:35:47 Q. We're not talking about what a company
 13 18:35:48 would want to do. If you saw drug labeling that
 14 18:35:51 included a warning that had no scientific basis,
 15 18:35:56 would you consider that drug labeling false or
 16 18:35:58 misleading?
 17 18:36:00 MR. FARBER: I'll just have a standing
 18 18:36:01 objection against relatives on the word
 19 18:36:01 "scientific." And go ahead on that basis, and I
 20 18:36:02 won't interrupt anymore.
 21 18:36:11 THE WITNESS: Well, that's a little
 22 18:36:13 hard. I think the answer is generally, yes, but I

Page 501

1 18:36:16 cannot imagine. I don't believe I can recall ever
 2 18:36:19 taking a regulatory action on that basis, but we
 3 18:36:22 would not want a stupid warning. Let's put it that
 4 18:36:26 way. It isn't supported by anything. And I can --
 5 18:36:30 well you can imagine circumstances in which people
 6 18:36:32 might want to make a warning to avoid a population
 7 18:36:36 they're worried about and without a basis, so I --
 8 18:36:36 I sort of talk myself into saying it would be false
 9 18:36:41 and misleading, but we would have to be quite
 10 18:36:42 persuaded to that it really was, uh, without merit.
 11 18:36:47 BY MR. BROWN:
 12 18:36:48 Q. Does the FDA require that there be
 13 18:36:49 reliable data from controlled trials before the
 14 18:36:52 sponsor is permitted to include incidence label --
 15 18:36:56 I'm sorry -- incidence data in the labeling?
 16 18:37:01 A. No. We sometimes make our best shot at
 17 18:37:07 making an estimate from, uh, postmarketing reports.
 18 18:37:12 There's -- if the events are relatively rare, you
 19 18:37:14 won't have controlled-trial data. But we try to
 20 18:37:18 convey the uncertainty about the estimate. Um, and
 21 18:37:22 the problem usually is we don't know what the
 22 18:37:25 reporting rate is. We don't know what fraction of

