

1 SUPERIOR COURT OF THE STATE OF CALIFORNIA
FOR THE COUNTY OF ALAMEDA

2

3 COORDINATION PROCEEDING)

SPECIAL TITLE (Rule 3.550))

4)

ROUNDUP PRODUCTS CASES)

5) JCCP NO. 4953

)

6 THIS DOCUMENT RELATES TO:)

)

```
7  ALL ACTIONS                                )
```

)

8

9

10

11 VIDEO DEPOSITION OF DONNA FARMER, PhD

12 January 24, 2019

9:08 a.m.

13

* CONFIDENTIAL *

14

15

Reporter: Jude Arndt, CSR, RPR

16 CSR No. 084-004847

17

18

19

20

21

22

23

24

1 DEPOSITION OF DONNA FARMER, PhD, produced,
2 sworn, and examined on January 24, 2019, at Husch
3 Blackwell, 190 Carondelet Plaza, Suite 600, in the City
4 of St. Louis, State of Missouri, before Jude Arndt, a
5 Certified Shorthand Reporter and Certified Court
6 Reporter.

7 APPEARANCES OF COUNSEL

8 On Behalf of Plaintiffs:

9 Baum, Hedlund, Aristei & Goldman, P.C.
10 12100 Wilshire Boulevard, Suite 950
11 Los Angeles, CA 90025
12 (310) 207-3233

13 BY: MICHAEL L. BAUM, ESQUIRE
14 mbaum@baumhedlundlaw.com

15 -and-

16 Lundy, Lundy, Soileau & South, LLP
17 501 Broad Street
18 Lake Charles, LA 70602
19 (337) 439-1029

20 BY: HUNTER W. LUNDY, ESQUIRE
21 hlundy@lundylawllp.com
22
23
24

APPEARANCES OF COUNSEL

On Behalf of Defendants:

Hollingsworth LLP

1350 I Street, NW

Washington, DC 20005

(202) 898-5845

BY: ROBERT E. JOHNSTON, ESQUIRE

rjohnston@hollingsworthllp.com

-and-

Bartlit Beck Herman Palenchar & Scott LLP

54 West Hubbard Street, Suite 300

Chicago, IL 60654

(312) 494-4400

BY: JEFFREY A. HALL, ESQUIRE

jeffrey.hall@bartlit-beck.com

-and-

Husch Blackwell LLP

190 Carondelet Plaza, Suite 600

St. Louis, MO 63105

(314) 480-1500

BY: CHRISTINE F. MILLER, ESQUIRE

christine.miller@huschblackwell.com

Also present: Jacob Arndt, videographer

1	INDEX OF INTERROGATION	
2	Examination by Mr. Hall	Page 550
	Examination by Mr. Lundy	Page 735
3	Examination by Mr. Hall	Page 795
4		
	INDEX OF EXHIBITS	
5		
	Exhibit 68	Page 586
6	(Surfactants: Genotoxicity Studies Conducted by Monsanto)	
7		
	Exhibit 69	Page 593
8	(Formulated Products: Genotoxicity Studies Conducted by Monsanto)	
9		
	Exhibit 70	Page 614
10	(United States Environmental Protection Agency authentication)	
11		
	Exhibit 71	Page 619
12	(United States Environmental Protection Agency authentication)	
13		
	Exhibit 72	Page 622
14	(Review report for the active substance glyphosate)	
15	(MONGLY00561320 - MONGLY00561375)	
16	Exhibit 73	Page 634
	(Pesticide residues in food - 2004 REPORT 2004)	
17	(MONGLY02402328 - MONDGLY02402793)	
18		
	Exhibit 74	Page 634
19	(Pesticide residues in food - 2004 EVALUATIONS 2004 Part II - Toxicological)	
20	(MONGLY02402328 - MONGLY040793)	
21	Exhibit 75	Page 640
	(Renewal Assessment Report)	
22		
	Exhibit 76	Page 660
23	(United States Environmental Protection Agency authentication)	
24		

1 THE VIDEOGRAPHER: This is a continuation
2 of the video deposition of Dr. Donna Farmer. Today's
3 date is January 24th, 2019, and the time is 9:08 AM.

4 Will counsel please identify themselves?

5 MR. HALL: Jeff Hall for Monsanto.

6 MR. JOHNSTON: Robert Johnston for
7 Monsanto.

8 MS. MILLER: Christine Miller for
9 Monsanto.

10 MR. LUNDY: Hunter Lundy for the
11 plaintiffs.

12 MR. BAUM: Michael Baum for plaintiffs.

13 THE VIDEOGRAPHER: Thank you. The court
14 reporter is Jude Arndt and will now swear in the
15 witness.

16

17 The witness, DONNA FARMER, PhD, first having
18 been duly sworn, testified as follows:

19 QUESTIONS BY MR. HALL:

20 Q. Good morning, Dr. Farmer.

21 A. Good morning.

22 Q. First I want to ask you to tell us a
23 little bit about yourself. Where did you grow up?

24 A. In Denver, Colorado.

1 Q. Do you have a family?

2 A. Yes, I do.

3 Q. Tell us just a little bit about your
4 family, please.

5 A. I've been married for 38 years, and I have
6 three children. I have two boys and a girl, and my one
7 son is married and just recently we have a
8 five-month-old granddaughter, Emma.

9 Q. And where do you and your family live?

10 A. In University City, a suburb of St. Louis.

11 Q. Tell us a little bit about your education
12 after high school, please.

13 A. As I said, I was raised in Denver, so I
14 went to University of Colorado in Boulder. I have a
15 bachelor of arts in biology, and then I went on to
16 graduate school.

17 Q. What graduate school did you go to?

18 A. I went to the University of Cincinnati
19 College of Medicine.

20 Q. Did you get a degree there?

21 A. Yes, I did.

22 Q. What degree did you get?

23 A. I have a PhD in anatomy and cell biology.

24 Q. In your PhD coursework, did you study

1 toxicology?

2 A. Yes, I did.

3 Q. What is toxicology, briefly?

4 A. It's the study of the effect of substances
5 on living organisms.

6 Q. After you obtained your PhD -- well, what
7 year did you obtain your PhD?

8 A. 1982.

9 Q. After you received the PhD, what did you
10 do next?

11 A. I went on to become a professor of anatomy
12 at the Univer -- at Chicago College of Osteopathic
13 Medicine that's now a part of Midwestern University in
14 Chicago, Illinois.

15 Q. When you were a professor of anatomy in
16 Chicago, who did you teach?

17 A. I taught first-year medical students.

18 Q. How long did you do that?

19 A. For six years.

20 Q. What did you teach them?

21 A. First-year medical students, you teach
22 them gross anatomy, histology, which is looking at
23 tissues under microscope, neuroanatomy, understanding
24 the nervous system, and embryology.

1 Q. After you taught there -- did you say for
2 seven years?

3 A. Six years.

4 Q. Six years. What did you do next?

5 A. My husband I met when we were in graduate
6 school. He is an M.D. And after he did his training
7 in Chicago, he was coming down here to Washington
8 University to continue his research training.

9 Q. So you moved to St. Louis in the late
10 1980s?

11 A. Yes. Uh-huh.

12 Q. What did you do first in St. Louis
13 professionally?

14 A. Professionally, I was at Washington
15 University in the department of OB/GYN.

16 Q. What did you do at Washington University?

17 A. We were looking at the placenta,
18 understanding how the role of the placenta in helping
19 have healthy babies.

20 Q. And this is Washington University in St.
21 Louis?

22 A. Yes.

23 Q. Who did -- were you were you a teacher,
24 lab worker at Washington University? What exactly did

1 you do?

2 A. I co-led with another PhD. A lab. We
3 were head of the lab for an M.D. there. And I also was
4 a part of the faculty that taught sophomore students.

5 Q. How long did you do that at Washington
6 University?

7 A. I was there for three years.

8 Q. And when you left Washington University,
9 what year was that?

10 A. It was in 1991.

11 Q. What did you do next?

12 A. I went to Monsanto.

13 Q. All right. So you joined Monsanto in
14 1991?

15 A. Yes.

16 Q. Why did you join Monsanto?

17 A. I really was in -- looking for another
18 opportunity because when I was teaching medical school,
19 I was interacting with a lot of people on a daily
20 basis. When I was at Wash U, it was this other
21 gentleman and I in a lab for about 10 hours a day.
22 While it was really fun and exciting research, I really
23 wanted to have more interaction in science in a more
24 broader way.

1 Q. What position did you take at Monsanto?
2 What was your job when you joined in 1991?

3 A. As a regulatory toxicologist.

4 Q. Tell us briefly what a regulatory
5 toxicology does at Monsanto.

6 A. The -- because of the products that we
7 had, which are pesticides, we work with the U.S.
8 Environmental Protection Agency, which is a regulatory
9 agency, and so our job as regulatory toxicologists is
10 to understand what is the information that the agency
11 need to be able to evaluate the safety of the product.

12 Q. Well, we'll come back to your job plenty
13 this morning. Tell us, when you joined Monsanto in
14 1991, became a regulatory toxicologist, did you also
15 take more training in the field of toxicology?

16 A. Yes, I did.

17 Q. Tell us briefly about that, please.

18 A. When I started in the fall of 1991, I also
19 sat in on the course of -- toxicology course at St.
20 Louis University, and the director of our department
21 also taught a toxicology course for those within the
22 department as well as attending society meetings, like
23 the Society of Toxicology, where they always have
24 continuing education courses on various aspects of

1 toxicology.

2 Q. All right. So you joined Monsanto in
3 1991. When did you start working with glyphosate and
4 Roundup and other glyphosate products at Monsanto?

5 A. 1996.

6 Q. And is it fair to say since 1996 through
7 today, you have had some involvement with glyphosate
8 and glyphosate products at Monsanto?

9 A. Yes.

10 Q. Give us just an overview, if you can,
11 about what your job responsibilities have been at
12 Monsanto as they relate to glyphosate and glyphosate
13 products.

14 A. Yeah. Okay, I think there's probably two
15 major areas. As we just talked about, the regulatory
16 piece, working with regulatory agencies, making sure
17 that we have the data, conduct the studies to develop
18 the data that the agencies use to evaluate the safety
19 of the product.

20 And then there's another piece that's
21 called -- what we would call product stewardship, and
22 the baseline of product stewardship is always following
23 laws and regulations and then doing above and above
24 that.

1 Q. Well, we'll come back to those subjects in
2 some more detail. I'd like to turn now to glyphosate
3 and Roundup and glyphosate products at Monsanto. And
4 just for shorthand, Roundup is the primary glyphosate
5 product at Monsanto; correct?

6 A. It was the primary brand name, and there
7 was one formulation always known as Roundup, the
8 original.

9 Q. And there -- are there other brand names
10 Monsanto uses for glyphosate products as well?

11 A. Yes.

12 Q. So I'll just refer to Roundup and the
13 other Monsanto glyphosate products as glyphosate
14 products; is that okay?

15 A. Yes.

16 Q. Now, the plaintiff's lawyer, when he was
17 asking you questions, asked whether you believe
18 glyphosate and Roundup cause cancer. I want to ask
19 you, based on the science that you have conducted in
20 your career, the science you've reviewed and studied,
21 does Roundup cause cancer?

22 A. No.

23 MR. LUNDY: Objection. She's not an
24 expert in medicine.

1 Q. (By Mr. Hall) Do you use Roundup
2 yourself?

3 A. Yes, I do.

4 Q. How long have you used Roundup?

5 A. Probably -- since we've owned a house,
6 around 25 years or more.

7 Q. Tell us a little bit about that use. How
8 often do you use Roundup?

9 A. We have a small yard, so I use it probably
10 about three times a year.

11 Q. When you use Roundup yourself, do you wear
12 special equipment?

13 A. No, I follow the label directions.

14 Q. Do you have any concerns about your health
15 related to your use of Roundup for 25 years?

16 A. No.

17 Q. You mentioned that your husband is a
18 medical doctor; is that right?

19 A. Yes. Uh-huh.

20 Q. Has your husband the doctor ever expressed
21 any concerns to you about your health related to your
22 use of Roundup?

23 MR. LUNDY: Objection. Hearsay.

24 A. No.

1 Q. (By Mr. Hall) Have you ever
2 recommended --

3 MR. LUNDY: And may I ask this -- when I
4 make an objection, Dr. Farmer, please let me make the
5 objection before you respond, and then after I make it
6 then give your response.

7 MR. HALL: Sure.

8 MR. LUNDY: Would you afford me that
9 courtesy, Jeff?

10 MR. HALL: Of course. Of course.

11 MR. LUNDY: Thank you.

12 MR. HALL: We'll both pause to make sure
13 we do that.

14 A. Yeah.

15 MR. LUNDY: Thank you.

16 A. I apologize.

17 Q. (By Mr. Hall) Have you ever recommended
18 to anyone that they use Roundup?

19 A. Yes.

20 Q. Tell us a bit about that. Who have you
21 made recommendations for Roundup to?

22 A. I can think of a couple recently. One is
23 a friend of mine -- her son was wearing a backpack
24 sprayer. They have about a 20-acre farm, and he was

1 out spraying a glyphosate-based product, and I was
2 recommending that he had -- there are different
3 products he could use, one for gravel, one for the
4 poison ivy, and so recommending that he look at a
5 different products.

6 Another one is a future daughter-in-law.
7 Her father has a 100-acre farm in Wisconsin, and we
8 were talking about the different uses that he has for
9 Roundup products on his farm.

10 Q. And you said it was your future
11 daughter-in-law?

12 A. Uh-huh.

13 Q. But then you said he has.

14 A. It's her father.

15 Q. Oh, okay.

16 A. It was her father's farm.

17 Q. All right. Let me ask you about the
18 Roundup and other Monsanto glyphosate products, what
19 they're made of. What are the main ingredients in
20 Monsanto glyphosate products?

21 A. The main ingredients are water, glyphosate
22 and a surfactant, which a soapy-like substance.

23 Q. When Roundup is sprayed or other Monsanto
24 glyphosate products are sprayed, about what percentage

1 of it is water?

2 A. Around -- greater than 90 percent, 95
3 percent.

4 Q. Now, you mentioned water, glyphosate, and
5 surfactants. Let me ask you about glyphosate. What is
6 glyphosate?

7 A. Glyphosate is a synthetic molecule that
8 was discovered to act on a process in plants and is
9 very effective in all plants in controlling and killing
10 them.

11 Q. We've heard that glyphosate products are
12 popular and in demand. Do you know what accounts for
13 why it is a popular demanded product?

14 A. I think there's a couple reasons. One,
15 it's very efficacious. As we talked about, it acts on
16 a process found in plants, so it controls any kind of
17 unwanted plants. And the other one is it has a very
18 good safety profile.

19 Q. Well, when you say it has a very good
20 safety profile, are there -- in the field of
21 toxicology, are there general measures of the toxic
22 properties or toxicity of substances?

23 A. Yes.

24 Q. What is a generally-accepted measure of

1 toxicity of substances used to evaluate many
2 substances?

3 MR. LUNDY: Objection.

4 A. When --

5 MR. LUNDY: Calls for an expert opinion.

6 Q. (By Mr. Hall) Based on your experience,
7 can you describe a generally-accepted toxicology
8 measure used to give a general evaluation of the
9 toxicity of substances?

10 MR. LUNDY: Objection. I don't think the
11 witness is qualified as an expert in toxicology.

12 A. Toxicologists like to do -- kind of
13 compare relative toxicity of substances, and one of the
14 very first ones that toxicologists do will be an oral
15 LD50, and LD stands for lethal dose.

16 Q. (By Mr. Hall) And is an LD50 measure, is
17 that something that's uses or -- used to measure the
18 toxic properties of many substances?

19 A. Yes.

20 Q. Even substances we use on a daily basis?

21 A. Yes.

22 Q. And the LD50 you said is lethal dose.
23 What's the 50?

24 A. Giving it one time, it's a dose that will

1 kill 50 percent of the population that it's given to.

2 Q. And are you familiar with the LD50
3 measures for substances that all of us use every day?

4 A. Yes.

5 Q. Give us some examples of an LD50 measure
6 for common substances.

7 A. So for example, water would be 90,000
8 milligrams per kilogram.

9 Q. So the LD50 for water is 90,000?

10 A. Yes.

11 Q. What's the LD50 for another common
12 everyday substance?

13 A. If you look at table salt, it's around
14 3,000 milligrams per kilogram.

15 Q. So it's -- table salt is 3,000, water is
16 90,000. How do they compare?

17 A. As the number goes down -- the lower the
18 number it's considered more toxic.

19 Q. Table salt is then considered more toxic
20 than water?

21 A. Yes.

22 Q. Give us another example of a product
23 used -- we all use on a daily basis, what the LD50 is?

24 A. Caffeine that you would find in coffee has

1 an LD50 -- and again, these are typically done in
2 rodents rats -- is around 50 milligrams -- I'm sorry,
3 200 milligrams per kilogram.

4 Q. Is there an LD50 measure for glyphosate?

5 A. Yes.

6 Q. And what is that?

7 A. It's around 5,000.

8 Q. And is the LD50 measure, this -- is it --
9 does it reflect anything about cancer?

10 A. No, it doesn't.

11 Q. Is this just a relative measure
12 toxicologists use to gauge the relative toxicity of
13 substances?

14 A. Yes, it is.

15 Q. Now, you said the LD50 of water is 90,000,
16 the LD50 of glyphosate is 5,000 --

17 A. Yes.

18 Q. -- and the LD50 of caffeine is around
19 200?

20 A. Yes.

21 Q. What is the LD50 of Roundup glyphosate
22 products?

23 A. They're around 5,000 as well.

24 Q. What does an LD50 5,000 measure for

1 glyphosate and glyphosate products -- what does that
2 tell you as a toxicology (sic) about the relative
3 toxicity of glyphosate?

4 A. That is --

5 MR. LUNDY: Object to the question. Calls
6 for an expert opinion.

7 A. It has a low toxicity.

8 Q. (By Mr. Hall) Why -- why is it that
9 glyphosate -- you said it's effective on weeds. Why is
10 glyphosate effective on weeds if it has a relatively
11 low toxicity level?

12 A. The target for glyphosate is a process in
13 plants, and that's not found in human cells or animal
14 cells.

15 Q. You mentioned that there's water,
16 glyphosate, and surfactants in Roundup and Roundup --
17 and glyphosate products; right?

18 A. Yes.

19 Q. Let me ask you about surfactants first.
20 What are surfactants?

21 A. They're soapy-like substances.

22 Q. What are some products that contain
23 surfactants that we'd be familiar with using daily?

24 A. They would be shampoos and dishwashing

1 detergents and body soaps. Things like that.

2 Q. Why do Roundup and other glyphosate
3 products include this soapy-like surfactant substance?

4 A. One of the things that surfactants do,
5 say, with water droplets is -- because, again,
6 remember, we said there's a lot of water that's in the
7 formulated product when it's sprayed -- is if you think
8 about the water sitting as a droplet and it drops on
9 that plant leaf, if you don't, it can just bounce off.

10 So the surfactant reduces the tension of
11 that ball of water to make it look more like a pancake
12 so it spreads out over the surface of the leaf as well
13 as not allowing it then to fall off.

14 Q. I want to ask you about the testing that
15 Monsanto has done over the years of glyphosate,
16 Roundup, and glyphosate products; okay?

17 A. Uh-huh.

18 Q. First, have you been involved in that
19 testing?

20 A. Yes.

21 Q. Have you been extensively involved?

22 A. Yes.

23 Q. Give us first an overview of the
24 substances that Monsanto tested over the years as they

1 related to glyphosate and glyphosate products.

2 A. So we have done glyphosate the active --
3 what we call the active ingredient. Again, we talked
4 about -- the next one is the surfactant. We've done
5 testing on the surfactants. And then when those two
6 are put together in the glyphosate products, the
7 formulation what we call it, we will then test that
8 formulation.

9 Q. And by formulation, are you referring then
10 to Roundup or other glyphosate products?

11 A. Yes.

12 Q. Now, I'm going to be asking you some
13 details about the various tests that Monsanto has done
14 over the years on glyphosate and glyphosate
15 formulations and surfactants. Tell us first, did
16 Monsanto itself actually do the test that we're going
17 to be talking about?

18 A. We -- there's -- so there's -- the
19 Monsanto monitor contracted all of those tests. Some
20 of the tests were done at a testing facility we had at
21 Monsanto and others were done at testing facilities
22 that are established to do that for all sorts of
23 industries.

24 Q. All right. Well, let me make sure I have

1 that clear. Were some of the tests that you're going
2 to describe actually done by Monsanto employees in a
3 lab at Monsanto?

4 A. Yes.

5 Q. When were those tests done?

6 A. Back in the -- I think in the 1980s and
7 1990s.

8 Q. And is that lab at Monsanto still used for
9 these kinds of tests?

10 A. No, it's not.

11 Q. So after that lab is no longer used, who
12 has actually done the tests you're going to be
13 describing of glyphosate, surfactants, and glyphosate
14 products?

15 A. And even back then, we also used outside,
16 what we call contract labs, who are toxicology testing
17 labs that we use.

18 Q. Are these professional laboratories that
19 are owned by someone other than Monsanto?

20 A. Yes.

21 Q. And are you familiar with these
22 professional laboratories?

23 A. Yes.

24 Q. Are they under some kind of accreditation

1 system, or is there some kind of check done to the
2 quality of their work?

3 A. Absolutely.

4 Q. Can you describe a little bit about that?

5 A. They have oversight by the regulatory
6 agencies, so they conduct studies both to be submitted
7 to the Environmental Protection Agency as well as the
8 Food and Drug Administration. They can bring in
9 auditors to look at the data.

10 They also have -- all of their technicians
11 and the people that work there also have certification.
12 For their animal facilities, they have to have
13 certification. So they have a lot of different
14 organizations that they have to be certified by and
15 inspected by.

16 Q. These professional laboratories you're
17 talking about, the third-party laboratories that have
18 done much of this testing for Monsanto --

19 A. Uh-huh.

20 Q. -- do they do testing for other companies
21 aside from Monsanto?

22 A. Yes.

23 Q. Do you know if they do work for many other
24 companies?

1 A. Yes.

2 Q. Now, when -- over what period of time have
3 the tests that Monsanto has done -- either in the lab
4 that it owned back in the 1980s and 1990s or
5 third-party labs that you've described -- over what
6 period of time have these tests been done?

7 A. They have been ongoing for all this time,
8 many, many years.

9 Q. Did it start before you arrived at
10 Monsanto in the 1990s?

11 A. Yes.

12 Q. Does it continue today?

13 A. Yes.

14 Q. Let's take a closer look at the testing
15 first of glyphosate. You mentioned that Monsanto has
16 tested glyphosate, the active ingredient. Tell us in
17 general terms the categories of tests that Monsanto has
18 done over the years on glyphosate itself.

19 A. I think there's kind of three major areas
20 you can look at, is the acute -- what we call acute
21 toxicology, we do the genotoxicology, and then we do a
22 number of animal tests that look at a variety of
23 different endpoints.

24 Q. Let's take those one at a time. You

1 mentioned acute toxicology test of glyphosate.

2 A. Uh-huh.

3 Q. Give us a sense of what are acute
4 toxicology tests of glyphosate.

5 A. Just a few minutes ago we were talking
6 about the oral LD50. That would be considered an acute
7 study. Then we look at different routes. We look at a
8 dermal route for LD50, we look at an inhalation route
9 for what we can an LC, lethal concentration, 50. Then
10 we look at eye and skin irritation, then we look at
11 what happens if you have repeat exposure to it, do you
12 develop an allergy.

13 Q. Are these acute toxicology tests -- well,
14 first of all, do they test for cancer?

15 A. No.

16 Q. Potential cancer causing of any substance?

17 A. No.

18 Q. Are these standard toxicology tests done
19 of many substances?

20 A. Yes.

21 Q. And tell us, are you familiar with the
22 results of these tests?

23 A. Yes.

24 Q. And by the way, you mentioned the

1 professional third-party laboratories have done many of
2 the tests for Monsanto of glyphosate, glyphosate
3 products, and surfactants. What has your role been
4 with respect to the testing that Monsanto has done over
5 your career at Monsanto?

6 A. So when we worked either with our Monsanto
7 lab or these contract labs, we are considered study
8 monitor. We work to get the contract in place, to get
9 the study placed at that facility, and then we work
10 with -- the main person there is called the study
11 director.

12 They're the ones who are actually
13 supervising and overseeing the conduct of the study,
14 and then they work with the pathologist who evaluates
15 some of the results coming out of the studies.

16 Q. And you used the term study monitor. Does
17 that describe your role?

18 A. Yes.

19 Q. And tell us a little more about exactly
20 what you would do, what you have done, with respect to
21 these tests that Monsanto has done over the decades of
22 your experience there.

23 A. So we would determine there was a need to
24 do a study or we needed a new formulation to be tested.

1 I would call up the study director or their contract
2 person and say we'd like to place this set of acute
3 studies with you.

4 They would send me a protocol. A protocol
5 is the study design; it says exactly how they're going
6 to conduct the study. I would make sure that our
7 people got the proper test materials sent to that study
8 and that it was analyzed and that you had that analysis
9 of what the substance was.

10 And then the study director -- I would
11 sign the protocol, and then they would be involved in
12 conducting the study, and then they would report back
13 to me with the results. They would write the report.
14 We would review the report with them. They would have
15 the pathologist look at it, and then the study director
16 and the pathologist signed the reports.

17 Q. And then at the conclusion of the signing
18 of the reports, would you obtain the reports yourself?

19 A. They would keep a copy for themselves and
20 they would send a copy to us at Monsanto.

21 Q. And then ultimately what did Monsanto do
22 with those reports?

23 A. So I work with what are called regulatory
24 affairs managers, and they are the ones who submit

1 these studies to the agencies. So the copy then would
2 be given to our regulatory affairs managers and then
3 they would make the official submissions to the
4 regulatory agencies.

5 Q. And the regulatory agencies would include
6 the United States Environmental Protection Agency?

7 A. Yes.

8 Q. Would it include agencies for other
9 countries around the world?

10 A. Yes.

11 Q. Now, you mentioned the acute toxicity
12 testing that Monsanto did of glyphosate. What did
13 those acute toxicology tests show as to glyphosate?
14 What were the results of those tests in your
15 experience?

16 A. For glyphosate by itself, it has all low
17 acute toxicity, but it is an eye irritant, because it's
18 an acid, so it's very -- like if you got lemon juice in
19 you on eye it would burn. So we do have eye irritation
20 with glyphosate itself.

21 Q. You mentioned three categories -- acute
22 toxicology tests, genotoxicity tests, and animal
23 testing. Let's move to the genotoxicity tests. First
24 of all, what is genotoxicity?

1 A. So what we're looking at is each cell has
2 genetic material that's very important, and so we want
3 to make sure that we understand does this substance
4 adversely affect the genetic substance in that cell.
5 And this is a really important study that we -- one of
6 the very first ones we always do with new chemicals.

7 Q. And when you say a cell, an effect on a
8 cell, that's a cell of what?

9 A. Of any tissue in your body. We're looking
10 at -- the cell has a nucleus, and in that nucleus -- I
11 think a lot of people have seen a picture of a cell,
12 dark purple circle, and that's called the nucleus, and
13 in that nucleus is that genetic material. So what we
14 want to know is does that substance have any impact on
15 that genetic material.

16 Q. And these genotoxicity tests, are they
17 tests of the effective glyphosate on actual cells of
18 human beings, or animals, or plants, or what?

19 A. All of the above.

20 Q. Tell us, how do you go about testing
21 effects of a substance on cells? Is that done in a
22 laboratory?

23 A. Yes.

24 Q. Tell us a little bit about how that's

1 done.

2 A. So -- I think a lot of people have heard
3 about a petri dish. So if you have cells, you have to
4 grow them in some sort of a dish, and we call it a
5 petri dish. And that's called in vitro, so it's an in
6 vitro system.

7 And you would plate your cells out on that
8 petri dish, and then you would cover them with the
9 fluid that keeps them alive, gives them nutrients. And
10 then you would add your test material to then that
11 water, whatever is bathing those cells.

12 Q. So in vitro testing is in a dish in a
13 laboratory where you are just introducing the substance
14 to cells in that dish?

15 A. Yes.

16 Q. And I take it you can't see those cells
17 with the naked eye?

18 A. Not individually, no.

19 Q. So they're examined under a microscope or
20 something like that?

21 A. Yes.

22 Q. Is there any other kind of a genotoxicity
23 test of glyphosate?

24 A. Then we would call it in vivo, and what

1 that means is in live animals. Because you can
2 understand in a petri dish, you just have cells.

3 While they have some mechanisms of
4 capability to repair, we really want to know what's
5 going on in a whole animal, because that's really how
6 people will be exposed to this, is in a whole system.

7 So that animal has barriers where the
8 chemical have to get through. It has repair
9 mechanisms. It has a lot more complex testing system,
10 so we will then do genotoxicity testing in whole
11 animals.

12 Q. And is this animal testing you're
13 describing, is that required by the EPA?

14 A. Yes.

15 Q. Is it required by other regulators in
16 other countries around the world, the animal testing?

17 A. Yes.

18 Q. So you mentioned that there's in vitro
19 testing of cells and in vivo testing of cells in this
20 genotoxicity testing. Over the years, what has
21 Monsanto's genotoxicity testing of glyphosate shown?

22 A. No genotoxicity.

23 Q. And what does genotoxicity -- if a
24 substance is genotoxic, you've mentioned that means --

1 you said that means damage to cell genes. Does that
2 mean it's a carcinogen if it's genotoxic?

3 MR. LUNDY: Object. That calls for an
4 expert opinion, and she's not an expert in toxicology.
5 She's not an expert in medicine. She's not a doctor.

6 MR. HALL: Go ahead.

7 MR. LUNDY: You haven't called her to give
8 a question.

9 MR. HALL: Go ahead.

10 MR. LUNDY: An opinion on carcinogenicity.

11 A. Not necessarily.

12 Q. (By Mr. Hall) Now, you mentioned that
13 over the years, the results of Monsanto's genotoxicity
14 of glyphosate have shown it is not genotoxic?

15 A. Yes.

16 Q. Let's move to the animal tests that you
17 mentioned. Are these tests usually of mice and rats?

18 A. Yes.

19 Q. And again these are required by the EPA?

20 A. Yes.

21 Q. Tell us a little bit about how these tests
22 are done, of glyphosate.

23 A. They're done over different periods of
24 time, from several weeks, to several months, to over

1 the lifetime of the animals. They're typically done
2 orally; they're put into their diet, so it's mixed into
3 their food and they eat it through that. And we then
4 do this -- again, looking at different endpoints.

5 Q. What's an endpoint?

6 A. So for example, one endpoint would be an
7 effect on reproduction, and another endpoint might be
8 on the immune system.

9 Q. You mentioned that with the glyphosate
10 testing, the glyphosate would be introduced in the
11 food. Is it mixed in the mice and rats' foods?

12 A. Yes.

13 Q. Tell us a little bit about how much
14 glyphosate is used in these animal tests in the food.

15 A. What we have -- when you look at these
16 studies that are done, a group of animals will be fed
17 the food without any glyphosate in it, and then we will
18 have anywhere between three and five other groups that
19 will be fed increasing amounts of glyphosate. So you
20 have like a low dose, some middle doses, and then a
21 high dose.

22 Q. And you mentioned there's one group that
23 doesn't get any doses. Is that the control group?

24 A. Yes.

1 Q. As to the animals that get the doses of
2 glyphosate, can you give us a sense of how much
3 glyphosate they are getting? For example -- well, go
4 ahead. Can you give us a sense of that?

5 A. Yeah. If you look at a range of the
6 studies that have been conducted, maybe one of the low
7 doses might be 50 milligrams per kilogram upwards of
8 around 5,000 milligrams per kilogram.

9 Q. For those of us who those numbers don't
10 mean much to, including me, can you compare that amount
11 of glyphosate to the amount of glyphosate somebody
12 would be exposed to by actually using Roundup or
13 glyphosate products, a human being?

14 A. Yeah.

15 MR. LUNDY: I'm going to object to the
16 question. It calls for an expert opinion. She's not
17 qualified to give it. She can testify on what the
18 studies of Monsanto show, but you're asking for her
19 opinion.

20 MR. HALL: I wonder if you could just
21 state the objection without argument to preserve the
22 record. We'd go a little faster.

23 MR. LUNDY: I'll try to do that it.

24 MR. HALL: Thank you.

1 MR. LUNDY: I wish she would slow down in
2 her answers so I can make my objection.

3 MR. HALL: We'll continue to try to do
4 that as well.

5 MR. LUNDY: Thank you.

6 MR. HALL: So both of us let's go slow
7 and make sure we get a record where people are speaking
8 without interruption.

9 Q. (By Mr. Hall) Let me ask a slightly
10 different question. Are you familiar with exposure
11 studies of people who use glyphosate products and how
12 much glyphosate -- what kind of dose you get of
13 glyphosate if you actually use glyphosate products?

14 A. Yes.

15 Q. And you were describing the amount of
16 glyphosate used in these animal studies, the doses to
17 these animals. Can you give us some sense of
18 comparison between the amount of glyphosate that the
19 animals are eating, are exposed to, as compared to the
20 amount of exposure someone, a person using glyphosate
21 products would obtain?

22 MR. LUNDY: Same objection.

23 A. I -- when we talked about, remember, the
24 low dose to the high dose? So if you're comparing the

1 low dose to the high dose compared to, say, someone
2 from the farm family exposure study, which was a task
3 force study looking at glyphosate exposure in
4 farmers -- it would be around 10,000 to 1,000,000 times
5 greater the doses that the animals would be seeing than
6 what that person had been exposed to.

7 Q. (By Mr. Hall) The animal tests involved
8 much higher doses of glyphosate than people would ever
9 be exposed to; is that fair?

10 A. Yes.

11 Q. Why -- do you have an understanding of
12 why -- in these animal tests required by the EPA and
13 other regulatory agencies, why are the animals
14 receiving such high doses of glyphosate?

15 A. The regulatory agencies want you to reach
16 what's called a maximum tolerated dose, and so it
17 typically is around 1,000 milligrams per kilogram, but
18 as we talked about before, glyphosate has such low
19 toxicity, we had to push the doses even higher to some
20 of those animals.

21 Q. Why -- what's the point, in these animal
22 tests, of having such high doses provided to the
23 animals?

24 A. They really want to see a response of

1 those animals to that chemical. Is it really causing
2 any adverse effect in them, without just generalize
3 making them sick? They want a very spec -- they want
4 to push it as high as they can to get -- elicit a
5 response from those animals as a result of exposure to
6 that chemical, without making them sick.

7 Q. What period of time are these animal tests
8 conducted, the mice and rat studies that you've
9 mentioned?

10 A. I'm sorry. Can you --

11 Q. How long are these tests?

12 A. These are for a good portion of the
13 lifetime of mice and rats. So they'll go from like 18
14 months to 24 months.

15 Q. Are there also some shorter-term studies
16 as well?

17 A. Yes.

18 Q. Is one purpose of the two-year animal
19 studies, the longer animal studies, to see if a
20 substance can cause cancer in the animals?

21 A. Yes.

22 Q. By the way, if the substance can cause
23 cancer in an animal, does that mean it necessarily
24 would cause cancer in a person?

1 A. No.

2 Q. What were the results of the animal
3 testing of glyphosate that Monsanto did throughout your
4 career?

5 A. Not carcinogenic.

6 Q. Now, you mentioned that Monsanto also
7 tested surfactants. Who makes the surfactants, the
8 soapy-like substance that you told us is part of the
9 glyphosate products?

10 A. Other companies, not Monsanto.

11 Q. Do you know if the companies, the other
12 companies that make surfactants, test surfactants
13 themselves?

14 A. Yes.

15 Q. Do they do toxicity and genotoxicity and
16 animal testing of surfactants, these other companies
17 that make surfactants?

18 A. Yes, they do.

19 Q. Did Monsanto itself -- has Monsanto itself
20 also tested surfactants?

21 A. Yes.

22 Q. Was Monsanto required by regulators to do
23 all the tests of surfactants that it has done?

24 A. No.

1 Q. Why has Monsanto tested surfactants?

2 A. We wanted to have a complete profile of
3 the toxicological -- toxicology of our surfactants.

4 Q. Let me show you a document.

5 MR. HALL: Actually, let's take a short
6 break, if we could.

7 THE VIDEOGRAPHER: We are going off the
8 record at 9:44 AM.

9 [A brief recess was taken.]

10 THE VIDEOGRAPHER: We are back on the
11 record at 9:57 AM.

12 Q. (By Mr. Hall) Dr. Farmer, before we took
13 that break, I was asking you about surfactant testing.
14 You told us that the manufacturers of surfactants
15 tested surfactants and that Monsanto itself also tested
16 surfactants. Do you recall that?

17 A. Yes.

18 Q. I want to ask you now about the testing
19 that Monsanto did of surfactants. That's something
20 you've been involved in extensively throughout your
21 career; correct?

22 A. Yes.

23 Q. Let me show you a document that's been
24 marked as Exhibit -- Deposition Exhibit 68. And it is

1 titled surfactants genotoxicity studies conducted by
2 Monsanto.

3 Are you familiar with this chart?

4 A. Yes, I am.

5 [Exhibit 68 marked for identification.]

6 Q. What is this chart?

7 A. This is a chart is a list of different
8 surfactants that are used in our glyphosate products,
9 and it lists the genotoxicity studies that were
10 conducted on those various surfactants, and there are
11 different types of genotox studies listed.

12 Q. And where is the surfactant type
13 identified on this chart?

14 A. It's under description of product or test
15 substance.

16 Q. That would be the second-to-the-last
17 column on the right?

18 A. Yes.

19 Q. And then the chart has entries for each of
20 those. Some have multiple entries; that is, for each
21 formulation. Is that what they are? Or recipe of
22 surfactant?

23 A. Surfactant -- yeah, type of surfactant.

24 Q. And so for example, the one first one is

1 called MON 8080?

2 A. Yes.

3 Q. And that refers to the surfactants that
4 Monsanto used and tested?

5 A. Yeah. MON is a designation for Monsanto,
6 and then 8080 identifies that particular surfactant.

7 Q. And we see the Bates columns -- Bates
8 begin and Bates end.

9 Do you see that?

10 A. Yes.

11 Q. And do you understand that identifies the
12 Bates numbers of the documents as they were produced in
13 this litigation?

14 A. Yes.

15 Q. The next column -- author, study
16 director -- what does that refer to?

17 A. This is what we talked about earlier. The
18 people who actually are conducting the studies are
19 called study directors, and these are the last names of
20 those individuals.

21 Q. Are those people at professional labs, at
22 Monsanto, or both?

23 A. Both.

24 Q. The year, is that the year the test was

1 done?

2 A. That typically is the year the report was
3 issued. So the test could have been done a year or so
4 before, and then that would have been the year that the
5 report was issued.

6 Q. And then we see the title of the
7 genotoxicity test of the surfactants; right?

8 A. Correct.

9 Q. And then the test organism. What does
10 that refer to?

11 A. That refers, what did -- what type of cell
12 did we test it on or what kind of whole animal did we
13 test it in.

14 Q. And it looks like some -- are some human
15 cells?

16 A. Yes.

17 Q. What other kinds of cells were tested in
18 these tests shown on Exhibit 68?

19 A. There are some bacterial cells, and some
20 bone marrow cells.

21 Q. Bone marrow cells from mice, I see; is
22 that right?

23 A. Yes.

24 Q. And then there's a column called assay.

1 What does an -- what does assay mean?

2 A. That is a general title for that type of
3 study.

4 Q. Is assay a word for test? Like --

5 A. For test, study. They're all kind of
6 interchangeable.

7 Q. And in that column we see Ames. What is
8 the Ames assay?

9 A. The Ames assay is an in vitro test using
10 bacterial cells, and it was named after Bruce Ames, who
11 invented it.

12 Q. And are these tests standard genotoxicity
13 tests that are done on many substances?

14 A. Yes.

15 Q. And you told us what the description or
16 product column is. The last column is positive or
17 negative. When referring to genotoxicity tests, what
18 does positive mean?

19 A. That there was evidence of genotoxicity.

20 Q. The test showed some genotoxic effect?

21 A. Correct.

22 Q. And what does negative mean as to a
23 genotoxicity test?

24 A. There was no evidence of genotoxicity.

1 Q. What were the results of the genotoxicity
2 studies that Monsanto has done on surfactants?

3 A. They were all showing no evidence of
4 genotoxicity.

5 Q. And is that designated by the negative
6 column, negative in the last column?

7 A. Yes, it is.

8 Q. On this chart. Okay. Thank you. Now,
9 aside from the genotoxicity test, did Monsanto do other
10 testing of surfactants?

11 A. Yes.

12 Q. Describe those generally.

13 A. We would do acute testing, as we talked
14 about before, and we also did some animal testing.

15 Q. And what did the tests of surfactants that
16 Monsanto has done show? What were the results?

17 A. In those animal tests?

18 Q. Well, in all of the tests. Can you speak
19 generally about all of them?

20 A. Yeah, I --

21 MR. LUNDY: Objection. Hearsay.

22 A. Again, from the genotox, there was no
23 evidence of genotoxicity. When we gave it to pregnant
24 rats and looked at their offspring, there was no

1 evidence of production of birth defects.

2 And when we gave it to them over a period
3 of time in doses in their diets, there was no evidence
4 of what we would call target organ toxicity, that the
5 surfactants weren't targeting like a kidney or a liver,
6 and the predominant finding we would see would be
7 gastrointestinal irritation.

8 Q. (By Mr. Hall) Did Monsanto reach a
9 conclusion as to whether or not the surfactants it used
10 in glyphosate products was genotoxic?

11 A. We concluded it was not genotoxic.

12 Q. Were all of the tests that you've just
13 described that Monsanto did, were those tests that you
14 yourself were involved in?

15 A. I was involved in not all of them but a
16 number of them.

17 Q. And are those tests -- for the ones that
18 you weren't involved in, are you familiar with the test
19 study reports?

20 A. Yes.

21 Q. Let's move to the Roundup -- or the
22 formulated product testing, the glyphosate product
23 testing that Monsanto did. You've told us about
24 Monsanto's testing of glyphosate, the active

1 ingredient, the testing of surfactants done by
2 Monsanto.

3 I want to ask you now about the formulated
4 product testing. What categories of testing has
5 Monsanto done of the formulated glyphosate products?

6 A. We have done the acute testing that we
7 talked about, we've done genotoxicity, and we have done
8 some animal testing and some worker exposure studies.

9 Q. Let's talk about the acute toxicity tests
10 again. Are these the same five or six toxicity tests
11 you've described, the LD50 and similar tests?

12 A. Yes.

13 Q. Are those sometimes referred to as
14 six-pack tests?

15 A. Yes.

16 Q. And you told us those are standard
17 toxicity tests; true?

18 A. Yes.

19 Q. What have those acute toxicity tests of
20 formulated product, the tests that Monsanto has done,
21 what did they show?

22 A. That it has low toxicity and is not
23 irritating.

24 Q. That's consistent with the LD 5,000 --

1 LD50 5,000 or so measure you described early on?

2 A. Yes.

3 Q. Let's talk move to the genotoxicity tests
4 of the formulated products that Monsanto has done. Has
5 it done the same kind of genotoxicity tests of the
6 formulated product that you described for glyphosate
7 itself? That is, the laboratory in vitro petri dish
8 and in vivo in animal tests?

9 A. Yes.

10 Q. Same kinds of tests?

11 A. Yes.

12 Q. All right. Let me show you another chart.

13 [Exhibit 69 marked for identification.]

14 Q. Handing you Deposition Exhibit 69.

15 MR. HALL: For almost all of them I don't
16 think I have an extra, but that one I do.

17 Q. (By Mr. Hall) Dr. Farmer, I've handed you
18 Deposition Exhibit 69.

19 Are you familiar with this chart?

20 A. Yes.

21 Q. Describe for us generally what it shows.
22 It's titled formulated products, genotoxicity studies,
23 conducted by Monsanto.

24 A. Yes. So this -- instead of having the

1 surfactant over-under, description of product, or test
2 substance -- this would be an indication of the type of
3 formulation that they were being testing. It again has
4 the list of all the types of tests, and then it has the
5 results, and then the different organisms that were
6 tested.

7 Q. Does Exhibit -- Deposit --

8 MR. LUNDY: For the record, neither one of
9 these documents have a Bates stamp number on them, and
10 I'm wondering why not.

11 MR. HALL: These are charts that have been
12 prepared for -- they're summary charts of documents
13 that have been produced as identified by the Bates
14 numbers, in Bates number columns.

15 Q. (By Mr. Hall) This summary chart, Exhibit
16 69 -- does Exhibit 69 list genotoxicity studies that
17 Monsanto has done of formulated glyphosate products?

18 A. Yes.

19 Q. And we see the Bates columns, the two
20 columns on the far left.

21 Do you see that?

22 A. Yes.

23 Q. Do those identify the Bates numbers of the
24 various studies as they have been produced in this

1 litigation?

2 A. That's my understanding, yes.

3 Q. And we see the author. Tell us a little
4 bit about the authors of these tests. What does that
5 mean?

6 A. The authors are the individual that was in
7 the laboratory that is designated as the study
8 director. They're the ones that conducted the study,
9 and they're the ones then that wrote the report.

10 Q. The year. Is that the year of the report?

11 A. Yes.

12 Q. Would that correspond to the year of the
13 test, or not necessarily?

14 A. Not necessarily.

15 Q. The year of the test might be a year
16 before, or --

17 A. Yes.

18 Q. -- the same year. Okay. The title seems
19 self-explanatory. The test organisms. Tell us what
20 that means, please.

21 A. This is the type of organism. If it's in
22 a petri dish and it's identified as like salmonella
23 typhimurium, which is a bacteria -- you'll see there
24 are mouse erythrocytes, you'll see there are human

1 lymphocytes, so this is going to identify the type of
2 cell and will also tell you which kind of study was
3 done, whether it was done in mice or whether it was
4 done in a rat. It tells you the whole animal that was
5 tested.

6 Q. And so the genotoxicity test of formulated
7 products that Monsanto did included tests of bacteria
8 cells?

9 A. Uh-huh. Yes.

10 Q. Did it include tests of the effects of
11 formulated product on mice cells?

12 A. Yes.

13 Q. Did it include the effects of formulated
14 products, testing the effects of formulated products on
15 human cells?

16 A. Yes.

17 Q. And we see that word again, assay. Does
18 that list the kind of genotoxicity test that was done?

19 A. That's the name -- yes, the name of the
20 test.

21 Q. And you told us the description of the
22 product. That's the reference to the formulation
23 itself that was tested in these genotoxicity tests?

24 A. Yes.

1 Q. All right. And then we see the column
2 positive or negative. As to the genotoxicity test of
3 formulated products, what would a positive test result
4 indicate?

5 A. That there was some indication of
6 genotoxicity in the test.

7 Q. Were any of the genotoxicity tests that
8 Monsanto did of formulated products, did they result in
9 a positive finding -- that is, a finding of
10 genotoxicity?

11 A. No.

12 Q. Were all of them negative as shown in this
13 chart?

14 A. Yes.

15 Q. All right. Let me ask you now --

16 MR. LUNDY: Let me just make another
17 objection for both 68 and 69. There's been no
18 foundation. They're not Bates-stamped. We don't know
19 who prepared it. The witness is not the project or the
20 author or the study director, which she says
21 she oftenly plays for Monsanto, and she's not on any of
22 these studies done in Exhibit 68 or 69.

23 So I'm protecting the record and making
24 the objection as to the admissibility of either one of

1 these documents.

2 MR. HALL: Okay. I'll just note for the
3 record that these are Rule 1006 summaries of voluminous
4 studies, all of which have been made available to the
5 plaintiffs by production in this litigation.

6 MR. LUNDY: So where's the Bates stamp
7 number for the --

8 MR. HALL: The Bates stamp of each study
9 is listed on the chart in the first two columns.

10 MR. LUNDY: So who prepared the chart?

11 MR. HALL: I'm not here to have my
12 deposition taken. It's a Rule 1006 summary chart.

13 MR. LUNDY: I understand, but did the
14 witness prepare it or did you prepare it?

15 Q. (By Mr. Hall) Let's move to animal
16 testing of formulated products. Did Monsanto do any
17 animal testing, that's the testing required by the EPA
18 and other regulators of animals, for formulated
19 products?

20 A. Yes.

21 Q. Now, you told us about the animal testing
22 of glyphosate -- that is the introduction into the food
23 of the substance and the testing over various periods
24 of time. As a general matter, was similar testing done

1 of the formulated product?

2 A. Yes.

3 Q. Was there any exception to that?

4 A. Some of the testing of the formulated
5 product is done dermally, put on the skin, because that
6 is a route of exposure to people who work with the
7 product. Another one is inhalation, through breathing,
8 because they may be breathing parts of it when they're
9 out spraying.

10 Q. And tell us a bit about the doses of the
11 formulated products in these animal studies. You told
12 us in the animal studies of glyphosate itself, the
13 doses were much, much higher -- the doses for the
14 animals were much, much higher than what has been
15 measured as human doses for using glyphosate. Is the
16 same true for the animal testing of the formulated
17 product?

18 A. Yes.

19 Q. Give us some idea of the comparison
20 between the doses used in the animal testing of
21 formulated product and the doses that someone would
22 obtain actually using glyphosate.

23 MR. LUNDY: Objection. Calls for an
24 expert opinion.

1 A. If you look at the dermal study, the dose
2 that was put on was 1,000 milligrams per kilogram, and
3 you would have someone who would be exposed to, say,
4 .004, so you're looking at, what, several thousand-fold
5 higher in the animal study than you would have a human
6 being exposed to.

7 Q. (By Mr. Hall) Okay. Thousands of times
8 higher?

9 A. Yes. Uh-huh.

10 Q. All right. Now, did -- you mentioned that
11 in the testing of glyphosate -- the animal testing of
12 glyphosate -- Monsanto did the two-year studies that
13 were aimed at testing to see if the substance caused
14 cancer in the animals. Do you recall that?

15 A. Yes.

16 Q. Did Monsanto do similar two-year studies
17 of the formulated product?

18 A. No.

19 Q. Why not?

20 A. I think there's two things to address
21 that. One is the existing data didn't give us any
22 indication of any concern. And the second one is is
23 that conducting that study would be difficult in
24 conducting it and in interpreting the results from that

1 study.

2 Q. Let's focus on those two reasons. You
3 said first, the existing test -- testing that Monsanto
4 had done -- well, let me ask another question first.
5 Does the EPA and other regulators around the world
6 require two-year testing, two-year animal testing of
7 the formulated product?

8 A. No.

9 Q. Now, you mentioned that you saw two
10 reasons why Monsanto did not do that test, which you've
11 told us is not required. The first one is that other
12 testing gave no indication that a two-year test would
13 be called for. What do you mean by that? Tell us a
14 little bit more about that.

15 A. As we talked about, we had the chronic
16 study with glyphosate, where we saw no evidence of
17 carcinogenicity.

18 Q. When you say the chronic study, what study
19 are you referring to?

20 A. Chronic -- sorry. Chronic in -- study in
21 mice, long-term studies in mice and long-term studies
22 in rats.

23 Q. Those are the two-year studies?

24 A. Yes.

1 Q. Okay.

2 A. We saw no evidence of carcinogenicity in
3 those studies.

4 Q. Of glyphosate itself?

5 A. Of glyphosate itself.

6 Q. Okay.

7 A. We then look at the genotox data. In all
8 of the studies that we had done with glyphosate, there
9 was no evidence of genotoxicity.

10 Q. You mentioned that there was a second
11 reason why Monsanto did not do these two-year animal
12 studies of the formulated product. What is that second
13 reason?

14 A. It's the difficulty in conduct and
15 interpretation of the study. As we talked about with
16 the surfactants, when we did the surfactants we saw no
17 evidence of genotoxicity in any of the studies with any
18 of the surfactants, and when we did the animal studies,
19 the primary finding was gastrointestinal irritation.

20 So whether we gave it to them for 30 days
21 or we gave it to them for 90 days, all we saw was
22 gastrointestinal irritation, irritation to their GI
23 system. We didn't see what we talked about as a target
24 organ.

1 So if we were to do a test of the
2 formulated product -- if we -- the EPA wants us to get
3 those doses really, really high to elicit that
4 response, the surfactant would be so disruptive to the
5 animal's GI system that they may not eat the food or
6 they may just be really sick.

7 Q. When you say the surfactant is disruptive
8 to the animal's GI system, what do you mean? Tell us a
9 little more about what that actually means as far as
10 the animal ingesting surfactant or the formulated
11 product that includes surfactant.

12 A. Surfactants are named for surface-acting
13 substances, because they act on the surface of cells,
14 and unlike when you have surfactants in body soap, you
15 have a tough layer of skin that helps protect your
16 other cells from that.

17 Your GI system doesn't have that
18 protective layer, so those surfactants are very
19 disruptive to those really delicate cells that are in
20 the lining of the GI system. So again, to get a dose
21 high enough to meet that -- what they call the maximum
22 tolerated dose with a surfactant, we would be really
23 pushing GI irritation significantly on these animals.

24 Q. Well, why is that a factor or why is that

1 a potential issue in an animal test -- that is, if the
2 animal has significant digestive irritation or
3 problems?

4 A. Because they're so sick that it
5 complicates the interpretation of the results of the
6 study. So we don't know if the findings that we're
7 seeing at the end of the study are due to the test
8 material directly or due to that the animals are so
9 sick during the study.

10 Q. All right. So you've said that Monsanto
11 did not do long-term animal studies of the formulated
12 product. Are there any long-term studies of the
13 formulated product in existence?

14 A. Yes.

15 Q. What are those?

16 A. Epidemiology studies.

17 Q. And epidemiology studies are long-term
18 studies of the use of formulated products by people?

19 A. That's -- I'm not an epidemiologist, but
20 that's my understanding, is that they're looking at
21 people who were using products and following them
22 long-term.

23 Q. Let me show you a document that the
24 plaintiff's lawyer asked you about. It's Deposition

1 Exhibit 5, already marked. And Deposition Exhibit 5 is
2 a September 21st, 2009, e-mail from you to John
3 Combest. Do you recognize this document?

4 A. Yes, I do.

5 Q. Do you recall the plaintiff's lawyer
6 asking you questions about it when it was his turn?

7 A. Yes, I do.

8 Q. When you were asked about this document,
9 you said you wanted to put it in context. Do you
10 recall that?

11 A. Yes, I do.

12 Q. I want to ask you some questions that will
13 allow you to put it in context. As we're looking at
14 Deposition Exhibit 5, this e-mail from you to John
15 Combest. First, remind us who Mr. Combest is.

16 A. He was in our public affairs group.

17 Q. And you were communicating him -- with him
18 in 2009 about a Roundup article in the Fremont Herald.
19 We see the subject line at the top. (Indicating.)

20 A. I think it's Fremantle.

21 Q. Fremantle. Sorry. Thank you. And
22 explain to us the e-mail, your e-mail here. We see a
23 paragraph -- we see first a couple sentences at the
24 beginning. Then we see a paragraph that's numbered 5.

1 A. I see that.

2 Q. We see a statement then after that, and
3 then a Paragraph Number 2, another statement, and then
4 Donna.

5 Do you see that?

6 A. Yes, I do.

7 Q. What are the numbered Paragraphs 5 and 2
8 in your e-mail here?

9 A. They are taken from what would be a --
10 called a question-and-answer document.

11 Q. Were they copied and pasted into your
12 e-mail?

13 A. Yes. Yes.

14 Q. Did -- were you the author of the
15 paragraphs that are numbered 5 and the paragraphs --
16 paragraph that's numbered 2 in the e-mail?

17 A. No.

18 Q. All right. So what you wrote is -- are
19 the words before Paragraph 5, the words between
20 Paragraph 5 and Paragraph 2, and the words following
21 Paragraph 2; is that accurate?

22 A. Yes.

23 Q. Now, you were asked about the statement
24 that begins or this.

1 Do you see that?

2 A. Yes.

3 Q. Now, were you offering in this e-mail --
4 were you offering comments on paragraphs numbered 5 and
5 2?

6 A. Yes.

7 Q. What paragraph was the or this sentence
8 were you commenting on? Paragraph 5 or Paragraph 2?

9 A. 2.

10 Q. All right. Now, I want to focus on
11 Paragraph 2, which is titled will Roundup harm my
12 family or me, and gives two sentences that follow.

13 Do you see that?

14 A. Yes.

15 Q. And you told us you didn't author that
16 paragraph; true?

17 A. No, I did not.

18 Q. So you were reviewing and commenting on
19 it; correct?

20 A. Correct.

21 Q. I want to ask you about a sentence that
22 the plaintiff's lawyer didn't ask you about in
23 Paragraph 2. The very first sentence.

24 Do you see that?

1 A. Yes.

2 Q. Can you read the first sentence of
3 Paragraph Number 2 in this excerpt that you were
4 reviewing?

5 A. Okay. Based on the results of short-term
6 and long-term testing, it can be concluded that Roundup
7 poses no danger to human health when used according to
8 label directions.

9 Q. Now, in this e-mail did you offer any
10 comment or critique of that statement that you just
11 read, the first sentence in Paragraph Number 2?

12 A. No.

13 Q. Why not?

14 A. It was accurate and reflected the -- my
15 opinion of the safety of Roundup.

16 Q. Including that Roundup poses no danger to
17 human health when used according to label directions?

18 A. Yes.

19 Q. Now, the second sentence of Paragraph 2
20 starts out in long-term exposure studies of animals.

21 Do you see that?

22 A. Yes.

23 Q. Now, can you read that second sentence of
24 Paragraph 2?

1 A. In long-term exposure studies of animals,
2 Roundup did not cause cancer, birth defects, or adverse
3 reproductive changes at dose levels far in excess of
4 likely exposure.

5 Q. Was your comment above Paragraph 2
6 directed to that second sentence that starts in
7 long-term exposure studies of animals?

8 A. Yes.

9 Q. Let me ask you now about that second
10 sentence that you were reviewing here that was written
11 by someone else. And it says in long-term exposure
12 studies of animals, Roundup did not cause cancer, birth
13 defects, or adverse reproduction changes at dose levels
14 far in excess of likely exposure. Is that an accurate
15 statement?

16 A. That's not an accurate statement.

17 Q. What's inaccurate about that second
18 statement -- second sentence of Paragraph 2?

19 A. As we had just talked about, we had not
20 done those long-term studies in animals.

21 Q. Now, your comment above this second
22 sentence -- I'm sorry -- above this Paragraph Number 2,
23 you told us was directed at that second sentence;
24 correct?

1 A. Yes.

2 Q. And when you said you cannot say that
3 Roundup does not cause cancer, we have not done
4 carcinogenicity studies with Roundup, what did you
5 mean?

6 A. That was to correct the record on the
7 statement below regarding the long-term exposures. It
8 didn't say that I -- because if you look at the first
9 sentence above, I had no concern for Roundup, including
10 that it did not cause cancer.

11 Q. So in the second sentence, when it says in
12 long-term exposure studies of animals, Roundup -- you
13 told us that Monsanto did long-term exposure studies of
14 animals for glyphosate; true?

15 A. True.

16 Q. But not for Roundup?

17 A. Correct.

18 Q. By your comment there in this e-mail aimed
19 at that second sentence, did you mean that there is
20 some basis to believe that Roundup does cause cancer?

21 A. No.

22 Q. Explain.

23 A. As we talked about, the data both of the
24 long-term testing that we have with glyphosate and with

1 the surfactants and what we know about the Roundup
2 formulations not being genotoxic, we are -- I was
3 confident, as you can see in that first sentence, that
4 due to all those studies, the long -- short-term and
5 long-term testing of those ingredients -- that Roundup
6 poses no danger, including the risk of cancer to human
7 health.

8 Q. And again, you're referring to the first
9 sentence of Paragraph 2?

10 A. Yes.

11 Q. Which you made no comment on in this
12 e-mail?

13 A. No.

14 Q. Did you believe that sentence was true in
15 2009?

16 A. Yes.

17 Q. Did you believe that first sentence that
18 says based on the results of short-term and long-term
19 testing, it can be concluded that Roundup poses no
20 danger to human health when used according to label
21 directions -- do you believe that statement is true
22 today?

23 A. Yes.

24 Q. Does your testimony here that you've just

1 described about Paragraph 2 in your comment, is it now
2 in context?

3 A. Yes.

4 Q. Let me move to a different subject. I've
5 been asking about the testing that Monsanto did of
6 glyphosate, surfactants, and formulated products. I
7 now want to shift to what the regulators around the
8 world have said about Monsanto's glyphosate products
9 and glyphosate. Okay?

10 A. Okay.

11 Q. Have you been involved, as a regulatory
12 toxicologist, in the submissions that Monsanto has made
13 of its test data to the EPA and regulators in the
14 European Union and many other countries around the
15 world?

16 A. Yes.

17 Q. For how long?

18 A. Off and on for the 20-some -- 25 years
19 that I've supported glyphosate. Because sometimes
20 there were other toxicologists involved after me and
21 some before me, but I've been involved with them.

22 Q. Tell us, please, a little bit about the
23 process here. You have described all the testing that
24 Monsanto has done. What does Monsanto do with those

1 tests?

2 A. So we look at what the regulators want.
3 So there's a lot of other subjects -- so they want
4 ecotox studies, and environmental fate studies, and
5 efficacy studies, and product chemistry studies, and
6 they want toxicology studies.

7 So our job in the product safety center,
8 as a regulatory toxicologist, is to understand what
9 studies do they want for toxicology for them to
10 evaluate the safety. So we make sure that those
11 studies are conducted.

12 The EPA has a very specific list, and they
13 have very specific ways they want those studies
14 conducted. So we make sure that we get the studies
15 that they want and conducted according to how they want
16 them done. We then give them to our reg affairs
17 managers, and they're the ones who then formalize the
18 submission to the agencies.

19 Q. I want to ask about your understanding
20 then about what the agencies, the EPA and the other
21 regulators around the world, do with the test data that
22 you provide. Do they have -- does the EPA have its own
23 scientists who review the tests that Monsanto submits?

24 A. Yes.

1 Q. Do other -- the other regulators around
2 the world also have scientists who review and evaluate
3 the testing data you submit?

4 A. Yes.

5 Q. Let me show you Exhibit 70.

6 [Exhibit 70 marked for identification.]

7 Q. Sorry I didn't have it stamped yet, Dr.
8 Farmer.

9 A. Oh. Sure. I'll give that back to you.

10 Q. My mistake. Thank you. And Exhibit 70 is
11 a document that on the first page has a United States
12 Environmental Protection Agency authentication, and it
13 is followed by a title page EPA reregistration
14 eligibility decision, RED glyphosate. And it is a
15 document of several hundred pages.

16 First, Dr. Farmer, are you familiar with
17 this document?

18 A. Yes.

19 Q. What is it?

20 A. The EPA, like all regulatory agencies do
21 periodic reviews, and this was their rereview of
22 glyphosate in 1993, and it's called the reregistration
23 eligibility decision -- or shorthand, the RED -- on
24 glyphosate.

1 Q. What does it mean when the EPA registers
2 or reregisters a particular substance that's used in
3 herbicides like glyphosate?

4 A. It meets the safety standard that is in
5 place that day and we are able to sell the product.

6 Q. Do you have an understanding of what it is
7 that the EPA is evaluating when it is considering --
8 when it considers the registration of glyphosate?

9 A. Yes.

10 Q. Give us in general terms what that -- what
11 the EPA is evaluating.

12 A. They're looking at the safety of it from
13 the human safety side, they're looking at the
14 ecological safety side, because it's going to be used
15 in the environment.

16 They look at is it safe to the
17 environment, to water, soil. They look at how people
18 are going to use it. They look at whether it's going
19 to be in their diet. They look at the quality of the
20 product itself. So they look at a very big, very
21 significant package of data.

22 Q. In the course of considering the
23 registration or reregistration of glyphosate, did the
24 EPA evaluate Monsanto's testing methods, the quality of

1 its testing, and the data produced?

2 A. Yes.

3 MR. LUNDY: Object. Calls for hearsay.

4 I'll just make this objection for the record and then
5 I'll stop. The witness is not qualified as an expert,
6 and you're asking her about hearsay testimony, and not
7 having been qualified as a expert under 702 or 703,
8 she's not permitted to testify about hearsay testimony.
9 That's my objection. I'm protecting the record. Go
10 ahead, Mr. Hall.

11 Q. (By Mr. Hall) Does Exhibit 70, the EPA
12 reregistration decision document for glyphosate,
13 include summaries of Monsanto's actual testing of
14 glyphosate?

15 A. This has summaries, yes.

16 Q. And does this Exhibit 70, this RED,
17 reregistration eligibility decision, does it include
18 the EPA's scientific -- scientists' evaluation of
19 Monsanto's testing of glyphosate?

20 MR. LUNDY: I'm going to object. And
21 would you agree that my objection is continuing through
22 this and I won't make it every time, or do you want me
23 to make my object --

24 MR. HALL: When you say continuing through

1 this, about this document?

2 MR. LUNDY: This document. I'm sure
3 you're going to go through other regulatory body
4 documents, or maybe just the EPA. I don't know. But
5 as to this one, if you'll --

6 MR. HALL: Sure.

7 MR. LUNDY: -- recognize it as
8 continuing, I'll hush up.

9 MR. HALL: Okay.

10 MR. LUNDY: Thank you.

11 MR. HALL: For this document, sure.

12 MR. LUNDY: All right.

13 [The pending question was read by the
14 reporter.]

15 Q. (By Mr. Hall) Go ahead.

16 A. Yes.

17 Q. Did you use and rely on the Exhibit 70,
18 the EPA's reregistration eligibility decision about
19 glyphosate, in your job as a regulatory toxicologist
20 for Monsanto?

21 A. Yes.

22 Q. How?

23 A. We would use it as a confirmation of the
24 conclusions that we came to about our product.

1 Q. Did the EPA's reregistration decision for
2 glyphosate have an impact on the company's conduct of
3 its business?

4 A. No.

5 Q. Did the -- when you say that you relied on
6 the document, did the reregistration decision in 1993,
7 did it inform -- help inform Monsanto's views that
8 glyphosate and glyphosate products are not
9 cancer-causing?

10 A. Yes.

11 MR. LUNDY: Objection. This calls for an
12 expert opinion that's not within her ability to give
13 such.

14 Q. (By Mr. Hall) When you say the EPA's
15 reregistration decision helped inform Monsanto's views
16 that glyphosate and glyphosate products did not cause
17 cancer, how did it do that? Explain that.

18 A. In here, they talk about their decision on
19 their carcinogenicity evaluation of glyphosate.

20 Q. And did they have scientists who reviewed
21 the same tests that Monsanto had performed?

22 A. Yes.

23 Q. Did they come to the conclusion that
24 glyphosate is not genotoxic?

1 A. Yes.

2 Q. Did they -- what else did they conclude
3 with respect to glyphosate as it relates to whether or
4 not it causes cancer?

5 A. They put it into Group E, which is
6 evidence of non-carcinogenicity.

7 Q. Did this reregistration decision permit
8 Monsanto to sell glyphosate products?

9 A. Yes.

10 Q. Let me ask you about another regulatory
11 document.

12 [Exhibit 71 marked for identification.]

13 Q. You have a document that our reporter has
14 marked as Deposition Exhibit 71. This also has an EPA
15 authentication on the first page. And then on the
16 second page, it has an EPA letterhead document entitled
17 subject, alkyl amine polyalkoxylates. I'm not a
18 chemist.

19 A. That's good.

20 Q. Are you familiar with Exhibit 71?

21 A. Yes.

22 Q. What is Exhibit 71?

23 A. The EPA -- this is about inerts, and the
24 alkyl amine polyalkoxylates are a group of surfactants

1 that are used in glyphosate products. And the EPA --
2 before a pesticide manufacturer can put inert into
3 their pesticide formulation, they have to be approved
4 by the EPA, and this is a review of one of those types
5 of inerts.

6 Q. And when you're referring to inerts here,
7 this -- is Exhibit 71 addressing surfactants that
8 Monsanto include -- has included in glyphosate
9 products?

10 MR. LUNDY: Same objection as a while ago.
11 If you will recognize my objection that this document
12 is hearsay, and that under 701 or 702 she's not a
13 qualified expert to testify about the hearsay, and that
14 she -- neither 70 or 71 is a document that she's
15 authored. If you will recognize those statements, I
16 won't object through this testimony.

17 MR. HALL: Sure. Maybe we'll just do it
18 document by document. Is that all right?

19 MR. LUNDY: Thank you.

20 MR. HALL: Sure.

21 A. Could you ask that again.

22 [The pending question was read by the
23 reporter.]

24 A. Yes.

1 Q. (By Mr. Hall) Tell us -- give us an
2 overview understanding of what Exhibit 71, the EPA's
3 review of surfactants, shows.

4 A. They show that there was no concern for
5 genotoxicity, that the animal studies showed the GI
6 irritation that we had talked about before, and that
7 they had no concern for carcinogenicity.

8 Q. Did this Exhibit 71, the EPA's -- is it a
9 registration of surfactants? What is it called?

10 A. They call it an a exemption from a
11 requirement of a tolerance?

12 Q. Is it an approval?

13 A. It would be an approval, yes.

14 Q. Does the EPA's approval of the surfactants
15 in Exhibit 71, did it include evaluations by EPA
16 scientists of the tests on surfactants that had been
17 done by the manufacturers of surfactants, the other
18 companies you were referring to earlier?

19 A. Yes.

20 Q. Did it include the EPA's evaluation of
21 certain tests that Monsanto itself did on surfactants
22 as well?

23 A. Yes.

24 Q. Is Exhibit 71 a document you're familiar

1 with and have used and relied on in the course of your
2 work as a regulatory toxicologist?

3 A. Yes.

4 Q. Did the EPA reach any conclusion or
5 evaluation of the question of whether surfactants are
6 carcinogenic?

7 A. They did.

8 Q. What conclusion did they reach?

9 A. That they had no -- it's in a paragraph in
10 here. But the bottom line is they had no concern for
11 carcinogenicity for these surfactants.

12 Q. If you turn to Page 15 of 94. Is that
13 where the EPA addressed that question?

14 A. Yes.

15 Q. And what does the first sentence of
16 Section 4.4 of the EPA's approval of surfactants say?

17 A. There is no evidence that the AAPs are
18 carcinogenic.

19 Q. And does the AAPs there refer to
20 surfactants Monsanto has used in glyphosate products?

21 A. Yes.

22 [Exhibit 72 marked for identification.]

23 Q. The reporter has handed you Deposition
24 Exhibit 72, Dr. Farmer. Exhibit 72's first page is

1 titled -- it's on a letterhead of European Commission
2 Health and Consumer Protection, directorate-general.

3 Do you see that?

4 A. Yes.

5 Q. And it's dated January 21st, 2002;
6 correct?

7 A. Correct.

8 Q. By the way, do you recall -- I asked you
9 about the EPA's reregistration document. Do you --
10 that was Exhibit 70. Do you recall the date of the
11 EPA's reregistration document?

12 A. 1993.

13 Q. And I don't know if I asked you about the
14 surfactant approval, the EPA's approval in Exhibit 71
15 as to the surfactants. Do you recall the date of the
16 EPA's -- that document?

17 A. I think around 2009.

18 Q. And this document here, the European
19 Commission document, Exhibit 72, is dated 2002.

20 Are you familiar with this document?

21 A. Yes.

22 Q. Tell us what Exhibit 72 is.

23 MR. LUNDY: And let me make this objection
24 on Exhibit Number 72. This is a hearsay document that

1 the witness did not author and that the witness has not
2 been qualified as an expert.

3 So under evidentiary rules, and I've said
4 701, 702, but I'll say 703 -- because I don't have the
5 rules in front of me -- and I'll say 703 as a --
6 objection that is retroactive to the previous two
7 exhibits, that they are all hearsay, and she's not
8 qualified to comment on them.

9 And so with those -- with you noting that
10 education objection, I won't make anymore for this
11 document. Is that -- would you agreeing that that's a
12 continuing objection?

13 MR. HALL: For Exhibit 72, I agree.

14 MR. LUNDY: Thank you.

15 [The pending question was read by the
16 reporter.]

17 Q. (By Mr. Hall) Tell me what Exhibit 72 is,
18 Dr. Farmer.

19 A. This is a review for -- this is a review
20 report for the active substance -- glyphosate, and this
21 is their review for -- they called it the Annex 1
22 listing, basically the registration of glyphosate in
23 the European Union.

24 Q. Exhibit 72 reflects the European Union's

1 registration of glyphosate?

2 A. Evaluation and registration -- yes.

3 Q. And the European Union -- what is that?

4 A. Many of the countries in Europe all kind
5 of joined together to form the European Union. There's
6 about maybe 25 countries that have joined together. So
7 think about it, the United States has all the states
8 and we have the EPA. This would be similar.

9 Q. Okay. And the European Union would
10 include Germany, France, Switzerland, many other
11 countries in Europe?

12 A. Yes.

13 Q. So this is the 2002 European Union
14 approval of glyphosate. Give us an overview of your
15 understanding of what the European Union looked at when
16 it considered glyphosate back at this time.

17 A. Similar to the U.S. EPA, it's -- on Page 3
18 it talks about the physical chemical properties, the
19 fate of it in the environment. They look at the
20 ecotoxicology to animals in the environment, mammalian
21 toxicology, the residues and analytical method, and so
22 it's a very similar data set, the data set meaning all
23 the studies are required by regulatory agencies to look
24 at the profile of glyphosate.

1 Q. Does the European Union have its own staff
2 of scientists who reviewed and evaluated glyphosate?

3 A. Yes.

4 Q. And did they, those scientists at the
5 European Union, review and evaluate the tests that
6 Monsanto did on glyphosate, surfactants, and formulated
7 products?

8 A. Yes.

9 Q. Now, in 2002, were there other companies
10 in addition to Monsanto who also sold glyphosate
11 products?

12 A. Yes.

13 Q. And give us a little understanding of how
14 it is that other products came to sell glyphosate
15 around that time.

16 A. Glyphosate went off patent around the
17 world before 2000. In the U.S. it went off patent in
18 2002. So there were other companies in Europe that had
19 developed their own glyphosate database, which is all
20 those studies we've talked about.

21 Q. Well, let me ask you about that database.
22 When other companies besides Monsanto began selling
23 glyphosate products, were these competitors to Roundup
24 and Monsanto's other glyphosate products?

1 A. Yes.

2 Q. When other companies began selling these
3 competing products, do you know, did they do their own
4 testing of glyphosate and the constituents of the
5 glyphosate products?

6 A. Yes.

7 Q. And in 2002, in Exhibit 72, the European
8 Union's evaluation of glyphosate -- did the European
9 Union scientists also review the tests of glyphosate,
10 glyphosate products, that had been done by other
11 manufacturers in addition to Monsanto?

12 MR. LUNDY: I'm going to object. This is
13 now double hearsay.

14 Q. (By Mr. Hall) Go ahead.

15 A. Yes.

16 MR. LUNDY: Subject to my objection, you
17 can answer.

18 Q. (By Mr. Hall) It did include them?

19 A. Yes.

20 Q. Now, did Monsanto have anything to do with
21 the genotoxicity, animal testing, or any testing done
22 of glyphosate or glyphosate products that was done by
23 these other manufacturers who made competing products?

24 A. No.

1 Q. Did Monsanto have any input into that
2 testing?

3 A. No.

4 Q. Did Monsanto have any role at all in the
5 glyphosate and related testing done by these other
6 manufacturers who produced competing products?

7 A. No.

8 Q. Does Exhibit 72, the European Union's
9 evaluation and approval of glyphosate, include the
10 review by the European Union scientists of the testing
11 done by other makers of glyphosate products?

12 A. Yes.

13 Q. By the way, did those other glyphosate
14 product make -- manufacturers, did they use their own
15 scientists, their own laboratories, their own materials
16 in their testing of glyphosate and glyphosate products?

17 A. Yes.

18 Q. Did the fact that there were other tests
19 done by other companies that Monsanto had nothing to do
20 with, of glyphosate and glyphosate products -- well,
21 first of all, do you have an understanding of the
22 results of those tests?

23 A. Yes.

24 Q. How did you get that understanding?

1 A. When we were going through the European
2 Union, we worked with the other company to submit
3 summaries.

4 Q. And so you've seen summaries of the
5 glyphosate and glyphosate product testing by other
6 companies?

7 A. Yes.

8 Q. Have you seen the actual studies
9 themselves and the actual data?

10 A. No.

11 Q. How -- what did those summaries show
12 generally with respect to the testing done on
13 glyphosate and glyphosate products done by other
14 companies independent of Monsanto?

15 MR. LUNDY: Objection. Hearsay.

16 A. They were very consistent.

17 Q. (By Mr. Hall) Did the fact that there
18 were other testing done by other companies in different
19 laboratories of glyphosate and glyphosate products, and
20 the tests came to consistent results -- did that help
21 inform Monsanto's view that glyphosate and glyphosate
22 products did not cause cancer in humans?

23 A. Yes.

24 Q. How? How did it bear on it?

1 MR. LUNDY: Objection, it calls for her to
2 give hearsay testimony in answering the opinion
3 question that you just asked her that she's not
4 qualified to give. But subject to my objection, you
5 can answer.

6 A. When you look at their genotox studies and
7 their carcinogenicity studies, they came out the same.
8 There was no evidence of genotoxicity and there was no
9 evidence of carcinogenicity.

10 Q. (By Mr. Hall) As a toxicologist, why does
11 it matter if there are independent tests done that come
12 to similar results?

13 A. It's consistent. I mean, if they -- what
14 we're seeing is that they had exactly the same results
15 we did. So a lot of times in experiments, you can have
16 different findings, but when they're coming out exactly
17 the same, it's very confirmatory that you have the
18 right responses and that they are consistent between
19 the studies in different labs with different animals
20 over different periods of time.

21 Q. Did the European Union in Exhibit 72, did
22 it come to a conclusion as to whether or not glyphosate
23 causes cancer?

24 A. Yes.

1 Q. In humans?

2 A. Yes.

3 Q. And what was their conclusion?

4 MR. LUNDY: Objection. Hearsay.

5 A. It was not carcinogenic.

6 MR. HALL: Why don't we take a short
7 break, please?

8 MR. LUNDY: Sure.

9 THE VIDEOGRAPHER: We are going off the
10 record at 10:50 AM.

11 [A brief recess was taken.]

12 THE VIDEOGRAPHER: We are back on the
13 record at 11:04 AM.

14 Q. (By Mr. Hall) Dr. Farmer, staying with
15 Exhibit 72 for just a minute. That's the European
16 Union's approval in 2002 with respect to glyphosate.
17 Did Monsanto rely on that approval in some way in the
18 conduct of its business?

19 A. Yes.

20 Q. How?

21 A. With the approval, it allowed us then to
22 go to each member state to register the formulations in
23 those areas, and we also relied on the confirmatory
24 conclusions of the European Union.

1 Q. And when you say register the formulations
2 with member states, does that mean get the permission
3 then to sell glyphosate products within each of those
4 countries?

5 A. Yes.

6 Q. Going back for a minute to Exhibit 70.
7 That's the 1993 EPA reregistration document for
8 glyphosate that you described. Exhibit 71, which is
9 the 2009 EPA surfactant approval that you described,
10 and Exhibit 72, the European Union -- the 2002 European
11 Union Commissions's approval with respect to
12 glyphosate.

13 As to those three documents, did you
14 yourself rely on those documents in the course of your
15 work as a regulatory toxicologist for Monsanto?

16 A. Yes.

17 Q. How?

18 A. Well, every time we look at -- have
19 questions about glyphosate or a new study comes out or
20 someone asks us a question, we go back and we want to
21 know what the regulatory agencies thought. We look at
22 their opinions. And so time and time again, we will go
23 back and look at all of these different regulatory
24 agency documents when we prepare responses or review

1 new data.

2 Q. And when you say look at regulatory
3 opinions, what kind of opinions are you describing?
4 Are they expressed in these regulatory documents,
5 Exhibit 70, 71, and 72?

6 A. Yes. While you'll find that the
7 conclusions of the studies are always the same, each
8 scientist has a different way that they evaluate the
9 studies, and so that's what we look at. Because the
10 EPA scientists were different than the European
11 scientists, that were different than the testing
12 facilities' study directors that did them.

13 So it's always good to come back and see
14 what each evaluator thinks about the study, and the
15 conclusions are always the same.

16 Q. Are the opinions of these regulator
17 scientists included within these regulatory approval
18 documents you've been describing, Exhibits 70, 71, 72?

19 A. Yes.

20 Q. Did you regularly rely on these documents
21 in the course of your work for Monsanto?

22 A. Yes.

23 MR. LUNDY: I'm going to just renew my
24 objection. The fact that she's not qualified as an

1 expert. This does not -- this is not hearsay evidence
2 that would be allowed under 703 if, in fact, she was
3 qualified. So same objection.

4 [Exhibit 73 marked for identification.]

5 Q. (By Mr. Hall) Doctor, the reporter has
6 handed you a document marked as Deposition Exhibit 73,
7 I believe. This is titled pesticide residues in food,
8 2004, joint FAO, WHO meeting on pesticide residues.
9 Evaluations 2004, Part 2, toxicological.

10 Do you see that?

11 A. Yes.

12 Q. Are you familiar with that document?

13 A. Very.

14 Q. All right. Let me hand you another 2004
15 document.

16 [Exhibit 74 marked for identification.]

17 Q. I'm happy to report these two took a big
18 dent in the pile of documents here that we have left.

19 MR. LUNDY: Jeff, will you recognize my
20 same objections for Exhibit 73 and 74 as for the other
21 exhibits so I don't have to keep interrupting?

22 MR. HALL: Okay. Okay.

23 MR. LUNDY: Thank you.

24 Q. (By Mr. Hall) Exhibit 74 is entitled

1 report 2004, pesticide residues in food. And I want to
2 ask you about Exhibit 73 and 74 together right now. Do
3 you recognize both of those documents?

4 A. Yes.

5 Q. What are these 2004 documents, both of
6 which bear the insignia of the World Health
7 Organization?

8 A. This is called -- what they call the JMPR.
9 It's the joint meeting on pesticide residues. It's the
10 joint meeting between the FAO, which is the Food
11 Agricultural Organization, and the World Health
12 Organization toxicology group.

13 So what these are, periodically these
14 joint meetings between FAO and WHO get together. The
15 WHO evaluates the toxicology of the active ingredient,
16 like glyphosate. The FAO looks at the residues that
17 would be found in crop commodities. Then they come
18 back together to do a joint review.

19 Q. Do Exhibits 73 and 74 relate to each other
20 in some way?

21 A. Yes, they do.

22 Q. Explain briefly how.

23 A. Exhibit 73, where it says Part 2,
24 toxicological -- this has quite a few pages that go

1 through all of the toxicology studies that are on
2 glyphosate. This report, Exhibit Number 74, is then a
3 very shortened summary of the toxicological evaluation
4 in context with the residues.

5 Q. Now, you mentioned this JMPR organization.
6 Is that part of the World Health Organization?

7 A. Yes, it is.

8 Q. And we have heard a lot about IARC. Is
9 IARC also part of the World Health Organization?

10 A. Yes, it is.

11 Q. Is there a particular division or group
12 within the World Health Organization that has primary
13 responsibility for evaluating the safety of herbicides
14 and pesticides?

15 A. That is this joint meeting between the FAO
16 and the WHO. That is exactly their charge, is to look
17 at the toxicity of the pesticides, look at the residues
18 of those pesticides and commodities, and then determine
19 what is allowable to be in those commodities of that
20 pesticide.

21 Q. So it's the JMPR that has that primary
22 responsibility?

23 A. Yes.

24 Q. Give us an overview of what the JMPR did

1 in 2004 as it relates to glyphosate in Exhibits 73 and
2 74.

3 A. So it reviewed the toxicology studies that
4 we've been talking about. They don't look at the
5 ecotoxic or the environmental side because they are
6 really looking at what would be in food and what people
7 would eat, so they really concentrate on all of the
8 studies that regard human health.

9 Q. Did the JMPR have its own team of
10 scientists independent of Monsanto evaluating
11 glyphosate?

12 A. Yes, they did.

13 Q. Did they -- did those scientists evaluate
14 Monsanto's testing of glyphosate?

15 A. Yes, they did.

16 Q. Now, you mentioned earlier that as of
17 around 2000, there were other companies that had begun
18 selling glyphosate products in competition with
19 Monsanto; correct?

20 A. Yes.

21 Q. In this 2004 evaluation of glyphosate done
22 by the JMPR, did the JMPR scientists also evaluate the
23 testing on glyphosate and glyphosate products done by
24 those other companies independent of Monsanto?

1 MR. LUNDY: Objection. Hearsay.

2 A. Yes.

3 Q. (By Mr. Hall) And is their evaluation
4 included in these Exhibits 73 and 74?

5 A. Yes.

6 Q. What conclusion, if any, did the JMPR
7 reach with respect to glyphosate and whether or not it
8 is a carcinogen in 2004?

9 A. That it was not genotoxic and it wasn't
10 carcinogenic.

11 Q. Did Monsanto -- let me ask you. Did
12 you -- have you relied on Exhibits 73 and 74 in the
13 course of carrying out your responsibilities as a
14 regulatory toxicologist at Monsanto?

15 A. Yes, I have.

16 Q. How?

17 A. As we talked about with the other
18 documents, we go back to this evaluation in particular
19 because it had two other toxicology sets of glyphosate,
20 so that helped inform us. And we use it again as
21 references for other people who want to look at the
22 summaries of the glyphosate studies.

23 Q. When you say it had two other sets of
24 toxicological data, what are you referring to?

1 A. There were two other glyphosate
2 manufacturers who put their regulatory packages in for
3 review in this process.

4 Q. All right. Did the testing of those other
5 glyphosate product manufacturers and the JMPR's
6 evaluation of glyphosate in 2004, as reflected in
7 Exhibits 73 and 74, did that help inform Monsanto's
8 view that glyphosate and glyphosate products do not
9 cause cancer?

10 A. Yes.

11 Q. How?

12 A. Again, the data was very consistent. We
13 had three sets of studies, three sets of data packages
14 that were done by three different manufacturers that
15 occurred over -- in a different number of years in
16 different laboratories around the world, were conducted
17 by different study directors, and then you had a whole
18 different set of scientists reviewing the data, and
19 they all came to that same conclusion.

20 Q. And when you say data packages, I just
21 want to make sure we understand what you're referring
22 to. What do you mean?

23 A. Each regulatory -- there's a very specific
24 set of studies that the regulators would like to see to

1 evaluate the safety of a product, so it's the acutes
2 and the genotox and those animal studies we talked
3 about, and there's many of those, and they then
4 constitute that data package.

5 MR. HALL: I'm sorry. Did I -- no --

6 MR. LUNDY: I think I have it.

7 MR. HALL: No, I --

8 [Exhibit 75 marked for identification.]

9 Q. (By Mr. Hall) Dr. Farmer, the reporter
10 has handed you Exhibit 75, which is titled renewal
11 assessment report from December 2013 on glyphosate.
12 And it's got Bates numbers MONGLY14274178 through
13 14274351.

14 Are you familiar with Exhibit 75?

15 A. Yes.

16 Q. Tell us what it is, please.

17 A. The European Union, like the U.S. EPA and
18 other regulatory agencies, do periodic reviews of
19 active ingredients. And so this was the European's
20 reevaluations, called the Annex 1 renewal, of
21 glyphosate.

22 Q. Give us an overview of what Exhibit 75
23 contains as it relates to glyphosate and glyphosate
24 testing and whether or not glyphosate causes cancer?

1 MR. LUNDY: Will you recognize my same
2 objections as to Exhibit Number 75 as to these other
3 exhibits?

4 MR. HALL: Okay.

5 MR. LUNDY: Thank you.

6 A. They look, again, at the genotoxicity and
7 the carcinogenicity, the studies that would be
8 addressing that question, and they came to the
9 conclusion that it wasn't genotoxic and it was not
10 carcinogenic.

11 Q. (By Mr. Hall) Now, this renewal
12 assessment report, Exhibit 75, on the front page says
13 RMS Germany, Co-RMS Slovakia. What do those indicate?

14 A. RMS stands for Rapporteur Member State.
15 So the European Union, one of the countries will act as
16 the reviewer of all of the data sets. Then they will
17 give it to the European Union that will then put it
18 into what's called a peer-review process. So Germany
19 and Slovakia then drafted this review of the glyphosate
20 studies.

21 Q. And do you know, did Germany and Slovakia
22 have their own team of scientists independent of
23 Monsanto reviewing the testing of glyphosate?

24 A. Yes.

1 Q. Did they also review genotoxicity, animal
2 testing, and other toxicity testing of glyphosate
3 products done by manufacturers of glyphosate products
4 other than Monsanto?

5 A. Yes.

6 Q. At this time, as of 2013, how many other
7 companies in addition to Monsanto were selling
8 glyphosate products?

9 MR. LUNDY: Objection. Hearsay.

10 A. There were a lot of people selling
11 glyphosate products, but there were five other primary
12 manufacturers of glyphosate.

13 Q. (By Mr. Hall) Okay. Fair enough. I
14 should have asked you that question. So there were
15 five other companies that made glyphosate products for
16 sale to consumers and others?

17 A. Yes.

18 Q. And you had previously mentioned that
19 there were two other companies. How did there come to
20 be five, do you know?

21 A. Other companies develop their own what we
22 call the data packages. They took glyphosate and put
23 it through all those regulatory required studies.

24 Q. So with respect to any of those five

1 companies and their testing of glyphosate --
2 genotoxicity, animal studies, whatever it is -- did
3 Monsanto have anything to do with the testing by those
4 five other manufacturers of glyphosate products?

5 A. No.

6 Q. Did -- or does Exhibit 75 include
7 references and information about the glyphosate testing
8 done by other manufacturers of glyphosate products as
9 of 2013?

10 A. Yes.

11 Q. Did -- is that information -- was that
12 information of some importance to you in performing
13 your job at Monsanto?

14 A. Yes.

15 Q. How?

16 A. Now we have several other companies who
17 have a complete data set, and it again shows the
18 consistency in the data with regards to all of the
19 endpoints that it's not genotoxic, it wasn't
20 carcinogenic. Very consistent.

21 And again, we have a whole bunch of other
22 people involved. We have other companies with their
23 glyphosate that they put into different testing
24 laboratories, with different study directors, with

1 different pathologists, in different world areas,
2 different animals. And then we have again a whole new
3 set of different scientists evaluating all of that
4 data.

5 Q. And again, you say complete data set.
6 When you say complete data set, with respect to the
7 question of whether glyphosate causes cancer or not,
8 does the complete data set include genotoxicity and
9 animal testing of glyphosate?

10 A. Yes.

11 Q. And did the scientists -- in the studies
12 of those other five companies that made glyphosate,
13 what were the conclusions of those studies as it bears
14 on the question of whether glyphosate causes cancer?

15 A. It was that there wasn't any genotoxicity,
16 and there was no carcinogenicity.

17 Q. What was the conclusion of the Germany and
18 Slovakia scientists as reflected in Exhibit 75 on the
19 question of whether glyphosate is genotoxic or
20 carcinogenic?

21 A. That it was not genotoxic and it wasn't
22 carcinogenic.

23 Q. Did the conclusions reached about
24 glyphosate in Exhibit 75 help inform Monsanto's views

1 that glyphosate and glyphosate products do not cause
2 cancer?

3 A. Yes.

4 Q. How?

5 A. It was confirmatory. We had our data set
6 that we thought was clear. It was reproduced time and
7 time again by five other manufacturers. It was
8 confirmed by the reviewers of this. And again, this
9 then would allow us to get the registration for our
10 products to sell within the European Union.

11 Q. All right. Now, I'm focusing on the
12 period before -- I can take that. Thank you. Focusing
13 on the period before March 2015. March 2015 is the
14 date of the IARC classification of glyphosate; is that
15 correct?

16 A. Yes.

17 Q. And we've heard that IARC classified
18 glyphosate as a probable carcinogen as of March 2015;
19 is that correct?

20 A. Yes.

21 Q. So focusing on the period before that,
22 we've -- I've asked you about a number of regulatory
23 approvals, EPA and elsewhere in the world before that
24 period. In addition to the ones that I've already

1 asked you about, before March 2015 were there other
2 countries -- scientists in other countries, regulators,
3 who evaluated whether glyphosate and glyphosate
4 products are carcinogenic?

5 A. Yes.

6 Q. Identify some of the countries that
7 evaluated whether glyphosate is carcinogenic or
8 genotoxic before March of 2015, beyond the ones we've
9 already discussed.

10 A. Japan, Canada, Australia.

11 Q. Did you review the reports of those other
12 countries' evaluations of glyphosate?

13 A. Yes.

14 Q. Did those other -- well, what conclusions
15 did Canada, Japan, and the other countries you're aware
16 of who evaluated whether or not glyphosate is
17 carcinogenic before March 2015 -- what did they
18 conclude?

19 MR. LUNDY: Objection. Hearsay.

20 A. That it was not carcinogenic or genotoxic.

21 Q. (By Mr. Hall) Did you evaluate and review
22 and consider the -- that conclusion by the regulators
23 at Canada, Japan, and other countries before March of
24 2015 in your job at Monsanto?

1 A. Yes.

2 Q. How did you consider that information?

3 A. It was the same thing again, that when we
4 would get that report we would look at it and it would
5 be confirmatory of how we viewed the data, how our
6 study directors and pathologists, as well as was
7 consistent with regulators around the world --

8 MR. LUNDY: Let me make a belated
9 objection. I don't know what report --

10 MR. HALL: Well, let's not interrupt the
11 answer.

12 MR. LUNDY: She said report. I don't know
13 what report she's talking about.

14 MR. HALL: I'd appreciate it if you'd give
15 her the courtesy of finishing the answer and not
16 interrupt her --

17 MR. LUNDY: As long as you recognize my
18 objection. I don't want to --

19 MR. HALL: Well, I'm not going to
20 recognize an interrupting objection like that. When
21 she's done with her answer, then that --

22 MR. LUNDY: All right. Well, I've made my
23 objection. Go ahead.

24 [The pending question was read by the

1 reporter.]

2 Q. (By Mr. Hall) Do you have anything to add
3 to that?

4 A. Just again, that it was consistent that
5 there were many other scientists in different countries
6 looking at the same data and coming to the same
7 conclusion.

8 Q. Is consistency and reproducibility and --
9 in the analysis and conclusions of scientific questions
10 important to you as a toxicologist?

11 A. Very important.

12 Q. Explain why that's so important.

13 A. What you're looking for is that if you
14 give a substance -- one of the questions we always ask,
15 is it real, is it reproducible, and is it consistent?
16 So every time that glyphosate has been given to those
17 animals, it comes out that it is not a carcinogen, time
18 and time and time again.

19 So we've seen, what is it, maybe seven
20 regulatory data sets that all show that that
21 consistency, that tells us that that response is real,
22 because sometimes when you do a number of studies over
23 and over again, because of changes in the animals or
24 the changes in test material or some things, you can

1 get variability, or in the interpretation by the
2 pathologist.

3 So this is consistent every time over the
4 30 or 40 years with different manufacturers, very
5 consistent findings.

6 Q. Did the -- I think I asked you this, but I
7 want to make sure the record is clear on this. With
8 respect to the conclusions of -- and the testing done
9 by all five of the companies as of 2015 that were
10 selling glyphosate products in addition to Monsanto --
11 so those five companies. Did Monsanto have any role at
12 all in those tests?

13 A. No.

14 Q. Do you -- did you know when -- did anyone
15 at Monsanto actually know when those tests were done?

16 A. Not until after they were put into the
17 various reviews.

18 Q. Until after those tests were done, did
19 Monsanto have any information about where they were
20 done, the laboratories selected for them?

21 A. Only if we had a summary of the studies.

22 Q. After the studies were done?

23 A. After -- yes. Yes.

24 Q. Did Monsanto have any input at all into

1 the protocol or analysis of the studies at the time
2 they were done when these five other companies had
3 scientists studying glyphosate?

4 A. No.

5 Q. As of March 2015, you've told us about the
6 approvals for glyphosate and the conclusions that it
7 was not carcinogenic in the United States, the European
8 Union, Canada, Japan. Were there other countries that
9 agreed that glyphosate was not carcinogenic and
10 approved it for -- glyphosate products for sale in
11 their countries?

12 A. Yes.

13 MR. LUNDY: Objection. Calls for hearsay.

14 Q. (By Mr. Hall) And is that something
15 you're familiar with in your work at Monsanto,
16 including as a regulatory toxicologist? That is, where
17 regulators have approved glyphosate for sale?

18 A. I am -- that is not my direct role because
19 we have our reg affairs managers, but as the regulatory
20 toxicologist, I am aware of the major reviews and the
21 major approvals.

22 Q. Do you know how many countries, as of
23 March 2015, approved glyphosate for sale within their
24 countries?

1 A. My understanding is it was over 100.

2 Q. Now, you have talked about the testing
3 that Monsanto has done of glyphosate and glyphosate
4 products with surfactants. And you've testified about
5 the testing done by the other manufacturers of
6 glyphosate products. And you've talked about the
7 evaluations of the scientists at the regulators around
8 the world.

9 I want to ask you if you can characterize
10 that volume of testing of a substance. And I'm talking
11 now as of March 2015. Is that a lot, is it a little?
12 Give us some sense, as a toxicologist, about that
13 volume of testing.

14 A. It is a lot. It is an enormous amount of
15 testing.

16 Q. Is it fair to say that glyphosate, to your
17 knowledge, is one of the most tested herbicides ever?

18 MR. LUNDY: Objection. Leading.

19 A. When --

20 Q. (By Mr. Hall) Let me ask a different
21 question. Are you aware of any herbicides, active
22 ingredients, that have been tested more than
23 glyphosate?

24 A. I am not.

1 Q. For a moment, let's shift gears. Now, I
2 would like to bring you up to March 2015, which you
3 told us is the time when IARC made its classification
4 that glyphosate is a probable carcinogen; correct?

5 A. Yes.

6 Q. You have given us some sense of the
7 information the regulators around the world evaluated
8 when they considered glyphosate and whether glyphosate
9 is a carcinogen or not. Are you familiar with the
10 information that IARC considered when it reached its
11 classification decision in 2015?

12 A. Yes.

13 Q. How do you know what IARC considered?

14 A. They have on their preamble that they are
15 going to look at information that's available to the
16 public. We also wanted to provide them studies and
17 reports that we knew were publicly available for them
18 to consider in their review.

19 So that was our understanding, that they
20 would be looking at publicly-available documents,
21 studies, reports, publications, et cetera.

22 Q. All right. So when you say
23 publicly-available information, IARC -- did IARC focus
24 on publicly-available information?

1 MR. LUNDY: Objection. Hearsay.

2 A. Yes.

3 Q. (By Mr. Hall) How do you know that?

4 A. In their preamble, they talk about that.

5 MR. LUNDY: Jeff, let me make the

6 objection as to IARC, and I'll hush up, because we

7 really don't know how the court is going to rule.

8 So I'm going to object to any testimony

9 about IARC as hearsay and object under Rule 801,

10 object -- I mean, and again, I make a 703 objection

11 because she's not qualified as an expert to testify

12 about the hearsay opinions. But subject to that

13 objection, I won't object again and interrupt. Go

14 ahead.

15 Q. (By Mr. Hall) Is it your understanding

16 that IARC focused primarily on public literature in its

17 evaluation of glyphosate in 2015?

18 A. Yes.

19 Q. How do you have that understanding?

20 A. I read the monograph.

21 Q. And the monograph by IARC?

22 A. Yes.

23 Q. And in that monograph, did they describe

24 the information they considered?

1 A. They had the references, yes.

2 Q. Now, of what importance is it to you as a
3 toxicologist if IARC was focused only on the public
4 literature? Why is that of any significance, if it is,
5 to you?

6 A. When there's a big data set that we've
7 talked about that all the regulators looked at, the
8 other one is a lot of times because of -- just the
9 nature of publishing, a lot of people don't want to
10 publish studies that don't have any results. But --

11 Q. Let me interrupt you. Sorry.

12 A. Yes.

13 Q. You said there's a big data set that the
14 regulators have.

15 A. Yes.

16 Q. Is that data set -- and that's the data
17 provided by the testing of Monsanto and the other
18 manufacturers of glyphosate that the regulators
19 evaluated, including in the exhibits we've talked about
20 today. Is that what you're referring to?

21 A. Yes.

22 Q. Is that data set published, publicly
23 available?

24 A. Not readily, maybe through a Freedom of

1 Information Act.

2 Q. Well, is the -- are the Monsanto tests
3 themselves, the actual tests available publicly?

4 A. The reports?

5 Q. Yes.

6 A. No.

7 Q. Are the actual reports the regulators
8 considered from other manufacturers of glyphosate, are
9 they also in the public literature?

10 A. No.

11 Q. So you -- I apologize for interrupting
12 you.

13 A. No, that's fine.

14 Q. But you were explaining why IARC's focus
15 on public literature is, in your view, of some
16 significance when it's evaluating the question of
17 glyphosate and cancer. So go ahead.

18 A. One, they're missing all of that other
19 data. And the other one is a lot of time in published
20 literature, they're usually the publications that have
21 some sort of an adverse effect, so they're not -- a lot
22 of publications that don't have an adverse effect are
23 boring and they don't get published.

24 Q. Did IARC -- you say IARC didn't have

1 access to the data. Did IARC have the data related to
2 the testing, actual testing of glyphosate by Monsanto
3 and other manufacturers -- did IARC have access to
4 summaries of those tests?

5 A. Yes.

6 Q. Why isn't access to the test summaries as
7 good as access to the actual test data?

8 A. I would -- I think they would be, they
9 would give informative information. To me, those
10 publications with the summaries of the studies would
11 then equate to the publications that they used as well
12 in their evaluation.

13 Q. Well, is it better or not to have access
14 to the actual test data as opposed to summaries?

15 A. It's better to have access to the actual
16 data.

17 Q. Why?

18 A. Well, again, because it's just big volumes
19 of data that you can look -- because someone has
20 written that summary, so the regulators want to see
21 that actual study to make sure that that summary is
22 reflective of all of that data that could be thousands
23 of pages.

24 So while summaries are good, it's always

1 nice to be able to go back and look at the actual data
2 behind the summary.

3 Q. You've told us that the regulators, the
4 EPA, the European Union, and the other countries'
5 regulators and their scientists had access to the
6 actual test data of Monsanto and the other companies
7 who make glyphosate products; right?

8 A. Yes.

9 Q. Did the regulators, in the course of their
10 evaluations of glyphosate, also have access to public
11 literature about glyphosate?

12 A. Yes.

13 Q. Now, were you aware before IARC reached
14 its conclusion that IARC generally focused on public
15 literature only in its evaluations?

16 A. Yes.

17 Q. Did the fact -- or did your knowledge that
18 IARC was likely to focus only on public literature in
19 its consideration of glyphosate, did your knowledge
20 before that classification came out cause you some
21 concern about IARC's -- what IARC might find?

22 A. Yes.

23 Q. Explain why.

24 A. Because again, I think a big set of the

1 data was missing, and that IARC tends to classify many
2 things as a carcinogen.

3 Q. And when you say a big class of data was
4 missing, what do you mean missing?

5 A. We were talking about the studies that we
6 submit to the regulatory agencies for their review of
7 the active ingredient and the formulated products.

8 Q. And when you say missing, do you mean it's
9 not part of the public literature?

10 A. Correct.

11 Q. Now, I've asked you about the regulators'
12 consideration of glyphosate and whether or not it's a
13 carcinogen before 2015, and you've told us about IARC's
14 2015 classification of glyphosate as a probable
15 carcinogen.

16 As of March 2015, when IARC made that
17 classification, had any regulatory agency around the
18 world concluded that glyphosate was a carcinogen?

19 A. No.

20 Q. Now, after IARC reached its decision in
21 March 2015, did regulators at the EPA, European Union,
22 and other countries again look at the glyphosate test
23 information and examine the question of whether
24 glyphosate causes cancer?

1 A. Yes.

2 Q. Let's turn to that at this point.

3 MR. HALL: And before we do, let's go off
4 the record for a second.

5 THE VIDEOGRAPHER: We are going off the
6 record at 11:39 AM.

7 [A brief recess was taken.]

8 THE VIDEOGRAPHER: We are back on the
9 record at 12:20 PM.

10 Q. (By Mr. Hall) Good afternoon, Dr. Farmer.

11 A. Good afternoon.

12 Q. Before we broke, we had been -- I had been
13 asking you about the regulatory approvals before 2015,
14 and then I asked you about the IARC classification in
15 2015. And I asked you some questions about what IARC
16 reported it had considered in its classification. Do
17 you recall that?

18 A. Yes.

19 Q. And I was asking about whether -- well,
20 you mentioned that IARC did not have access to the
21 actual test data of glyphosate and glyphosate products
22 of genotox, animal tests, and other tests Monsanto and
23 other manufacturers had done; is that correct?

24 A. Correct.

1 Q. And I was asking you about whether IARC
2 had summary information about those tests done by
3 Monsanto and the other glyphosate product
4 manufacturers. Do you recall that?

5 A. Yes.

6 Q. Now, do you know whether or not IARC had
7 access to summaries of all of the testing of glyphosate
8 and glyphosate products done by Monsanto and other
9 glyphosate product manufacturers?

10 A. I don't know if they had all of them.

11 Q. Do you -- what's your understanding as to
12 whether they did or not?

13 A. My understanding is that they had, say,
14 the JMPR from 2004, so there would have been some
15 summaries from those three registrants. There was
16 the -- I think they had the 1993 RED, so they would
17 have had the summaries of the Monsanto studies, but I'm
18 not -- I don't think they had all of the summaries from
19 all the other registrants.

20 Q. Now, let's move ahead in the chronology to
21 after the IARC classification of glyphosate in 2015;
22 okay?

23 A. Okay.

24 [Exhibit 76 marked for identification.]

1 Q. The reporter has handed you Deposition 76.
2 The first page is an authentication from the United
3 State Environmental Protection Agency, and it's
4 followed then by a memorandum on EPA letterhead dated
5 October 1st, 2015.

6 Are you familiar with this document,
7 Exhibit 76?

8 A. Yes.

9 Q. What is it, please?

10 A. This is the report from the Cancer
11 Assessment Review Committee on glyphosate.

12 Q. What is the Cancer and Assessment Review
13 Committee?

14 A. This is a group of scientists at EPA that
15 look at the data that they need to evaluate whether
16 glyphosate was carcinogenic to humans or not.

17 MR. LUNDY: Just for the record, I'm
18 making the same objection on this document that I've
19 made on the previous documents, that it's -- it's
20 hearsay, and this witness is not qualified as an expert
21 to testify about this hearsay.

22 And so subject to my objection, will you
23 recognize that objection made so I don't have to say it
24 anymore?

1 MR. HALL: Yes, for Exhibit 76.

2 MR. LUNDY: Yeah.

3 Q. (By Mr. Hall) Did the EPA's Cancer
4 Assessment Review Committee -- do you have an
5 understanding of why they evaluated glyphosate again in
6 2015?

7 A. Yes.

8 Q. What's your understanding?

9 A. It was after the IARC review in two -- in
10 March of 2015.

11 Q. Does the -- does Exhibit 76 -- well, is
12 this Cancer Assessment Review Committee sometimes
13 called CARC, C-A-R-C?

14 A. Yes. Yes.

15 Q. Does this CARC report on glyphosate in
16 October 2015 include evaluations of scientific studies
17 on glyphosate?

18 A. Yes.

19 Q. Did this evaluation also include the EPA's
20 review and evaluation -- I should say the EPA's Cancer
21 Assessment Review Committee's -- review and evaluation
22 of epidemiology studies of glyphosate products?

23 A. Yes.

24 Q. Did it include also review of genotoxicity

1 tests not only performed by manufacturers but those in
2 the public literature?

3 A. Yes.

4 Q. What is your understanding -- what did the
5 EPA include in this Cancer Assessment Review Committee
6 report in October 2015?

7 A. That it would be unlikely to be a human
8 carcinogen.

9 Q. And if we turn to Page 10. Does Page 10
10 contain the EPA's conclusion in the first full
11 paragraph?

12 A. In the first -- yes.

13 Q. Can you just read that sentence?

14 A. In accordance with the 2005 guidelines for
15 carcinogen risk assessment, based on the weight of
16 evidence, glyphosate is classified as not likely to be
17 carcinogenic to humans. This classification is based
18 on the following weight of evidence considerations.

19 Q. And then the EPA goes on to explain its
20 rationale?

21 A. Yes.

22 Q. How many EPA scientists signed this Cancer
23 Assessment Review Committee report in October 2015?

24 A. 13.

1 Q. Are those listed on Page 6?

2 A. Yes.

3 Q. Were there any dissenting views of members
4 on the Cancer Assessment Review Committee of the EPA to
5 this report?

6 A. No.

7 Q. Did this CARC review, this EPA Cancer
8 Assessment and Review Committee report, help to inform
9 Monsanto's view that glyphosate and glyphosate products
10 do not cause cancer?

11 A. Yes.

12 Q. How?

13 A. Again, you had experts who are experts in
14 evaluating these studies for cancer. They looked at
15 all the data, the epidemiology, they looked at the
16 animal studies, and they looked at the genotoxicity
17 from other manufacturers, and many of them -- and
18 again, over time a new group of people looking at the
19 data set once again came to the same conclusion.

20 MR. HALL: Need to go off the record for
21 just a second.

22 THE VIDEOGRAPHER: We are going off the
23 record at 12:28 PM.

24 [Discussion off the record.]

1 [Exhibit 77 marked for identification.]

2 THE VIDEOGRAPHER: We are back on the
3 record at 12:28 PM.

4 Q. (By Mr. Hall) Dr. Farmer, you now have
5 Deposition Exhibit 77 in front of you. First page is
6 another EPA authentication, and then the second page
7 has the title glyphosate issue paper, evaluation of
8 carcinogenic potential, EPA's Office of Pesticide
9 Programs, September 12th, 2016.

10 Are you familiar with Exhibit 77?

11 A. Yes.

12 Q. What is it?

13 MR. LUNDY: Let me make my objection
14 before she starts testifying that -- I'll make the same
15 objection under 703 and 801, that this is a hearsay
16 document. She is not qualified as an expert, and
17 therefore shes cannot testify under 703 as to this
18 document. But subject to your agreement that my
19 objection is continuing on any questions with this, I
20 will project again. You agree?

21 MR. HALL: Okay as to Exhibit 77.

22 MR. LUNDY: Thank you.

23 Q. (By Mr. Hall) What is Exhibit 77, Doctor?

24 A. This is the EPA's Office of Pesticide

1 Programs, their glyphosate issue paper, and their
2 evaluation of the carcinogenic potential of glyphosate.

3 Q. What is the EPA's Office of Pesticide
4 Programs?

5 A. That is the group within EPA that
6 evaluates the safety of pesticides.

7 Q. Does the EPA's Office of Pesticide
8 Programs include a different group of scientists than
9 those on the EPA's Cancer Assessment Review Committee,
10 the report we just looked at, Exhibit 76?

11 A. Yes.

12 Q. What is your understanding of the purpose
13 of why the OPP issued this report, this evaluation in
14 2016?

15 A. Glyphosate was undergoing registration
16 review with EPA, which, as we talked about, is the
17 periodic review, when the IARC review and
18 classification came out, and then the CARC, as we
19 talked about, did their analysis on the carcinogenicity
20 of glyphosate, and so now this office is incorporating
21 the CARC review into their review as well of the
22 carcinogenic potential of glyphosate.

23 Q. Beyond incorporating the CARC review into
24 this 2016 OPP evaluation, did the OPP on its own

1 consider additional evidence?

2 A. Yes.

3 Q. Did the OPP evaluation include its own
4 evaluation of the testing of glyphosate and glyphosate
5 products done by Monsanto and other glyphosate
6 manufacturers?

7 A. Yes.

8 Q. Did the scientists at the EPA's Office of
9 Pesticide Programs also consider and evaluate
10 epidemiology studies addressing glyphosate use?

11 A. Yes.

12 Q. Did they evaluate -- those scientists
13 evaluate the genotoxicity testing of glyphosate and
14 glyphosate products?

15 A. Yes.

16 Q. What was the EPA's Office of Pesticide
17 Programs' conclusion in its September 2016 report,
18 Exhibit 77?

19 A. That it was not genotoxic and not
20 carcinogenic.

21 Q. Did the EPA Office of Pesticide Programs'
22 conclusion in 2016 that glyphosate is not genotoxic or
23 carcinogenic help inform Monsanto's views that
24 glyphosate and glyphosate products do not cause cancer?

1 A. Yes. Again, it built on having another
2 group of scientists from a regulatory agency look at
3 the data once again. The data again included other
4 registrants as well as that in the open literature, and
5 they came to the same conclusion that had been before
6 IARC and after IARC.

7 [Exhibit 78 marked for identification.]

8 Q. Dr. Farmer, you have Exhibit 78. First
9 page is an authentication of the EPA, and then the next
10 page is titled revised glyphosate issue paper,
11 evaluation of carcinogenic potential, EPA's Office of
12 Pesticide Programs, December 12th, 2017.

13 A. Yes.

14 Q. Are you familiar with this document?

15 A. Yes.

16 MR. LUNDY: I'll make the same objection
17 for Exhibit Number 78, that it's hearsay and -- under
18 801, and that she is not a qualified expert under 703
19 in which to testify about this hearsay. But subject to
20 your agreement that my objection will be continuing as
21 to any questions about this document, I won't interrupt
22 her further.

23 MR. HALL: Okay for Exhibit 78.

24 MR. LUNDY: Okay.

1 Q. (By Mr. Hall) What is Exhibit 78, Dr.
2 Farmer?

3 A. This is a revised glyphosate issue paper
4 from the EPA's Office of Pesticide Programs on the
5 evaluation of the carcinogenic potential of glyphosate.

6 Q. Do you have an understanding of why the
7 EPA issued this -- the EPA Office of Pesticide Programs
8 issued this revised issue paper?

9 A. Yes.

10 Q. What is that?

11 A. There was a scientific advisory panel that
12 was convened in I believe it was December of 2016, and
13 this then incorporated that input from the SAP, the
14 Scientific Advisory Panel.

15 Q. The Scientific Advisory Panel you're
16 referring to -- what is that?

17 A. It's a group of outside scientists,
18 scientists outside of the EPA, to come in and review
19 what they had done, and then to provide feedback for
20 them.

21 Q. Is it a panel of scientists who gave their
22 views on the EPA's prior evaluations of glyphosate and
23 whether or not glyphosate is carcinogenic?

24 A. Not on the prior review. I believe it was

1 only on the issue paper, the original one in -- before
2 we saw this one.

3 Q. The prior -- by prior review, I meant the
4 2016 Office of Pesticide Programs --

5 A. Yes.

6 Q. -- report.

7 A. Yes.

8 Q. And that was Exhibit 77?

9 A. Yes.

10 Q. So in 2016, the EPA's Office of Pesticide
11 Programs issued its evaluation of glyphosate, Exhibit
12 77, which you just described; right?

13 A. Yes.

14 Q. And then did the EPA convene this Science
15 Advisory Panel of independent scientists to offer that
16 panel's opinion?

17 A. Yes.

18 Q. And then does Exhibit 78 then report on
19 that Scientific Advisory Panel's evaluation?

20 A. Yes.

21 Q. All right. Did this -- does this report,
22 Exhibit 78, include the evaluation of the -- all the
23 testing -- well, the testing by Monsanto and other
24 manufacturers of glyphosate and glyphosate products as

1 to genotoxicity and whether or not it's carcinogenic?

2 A. Yes.

3 Q. What is the conclusion of the EPA's Office
4 of Pesticide Programs in this December 2017 report?

5 A. It's not genotoxic and unlikely to be
6 carcinogenic.

7 Q. Did this revised EPA Office of Pesticide
8 Programs revised issue paper, in which they considered
9 the Scientific Advisory Panel's input, that group of
10 independent scientists, did their conclusion as to --
11 that glyphosate was not genotoxic or carcinogenic help
12 inform Monsanto's views that glyphosate and glyphosate
13 products do not cause cancer?

14 A. Yes.

15 Q. Explain how, please.

16 A. Well, you had the original issue paper
17 reviewed by a panel of outside scientists, and they
18 were able then to incorporate their comments and review
19 and the office of OPP once again came back with that
20 information and input too, that it wasn't carcinogenic
21 or genotoxic once again.

22 Q. Why is that significant to Monsanto?

23 A. It's consistent. As we're seeing time and
24 time again, people review the same data, different

1 scientists look at it, they get different input, and so
2 it's different people over time looking at data that
3 was developed over many, many years, very consistent in
4 how they interpret the data, and then very consistent
5 in their conclusions of not genotoxic and not
6 carcinogenic.

7 [Exhibit 79 marked for identification.]

8 Q. Dr. Farmer, you have Exhibit -- Deposition
9 Exhibit 79, and it's titled EFSA, European Food Safety
10 Authority, conclusion of pesticide peer review, and it
11 refers to glyphosate in the title. Are you -- let me
12 put the Bates numbers in. It's MONGLY01418304 through
13 01418410.

14 Are you familiar with this document?

15 A. Yes.

16 MR. LUNDY: I'll make the same objection
17 on Exhibit Number 79 that I've made on the previous
18 exhibits, that it's hearsay, and that she is not
19 qualified as an expert to rely on this hearsay to give
20 opinions that she's been giving throughout the
21 deposition under Rule 703. And so if you'll
22 acknowledge that as a continuing objection, I won't
23 make it again.

24 MR. HALL: I will for Exhibit 79.

1 MR. LUNDY: Thank you.

2 Q. (By Mr. Hall) What is Exhibit 79, Doctor?

3 Well, did I ask you -- are you familiar with it?

4 A. Yes, I am familiar with it.

5 Q. What is it?

6 A. This is the conclusion of the peer review
7 on the pesticide risk assessment of the active
8 substance glyphosate, it says in the title, by EFSA,
9 the European Food Safety Authority.

10 Q. What's the date of this conclusion?

11 A. This is 2015. I'm trying to look at the
12 actual -- October -- I can't tell the date.

13 Q. Is it your understanding this is after
14 March 2015?

15 A. Yes.

16 Q. Tell us a bit about who EFSA is, this
17 European Food Safety Authority. I don't think we've
18 heard that yet.

19 A. So that is a regulatory authority that
20 represents Europe. Each country then would have their
21 own regulatory authority. As we saw in the document
22 where we had the rapporteur member state as Germany,
23 Germany has its own regulatory authority. EFSA then is
24 the umbrella over all of the regulatory authorities in

1 Europe.

2 Q. How does EFSA's review of glyphosate
3 relate to the European Union review that was performed
4 in the first incidence by Germany and Czechoslovakia
5 that you referred to earlier?

6 A. So this -- what happens is when you have a
7 substance like glyphosate, a country is then charged --
8 as we saw, the rapporteur member state -- to put the
9 review and summarize all the studies and review the
10 data and put that together. Then it's given to EFSA
11 and EFSA then takes that, and as it talks about, it's a
12 peer review, so all the other countries get to look at
13 what that rapporteur member state wrote, and they get
14 to review it and comment on it.

15 Q. So the rapporteur state we're talking
16 about was Germany -- and I misspoke -- the
17 co-rapporteur was Slovakia?

18 A. Slovakia.

19 Q. And that was Exhibit 75; right?

20 A. Yes.

21 Q. Is -- and that was the renewal assessment
22 report that you testified about earlier. Is Exhibit 79
23 then a peer-reviewed evaluation of Exhibit 75?

24 A. It's the conclusion of the peer review.

1 Q. And by peer review, what does that mean
2 here? What's your understanding of that?

3 A. That the other member states, their
4 scientific experts got to then review what was written
5 by the rapporteur member state.

6 Q. So does Exhibit 79 contain the evaluation
7 and conclusions of a different set of scientists in
8 Europe than those who reported in Exhibit 75 the
9 European document put together by Germany and Slovakia?

10 A. They were the same.

11 Q. They were the same scientists?

12 A. No, they were different scientists. I'm
13 sorry.

14 Q. A different group at EFSA is
15 peer-reviewing the Germany work?

16 A. Yes. Yeah.

17 Q. Does Exhibit 79 contain the evaluation of
18 this group of scientific peers as to the testing by
19 Monsanto and other manufacturers of glyphosate and
20 glyphosate products?

21 A. Yes.

22 Q. What was the conclusion reached by this
23 EFSA peer review in 2015?

24 A. Unlikely to pose a carcinogenic hazard to

1 humans.

2 Q. And that's referring to glyphosate?

3 A. Yes.

4 Q. Did the conclusion of this group of peer
5 review scientists in Europe in 2015 that glyphosate is
6 unlikely to pose a carcinogenic hazard to humans -- did
7 that inform Monsanto's view that glyphosate and
8 glyphosate products did not cause cancer?

9 A. Yes.

10 Q. Explain how.

11 A. Once again, we have another group of
12 scientists who are evaluating the data that would be
13 looking -- supporting the evaluation of carcinogenicity
14 and genotoxicity, and they again looked at all the same
15 data that people had before, a new group of scientists,
16 and have consistently come up with the same conclusion.

17 [Exhibit 80 marked for identification.]

18 Q. Dr. Farmer, I want to ask you about
19 Deposition Exhibit 80, which you now have. And it's
20 titled pesticide residues in food, 2016, toxicological
21 evaluations, sponsored jointly by FAO and WHO.

22 Are you familiar with Exhibit 80?

23 A. Yes.

24 Q. What is it?

1 A. This is a evaluation by a special session
2 of the -- what we call the JMPR, the joint meeting of
3 the FAO and the WHO panels.

4 Q. Earlier you testified about the JMPR's
5 evaluation in 2004; correct?

6 A. Correct.

7 Q. And you told us that JMPR is the arm of
8 the World Health Organization with primary
9 responsibility for evaluating the safety of pesticides;
10 is that true?

11 A. Yes.

12 Q. And are they -- does Exhibit 80 reflect
13 their evaluations of glyphosate in 2016 after IARC came
14 out with its classification?

15 A. Yes.

16 Q. Did --

17 MR. LUNDY: Let me make my objection now
18 as to any questions about Exhibit 80 that she's relying
19 on inasmuch as under 703 she's not a qualified expert
20 to rely on hearsay evidence, and pesticide residues,
21 which -- it's another un-Bates-stamped document -- or
22 is it? Is that JMPR -- is that a Bates stamp number at
23 the -- I don't think it is. At the bottom of it.

24 But anyway, she's relying on this, and

1 under 801 it's hearsay. Subject to my objection, if
2 counsel will agree that that objection will be
3 continuing, I won't object again on questions involving
4 Exhibit Number 80.

5 MR. HALL: Okay for Exhibit 80.

6 [The pending question was read by the
7 reporter.]

8 Q. (By Mr. Hall) And again, in Exhibit 80,
9 is the JMPR, this arm of the World Health Organization,
10 evaluating the scientific test data from the
11 genotoxicity testing and animal testing of glyphosate
12 and glyphosate products done by Monsanto and other
13 manufacturers of glyphosate products?

14 A. They would be more looking at the
15 glyphosate.

16 Q. Okay. And why is there a slightly
17 different focus? This is -- it says -- it refers to
18 food in 2016.

19 A. Yeah, they are looking at the safety of
20 the active ingredient, and then the residues that would
21 be found in commodities that would then be made into
22 food.

23 Q. All right. And did they report an
24 evaluation on the question of whether glyphosate is a

1 carcinogen to humans?

2 A. Yes.

3 Q. What was their conclusion?

4 A. That it was not.

5 Q. Did this conclusion by the JMPR in 2016
6 that glyphosate was unlikely to be a carcinogen or a
7 risk of cancer to humans inform Monsanto's view that
8 glyphosate and glyphosate products did not cause
9 cancer?

10 A. Yes.

11 Q. How?

12 A. Once again, you had another complete group
13 of scientists looking at all the data after IARC, and
14 so they could consider what IARC looked at as well as
15 looking at the data, looked at the data consistently
16 like everyone else has and has consistently come to the
17 same conclusion that it wasn't carcinogenic.

18 Q. Did the JMPR in Exhibit 80 have a
19 different set of scientists than those involved in the
20 EFSA review in Exhibit 79 -- and in fact those involved
21 in any other of the regulatory reviews we've talked
22 about?

23 A. They had -- I think there was one who was
24 involved in the JMPR twice, but the other ones to my

1 knowledge were new and different.

2 [Exhibit 81 marked for identification.]

3 Q. Dr. Farmer, the reporter has handed you
4 Exhibit 81. First page is on the letterhead of an
5 organization called the European Chemicals Agency, and
6 it has ECHA.

7 And that is a -- the first page appears to
8 be a authentication -- or I'm sorry -- yeah, it's an
9 authentication that an official opinion, official
10 report follows. And the report is titled ECHA,
11 E-C-H-A, committee for risk assessment opinion, and it
12 has a lot of words and it includes glyphosate. And it
13 says it's dated March 15th, 2017.

14 Are you familiar with this document?

15 A. Yes, I am.

16 Q. Who --

17 MR. LUNDY: Let me make my objection for
18 the record on Exhibit Number 81 that it's a hearsay
19 document under 801, that she's not qualified as an
20 expert to give an opinion and rely upon hearsay
21 evidence under 703, 701, 702.

22 So that's my objection. If you'll --
23 counsel, if you'll make it -- acknowledge it as
24 continuing, I won't interrupt you during the series of

1 questions on Exhibit Number 81.

2 MR. HALL: Okay for Exhibit 81. I don't
3 think I asked a question at this point, so if I did, I
4 withdraw it.

5 Q. (By Mr. Hall) First, who is ECHA,
6 E-C-H-A? Is that pronounced -- am I pronouncing it
7 right?

8 A. Yes. ECHA. Yeah. This is another agency
9 within the European Union, and they are now looking at
10 what has come out of the EFSA peer review as to whether
11 this substance should be, as they talk about it,
12 classified in some way, and then how that would affect
13 the labeling of that product.

14 Q. So this is a European organization that is
15 evaluating the peer review done by EFSA in Exhibit 79?

16 A. Yes.

17 Q. And you're familiar with Exhibit 81?

18 A. Yes.

19 Q. And is this -- did this involve a review
20 of glyphosate by yet another group of scientists?

21 A. Yes.

22 Q. And did they review the testing data,
23 genotoxicity and animal testing and other testing of
24 glyphosate and glyphosate products by Monsanto and

1 other manufacturers of glyphosate?

2 A. Yes.

3 Q. What conclusion did this -- did ECHA reach
4 in 2017 on the question of whether or not glyphosate is
5 a carcinogen?

6 A. They concluded that there was no -- they
7 did not need to classify it for carcinogenicity.

8 Q. Did ECHA also include epid -- I'm sorry.
9 Did ECHA also evaluate epidemiology studies concerning
10 glyphosate and glyphosate products?

11 A. I believe they did. I don't know for
12 sure.

13 Q. Let me see if I can refresh your memory on
14 Page 52.

15 A. Oh, yes. Uh-huh. Yep.

16 Q. ECHA did --

17 A. Yes, they did.

18 Q. -- did evaluate epidemiological data
19 concerning glyphosate products?

20 A. Yes, they did.

21 Q. Did this conclusion by yet another group
22 of scientists in 2017 as to -- on the question of
23 whether glyphosate is carcinogenic, including the
24 review of epidemiology studies of glyphosate

1 products -- did that help inform Monsanto's view that
2 glyphosate and glyphosate products did not cause
3 cancer?

4 A. Yes.

5 Q. How did it do that?

6 A. As you can see, the European Union, you
7 have three steps that you have to go through. You have
8 to go through the rapporteur member state review. You
9 have to go through the EFSA state review. You have to
10 go through the EFSA peer review, then you have to come
11 to ECHA.

12 So each group and organization is looking
13 at that same data and asking is that conclusion still
14 consistent at each of those levels, and then once they
15 get to ECHA they conclude that you don't need to
16 classify that.

17 So again, it informed us that the data is
18 consistent across the studies, it's consistent across
19 the years, and it's consistent when it's evaluated with
20 all kinds of different scientists from different
21 organizations.

22 Q. I've been asking you about these
23 evaluations of glyphosate -- glyphosate products after
24 the IARC classification in 2015. Beyond those that

1 you've testified to so far after 2015, have there been
2 other regulatory groups, scientists, other regulators
3 in other countries who also looked again at the
4 question of whether glyphosate is a carcinogen after
5 the IARC classification?

6 A. Yes.

7 Q. What are some of those countries?

8 MR. LUNDY: Objection. Hearsay.

9 A. You can look at Canada, Korea, Japan.

10 Q. (By Mr. Hall) Are you familiar with the
11 regulatory evaluations by those other countries in
12 connection with your work at Monsanto?

13 A. Yes.

14 Q. Now, you had previously told us in
15 addition to Monsanto there were five other
16 manufacturers of glyphosate in the last 15 to 20 years
17 or so who have also done their own testing, independent
18 of Monsanto, of genotoxicity testing and animal testing
19 of glyphosate and glyphosate products; correct?

20 A. Yes.

21 Q. Did there come to be another company who
22 also -- that also began selling glyphosate products
23 that also did that kind of testing?

24 A. Yes.

1 Q. Where was that company?

2 A. It was in Japan. We found it through the
3 Japanese review.

4 Q. And did the Japanese regulators consider
5 testing done by that seventh manufacturer of glyphosate
6 products in connection with its review after 2015 of
7 glyphosate?

8 MR. LUNDY: Objection. Hearsay.

9 A. Yes.

10 Q. (By Mr. Hall) All right. I'm going to
11 shift gears again, Dr. Farmer. I want to go back for
12 just a minute to Exhibit 68, which is the chart titled
13 surfactants, genotoxicity studies conducted by
14 Monsanto. And you walked us through the contents of
15 that chart earlier; correct?

16 A. Yes.

17 MR. LUNDY: Bear with me just a second.

18 MR. HALL: Sure.

19 MR. LUNDY: Let me get this out. Thank
20 you. Go ahead.

21 MR. HALL: You all set?

22 MR. LUNDY: Yeah.

23 Q. (By Mr. Hall) And you told us -- you
24 walked through the information, Exhibit 68. I want to

1 ask you, were you involved in preparing the chart, the
2 information in that chart, Exhibit 68?

3 A. Yes.

4 Q. Are you familiar with all of the
5 genotoxicity studies of surfactants that are listed in
6 that chart?

7 A. Yes.

8 Q. Were you able to verify the correctness of
9 the information about those studies that is presented
10 in Exhibit 68?

11 A. Yes.

12 Q. And you say you're familiar with those
13 studies. Did you review those studies in the course of
14 your work at Monsanto --

15 A. Yes, I --

16 Q. -- as a regulatory toxicologist?

17 A. Yes, I have.

18 Q. Is that chart to the best of your
19 knowledge an accurate report of the genotoxicity
20 studies done by Monsanto on surfactants?

21 A. To the best of my knowledge.

22 Q. All right. Let me hand you Exhibit 69,
23 which is a chart titled formulated products,
24 genotoxicity studies, conducted by Monsanto. And

1 earlier you walked us through the contents of that
2 chart. I want to ask you, were you involved in
3 preparing that chart?

4 A. Yes.

5 Q. The studies there that are listed, the
6 genotoxicity studies of formulated products, are you
7 familiar with those studies?

8 A. Yes.

9 Q. Did you -- did you verify the accuracy of
10 the information about those studies that's presented on
11 Exhibit 69?

12 A. Yes, to the best of my ability.

13 Q. And you said you're familiar with the
14 studies. Are those studies that you have reviewed in
15 the course of your work for Monsanto as a regulatory
16 toxicologist?

17 A. Yes.

18 Q. Is Exhibit 69 an accurate chart listing --
19 does Exhibit 69 present an accurate account of the
20 information related to genotoxicity studies conducted
21 by Monsanto of formulated products?

22 A. To the best of my knowledge.

23 Q. Move to a different subject now, Dr.
24 Farmer. You used the word stewardship early on when

1 you were describing your role as a regulatory
2 toxicologist for Monsanto. Remind us again, please,
3 what do you mean by stewardship?

4 A. So we talked about there were two buckets.
5 The regulatory side, we're required to do things for
6 our regulators for our products. And then there's
7 another side called product stewardship, and the first
8 baseline of product stewardship is follow all rules and
9 regulations.

10 And then the next one is what do we do
11 above and beyond that, and that's what we mean by
12 stewardship.

13 Q. And when you say two buckets, are you
14 referring to the two primary areas of your role as a
15 regulatory toxicologist at Monsanto?

16 A. Yes.

17 Q. And that's regulatory and stewardship?

18 A. Yes.

19 Q. Let me show you a document that you were
20 asked about by the plaintiff's lawyer. It's marked as
21 Deposition Exhibit 23. And it is a April 2002 e-mail
22 thread that involves you and others at Monsanto;
23 correct?

24 A. Yes.

1 Q. And you're familiar with this document?

2 A. Yes, I am.

3 Q. When you were asked questions by the
4 plaintiff's lawyer, you referred to a
5 four-part stewardship program. Do you remember that?

6 A. Yes, I do.

7 Q. I want to ask you about those -- the four
8 parts there. If you turn to Page 2 of Exhibit 23.
9 That's part of an e-mail that you sent to Dr. Heydens
10 and Richard Dirks; correct?

11 A. Correct.

12 Q. And in that e-mail you talk about the
13 stewardship program for glyphosate as a four-part
14 strategy.

15 Do you see that?

16 A. Yes.

17 Q. And I want to walk through those
18 four-part -- those four parts. First let me ask you,
19 is this e-mail the first time that four-part strategy
20 was referred to?

21 A. No, I think they've always had those
22 stewardship points, but I was kind of putting more of a
23 formality to it, but they had always been in some form.

24 Q. And I want to walk through each step in

1 the strategy. The first one is to publish relevant
2 toxicologic, ecotoxicological, and human information
3 about glyphosate in the peer-reviewed literature, like
4 Williams, Geisy, Acquavella.

5 Do you see that?

6 A. Yes.

7 Q. What is that referring to as part of the
8 stewardship program, this publishing of relevant
9 literature?

10 A. As we had talked about, we had our
11 regulatory studies that are not available for -- out in
12 the public as they exist. But we wanted then people to
13 know what were the findings of those studies -- how
14 were those studies conducted and what were the
15 findings.

16 And so that's why we then had the Williams
17 and the Geisy. Williams was human health, Geisy was
18 ecological, and then Acquavella was the farm family
19 exposure study, talking about what we knew about how
20 farmers were exposed to glyphosate.

21 So we wanted to get our regulatory
22 information out there, and as we had new data, new
23 information, we wanted to make that publicly available
24 to all of those who wanted to take a look at it.

1 Q. Williams, Geisy, and Acquavella refer to
2 articles that were published by -- published about
3 Monsanto testing data of glyphosate?

4 A. Yes. And there were others. This is just
5 an example.

6 Q. And why was it important to disseminate
7 this information about glyphosate testing to the world?
8 That's what you're doing when you're publishing;
9 correct?

10 A. Uh-huh. Uh-huh.

11 Q. Why was that part of the stewardship
12 program?

13 A. Glyphosate is used worldwide. A lot of
14 people have questions and curiosity about it, and we
15 wanted to make sure that we had our information out
16 there for people to take a look at. They may not be
17 able to get into the EPA website to look at the RED, so
18 we wanted to be able to have another form that they
19 could be able to get access to our information.

20 Q. And when you say access to the EPA website
21 to look at the RED, what are you referring to?

22 A. So when we talked about the EPA
23 registration eligibility document, a lot of just the
24 general public, that's not somewhere where they would

1 go to look for that kind of information, so we wanted
2 to be able to have these kinds of articles for people
3 to use across society.

4 Q. The second part of the four-part testing
5 after publishing toxicological and other information
6 about glyphosate was reviewing -- you wrote review the
7 literature regularly for glyphosate findings and
8 respond when appropriate. Hardell, Stocco, and some
9 others you list there. What is that referring to?

10 A. As we talked about, glyphosate, glyphosate
11 products were widely used, and a lot of people had
12 access to do testing with them and we were interested
13 in what people were finding, and could we also
14 communicate with them and contribute to information
15 about what they were learning, and learn about what
16 they were discovering as well.

17 Q. In your view why was it important for
18 Monsanto to review the literature about glyphosate and
19 studies done by others?

20 A. I think two reasons. One, we wanted to
21 know what they were finding so that we would be aware
22 of it, and another is sometimes there would be some
23 misinformation or other things that were being
24 published that didn't have the full picture of

1 information, and we felt it was important to be able to
2 watch all of that.

3 Q. Part 3 of the four-part strategy you wrote
4 about in this e-mail is establishing a scientific
5 network of prestigious --

6 THE VIDEOGRAPHER: Do you want me to zoom
7 in?

8 MR. HALL: Sorry.

9 Q. (By Mr. Hall) Scientists in key world
10 areas and provide them the latest information about
11 glyphosate. We have epi -- that's epidemiology?

12 A. Yes.

13 Q. Tox, toxicology. Exp. What's that?

14 A. Exposure.

15 Q. Exposure. Repro/dev?

16 A. Yeah, reproductive and developmental
17 toxicology.

18 Q. Clinical tox experts. What are you
19 referring to there, establishing this network of
20 prestigious scientists?

21 A. I think there's two things to look at with
22 this. One was to have them give us their opinion of
23 what they thought the data was, what we could do to
24 improve it, give us their input, their evaluations, and

1 the other one is they would be available if there were
2 questions by others around the world and they would
3 also have information to be able to interact with
4 others and communicate the science.

5 Q. Part 4 is assess data gaps and fund
6 appropriate research, and then it refers to three
7 things that I'm not sure what they refer to. So why
8 don't you explain what Part 4 is?

9 A. So the three things you're looking at
10 there -- the FFES is the farm family exposure study.
11 We recognize that while --

12 Q. Sorry, before you even get to that --

13 A. Yes.

14 Q. -- describe what assess data gaps and
15 fund appropriate research means.

16 A. What we would do is we would look out
17 there and ask is there any information that's missing
18 that we think would contribute to the overall
19 understanding of our product, and so that would be
20 considering looking at data gaps.

21 Q. All right. And now, what do FFES, MON
22 35050, and Stocco refer to?

23 A. So FFES -- sorry -- farm family exposure
24 study, and then Stocco was an author of an in vitro

1 study, and then MON 35050 was a formulation that there
2 were some studies conducted with that.

3 Q. All right. And so let's take them one at
4 a time. What is the farm family exposure study?

5 A. Well, we had information on people who use
6 it as part of their job, what we would call
7 occupational exposure. We did not have exposures to
8 farmers on what their exposure would be when they're
9 using it on their farm.

10 So that was recognized, we felt, as a data
11 gap -- what was the exposure that farmers had. So we
12 participated with a task force and helped fund that
13 particular exposure study.

14 Q. Did that fill that data gap?

15 A. Yes, it did.

16 Q. All right. MON 35050. What does that
17 refer to?

18 A. That was a formulation that was used by
19 some authors in Italy. They had injected the test
20 material, the formulation, 35050, directly into the
21 abdomen of the animals, and we felt the results of
22 those studies were because the formulation, which is
23 not a relevant round of exposure to people to have it
24 directly injected into their abdomen -- what would

1 happen if you gave it to them orally.

2 Q. So Monsanto conducted its own tests that
3 tried to replicate the Italian study you're referring
4 to?

5 A. Replicate it and then to do the oral
6 exposure.

7 Q. And was that a study required in any way
8 by regulators?

9 A. No.

10 Q. What does Stocco refer to?

11 A. That is an author of an in vitro study,
12 and there were some effects on cells that were in
13 vitro, and we believe that the results of that effect
14 were due to the surfactant in our formulated product,
15 and so we worked with a professor at another university
16 where we tested the product without Roundup -- without
17 glyphosate in it, and then we tested other surfactants
18 and found we had the exact same response.

19 Q. Did Monsanto, in your experience of
20 Monsanto, follow each of these four steps as part of
21 its stewardship program?

22 A. We did.

23 Q. Were you personally involved in that?

24 A. Absolutely.

1 Q. Over what period of time has Monsanto
2 participated or implemented and followed this four-part
3 stewardship program?

4 A. Stewardship is -- product stewardship has
5 been around way before I got there. I think I
6 formalized it, because that was kind of for me to do
7 that that way, but it was followed again before me
8 without this formalization. This is how I was working
9 with it, and it's continued through today.

10 MR. HALL: Let's take a break.

11 THE VIDEOGRAPHER: We are going off the
12 record at 1:11 PM.

13 [A brief recess was taken.]

14 THE VIDEOGRAPHER: We are back on the
15 record at 1:32 PM.

16 Q. (By Mr. Hall) Dr. Farmer, you've
17 testified this afternoon about various regulatory
18 evaluations and conclusions done after IARC's 2015
19 classification; right?

20 A. Yes.

21 Q. And this included Exhibit 76, which is the
22 EPA CARC evaluation?

23 A. Yes.

24 Q. Correct?

1 A. Yes.

2 Q. Exhibit 77, which is the 2016 EPA OPP
3 evaluation?

4 A. Yes.

5 Q. Exhibit 78, which is the 2017 EPA IPP
6 evaluation?

7 A. OPP. OPP.

8 Q. OPP. Thank you. Exhibit 79, the EFSA,
9 the European conclusion, peer-reviewed conclusion from
10 2015?

11 A. Yes.

12 Q. Exhibit 80, the JMPR 2016 evaluation?

13 A. Yes.

14 Q. And the -- Exhibit 81, the ECHA, the
15 European Chemicals Agency, 2017 evaluation of
16 glyphosate; correct?

17 A. Yes.

18 Q. The reason I listed those, I wanted to ask
19 you, as to each of those evaluations from 2015 through
20 2017, did you rely on those evaluations in the course
21 of your work at Monsanto?

22 A. Yes.

23 Q. How so?

24 A. Again, we would look -- I would look at

1 them, because I knew a lot of the data I was involved
2 in, some of the data that was put into those, and I
3 would look at them and I would be asked questions about
4 glyphosate safety, and I would be talking about what
5 conclusions ECHA came to or the JMPR, so I would use
6 them on a routine basis in terms of, again, supporting
7 our understanding of glyphosate safety with the
8 confirmation from all of those other agencies.

9 Q. I want to move to a different topic. In
10 the course of your career as a scientist, Dr. Farmer,
11 have you been the author of articles published in
12 scientific journals?

13 A. Yes.

14 Q. About how many articles have you been an
15 author for?

16 A. Maybe around 20.

17 Q. Now, beyond those 20 articles where you've
18 been an author, have you in the course of your career
19 provided help, contributions, support of -- requested
20 support of -- related to scientific articles where you
21 were not the author?

22 A. Yes.

23 Q. And when you've been the author, have you
24 obtained support and help in the writing of a

1 scientific article?

2 A. Yes.

3 Q. Give us an example of typically the kind
4 of help you might get when you're an author of an
5 article.

6 A. It can range from someone just
7 proofreading it. We have quality assurance people that
8 just look and make sure the numbers are transposed --
9 that they are not transposed, they're correct. We'll
10 have people writing, putting in really substantial
11 intellectual information in there or writing up their
12 experiments in there.

13 So it can range from somebody just reading
14 it to make sure it kind of makes sense, so there's a
15 real wide range of people that participate in putting a
16 publication together.

17 Q. And in your experience is it appropriate
18 to identify as an author every person who provides help
19 in any way or assistance to the authors of an article?

20 A. No.

21 Q. In your mind what is the difference
22 between being the author of an article as opposed to
23 someone who is supporting and helping the authors of an
24 article?

1 A. It really is about substantive
2 intellectual contribution within that publication.
3 It's about the designs of the studies, it's about the
4 conduct of the studies, it's about the interpretation
5 of the studies, it's about the conclusions. It's
6 really the robust intellectual input that those people
7 put in -- are the authors.

8 Q. In your entire career at Monsanto, have
9 there been any articles where you made those kind of
10 contributions, those that qualify as authorship, where
11 you were not identified as an author of the article?

12 A. No.

13 Q. In your entire career at Monsanto, have
14 there been any articles where you provided the
15 supporting role, whether you were providing information
16 or background or writing a few sentences here or there,
17 but not providing the substantial intellectual
18 contribution of an author? Were there any articles
19 where you were in that supporting role where Monsanto
20 was not mentioned or acknowledged in the article?

21 A. No.

22 Q. Are you aware of any articles about
23 glyphosate or Roundup in which the scientific
24 conclusions expressed in the articles were not the

1 conclusions of the identified authors of the article?

2 A. No.

3 Q. I want to shift now to Dr. Parry. You
4 were asked about Dr. Parry when the plaintiff's lawyer
5 had a chance to ask you that. Do you recall that?

6 A. Yes, I do.

7 Q. Remind us now, who was Dr. Parry?

8 A. He was a genotoxicologist in the United
9 Kingdom.

10 Q. And when did Monsanto contact Dr. Parry?

11 A. I believe it was in late 1998, early 1999.

12 Q. Tell us again why Monsanto reached out to
13 Dr. Parry, this genotox expert.

14 A. There were four publications in the open
15 literature that we wanted Professor Parry to take a
16 look at for us.

17 Q. And why did you want Professor Parry to
18 look at these four published articles?

19 A. They were articles that had concluded that
20 glyphosate and/or a formulation was genotoxic, and we
21 believed that just the conditions of the study had
22 brought those results to bear, and we were interested
23 in getting Professor Parry's opinion on the studies.

24 Q. By the way, you say these articles are

1 published. Would the EPA and regulators around the
2 world have had access to those articles?

3 A. Yes, they would have.

4 Q. Now, you told us that Dr. Parry issued a
5 first report in early 1999. Do you recall that?

6 A. Yes.

7 Q. Do you recall the approximate date of his
8 first report?

9 A. I think it was in February, early that
10 year.

11 Q. 1999?

12 A. 1999, yes.

13 Q. So this would be several months after you
14 had contacted him?

15 A. Yes.

16 Q. Describe what that report, that first
17 February 1999 report of Professor Parry was in
18 substance.

19 A. It was really just a summary of what the
20 authors of the publications had said.

21 Q. The authors of the four articles that you
22 were describing?

23 A. Yes.

24 Q. Is a summary of those four articles what

1 you were looking for from Professor Parry?

2 A. No.

3 Q. Why not?

4 A. We were expecting a clinical analysis of
5 the studies, how they were conducted, what was the
6 interpretation of the data. We were looking for his
7 critical analysis of the studies.

8 Q. All right. And after you got this first
9 report from Professor Parry which was more or less a
10 summary of the four articles, what did Monsanto do
11 next?

12 MR. LUNDY: Objection to the form of the
13 question, to leading.

14 A. Professor -- it made us realize that
15 Professor Parry wasn't aware of a lot of the data that
16 we had that would help inform him to some of the
17 recommendations and comments he had, so we provided a
18 number of studies for Professor Parry to look at.

19 Q. (By Mr. Hall) And then after Monsanto
20 provided studies for Professor Parry to look at after
21 his initial February 1999 report, did he send Monsanto
22 a second report?

23 A. Yes.

24 Q. I've handed you Deposition Exhibit 43,

1 which the plaintiff's lawyer asked you about. And it's
2 titled evaluation of the potential genotoxicity of
3 glyphosate, James M. Parry. Is Exhibit 43 Dr. Parry's
4 second report?

5 A. Yes.

6 Q. And this evaluation -- do you know the
7 date of the evaluation?

8 A. I think it was later on, maybe towards
9 April of 1999. I don't remember the exact date.

10 Q. If you look at the page --

11 A. Oh, there's something on the back.

12 Q. By the way, does Exhibit 43 contain things
13 that are not his report?

14 A. Oh, this looks like August. Looks like
15 August. Kind of confusing.

16 Q. Before we get to that -- for example,
17 Exhibit 43 contains a documented Bates number 269 --
18 MONGLY01314269.

19 Do you see that?

20 A. Yes.

21 Q. Was that part of Professor Parry's report?

22 A. No.

23 Q. So --

24 A. And there's also 268.

1 Q. Okay. So there may be some things in
2 addition to the report included in Exhibit 43; is that
3 accurate?

4 A. Yes. Yes.

5 Q. Turning to Exhibit 43, the Bates number
6 ending 270.

7 Do you see that?

8 A. 270. Sorry.

9 Q. Take your time.

10 A. Yes.

11 Q. What is that page?

12 A. It looks like a letter to Mark Martens
13 from Professor Parry.

14 Q. And it reports that his evaluation --
15 Professor Parry's is enclosed?

16 A. Yes.

17 Q. Does that refresh your memory as to the
18 date of Dr. Parry's second evaluation here?

19 A. Yes, it does.

20 Q. And what is that date?

21 A. August of 1999.

22 Q. Now, in this second evaluation, this
23 August 1999 evaluation, did Professor Parry have some
24 recommendations?

1 A. Yes.

2 Q. Let me ask you about those. If we turn to
3 the Bates numbers 265 in his report, it says actions
4 recommended.

5 Do you see that?

6 A. Yes.

7 Q. And he lists a number of recommendations
8 there?

9 A. Yes.

10 Q. Now, when -- did you review these
11 recommendations when Monsanto received this evaluation
12 in August of 1999?

13 A. Yes.

14 Q. What was your reaction when you read
15 through -- the recommendations are listed, am I right,
16 A through I on Pages 265 -- Bates number ending 265 and
17 266 in Exhibit 43. Is that right?

18 A. Yes.

19 Q. Those recommendations when you reviewed
20 them, what was your reaction at the time?

21 A. Again that I think that we -- Professor
22 Parry wasn't aware of the full extent of the data that
23 we had in genotoxicity.

24 Q. Did you think that the -- some of the

1 recommendations made sense?

2 A. Yes.

3 Q. Had Monsanto actually done many of the
4 things he was recommending here?

5 A. Yes, we had.

6 Q. Did Monsanto have underway some projects
7 that would address some of these recommendations as
8 well?

9 A. Yes.

10 Q. We'll come back to Dr. Parry's August 1999
11 recommendations in just a minute. Let me first ask you
12 about Deposition Exhibit 44 the plaintiff's lawyer
13 asked you about. Exhibit 44 is -- it contains e-mails
14 from September -- August and September 1999; correct?

15 A. Yes.

16 Q. All right. And you're on this e-mail
17 thread; is that true?

18 A. Yes.

19 Q. And just so we set the stage a little bit,
20 the e-mail thread starts on Page 2 with an e-mail from
21 Dr. Wratten to Dr. Martens and you and copying others?

22 A. Yes.

23 Q. And Dr. Wratten sends this e-mail and says
24 to you I was somewhat disappointed in the Parry report.

1 This is dated August 31, 1999. Not particularly from
2 his conclusions, but just the way they're presented.

3 Do you see that?

4 A. Yes.

5 Q. First, did you understand Dr. Wratten was
6 referring to Dr. Parry's second evaluation in August
7 1999, Exhibit 43?

8 A. Yes, that was my understanding.

9 Q. What did you understand when he said I was
10 disappointed in the report, not particularly from the
11 conclusions, but just the way they're presented?

12 MR. LUNDY: Object to the -- to hearsay.
13 You're asking her to interpret his mind.

14 Q. (By Mr. Hall) I'm asking you to interpret
15 your mind. What did you understand?

16 A. It was --

17 MR. LUNDY: Same objection.

18 A. When we see reports like this, it's
19 usually a lot more a critical analysis, a lot more
20 in-depth information, and the formatting of it wasn't
21 what we were used to seeing.

22 Q. (By Mr. Hall) And then on the next
23 page -- is it Dr. Wilson?

24 A. Yes.

1 Q. And what was Dr. Wilson's role at
2 Monsanto?

3 A. He was one of our metabolism experts.

4 Q. He forwards to you a comment about Dr.
5 Parry's evaluation. It says two options, work closely
6 were Parry -- i.e., someone other than Mark -- or get
7 someone else.

8 Do you see that?

9 A. Yes.

10 Q. And you then respond, one option, I agree
11 we need someone else to interface with Parry.

12 Do you see that?

13 A. Yes.

14 Q. So I first want to ask you -- when Dr.
15 Wilson said two options, work closely with Parry,
16 someone other than Mark -- who's Mark?

17 A. Mark Martens, Dr. Mark Martens, was one of
18 the toxicologists in Europe.

19 Q. For Monsanto?

20 A. For Monsanto.

21 Q. And do you understand -- when you said you
22 agree, we need someone else to interface with Parry
23 other than Dr. Martens, why -- what was behind that?

24 A. It was -- we had as we were talking about

1 the breadth of the data, and Mark is a general
2 toxicologist and not a genotoxicologist.

3 Q. Let me pause there. What was Dr. Parry, a
4 genotoxicologist?

5 A. Yes.

6 Q. And Dr. Martens was a general
7 toxicologist?

8 A. Yes.

9 Q. What's the difference?

10 A. So Professor Parry, his specialty area is
11 genetic toxicology. Mark would know genetic toxicology
12 on a more superficial level.

13 Q. And you said you agree, we need someone
14 else to interface with Parry, and you go on to say
15 right now the only person I think that can dig us out
16 of this genotox hole is the good Dr. "Keer."

17 Do you see that?

18 A. "Kyer."

19 Q. "Kyer." Excuse me. Let me first ask you,
20 who is the good Dr. Kier?

21 A. Dr. Kier was our genotox expert at
22 Monsanto.

23 Q. Why did you think that Dr. Kier would be
24 better to work directly with Professor Parry than Dr.

1 Martens, the general toxicologist?

2 A. I think that Dr. Parry's questions and
3 comments and recommendations would be better understood
4 by someone who knew that area as well as Dr. Parry did.

5 Q. So Dr. Kier and Dr. Parry perhaps spoke
6 the same language a little better than Dr. Martens did
7 in your view?

8 A. Yes. Yes.

9 Q. So you say the only person I think that
10 can dig us out of this genotox hole is the good Dr.
11 Kier. What do you mean when you said genotox hole
12 there?

13 A. Well, as we talked about with Professor
14 Parry's report, I was concerned that there was a
15 lack -- at the very end is those recommendations -- a
16 lack of completeness of all of the data and the science
17 that existed to that day, and so I felt that Dr. Kier
18 then could help point out the different kinds of
19 studies that we had either conducted before or were
20 ongoing that could address Professor Parry's
21 recommendations.

22 Q. Did Dr. Parry's recommendations to your
23 understanding reflect that he was not aware of other
24 testing Monsanto had done?

1 MR. LUNDY: Object. You're asking the
2 witness to testify to the state of mind on another
3 person who's dead now, and so I'm objecting to the
4 question.

5 Q. (By Mr. Hall) I'll reframe the question.
6 Did you draw any conclusions about whether or not Dr.
7 Parry's recommendations included steps that -- and
8 tests and studies that in fact Monsanto had already
9 done?

10 A. Yes.

11 Q. Did -- were you concerned that his
12 evaluation in August 1999 in which he made those
13 recommendations could give the impression that that
14 work hadn't been done?

15 A. Yes.

16 Q. When you used the term genotox hole, is
17 that what you're referring to?

18 A. Yes.

19 Q. By genotox hole, did you mean that there
20 was some hole in the scientific knowledge or study --

21 MR. LUNDY: Objection. Leading.

22 MR. HALL: Let me finish the question if
23 you would.

24 MR. LUNDY: Well, the last three questions

1 have been leading, so I'm objecting now.

2 MR. HALL: But you know what? That
3 doesn't give you the right to interrupt, so --

4 MR. LUNDY: Finish your question and I'll
5 make my objection.

6 MR. HALL: Let me speak. Let's not
7 interrupt each other; okay?

8 [The pending question was read by the
9 reporter.]

10 MR. LUNDY: Objection. Leading.

11 MR. HALL: Do you want to say it again?

12 Q. (By Mr. Hall) By genotox hole, what did
13 you mean?

14 A. I was not concerned that there was any
15 doubt that glyphosate was not genotoxic or the
16 formulations or their surfactants. It was -- there was
17 information missing for Professor Parry to take a look
18 at.

19 Q. When you got the recommendations in his
20 August 1999 evaluation, did you take a close look at
21 them?

22 A. Yes.

23 Q. Now, when the plaintiff's lawyer asked you
24 questions, and -- about Monsanto's interactions with

1 Dr. Parry, do you recall whether he asked you anything
2 about any interactions with Dr. Parry after September
3 1999?

4 A. Not that I remember.

5 Q. Did in fact Monsanto continue to work with
6 Dr. Parry after September 1999, after receiving his
7 recommendations in the August 1999 evaluation?

8 A. Yes.

9 Q. What happened next?

10 A. We continued to work with Professor Parry
11 over the next year or two, and then a meeting was set
12 up to talk with Professor Parry in person about the
13 different responses to his recommendations.

14 MR. HALL: Need to go off the record for
15 just a minute.

16 THE VIDEOGRAPHER: We are going off the
17 record at 1:53 PM.

18 [A brief recess was taken.]

19 THE VIDEOGRAPHER: We are back on the
20 record at 1:55 PM.

21 Q. (By Mr. Hall) After Monsanto received Dr.
22 Parry's recommendations in August 1999, did Monsanto
23 take steps to consider and address the recommendations?

24 A. Yes.

1 [Exhibit 82 marked for identification.]

2 Q. Showing you Exhibit 82, which is a kind of
3 oversized four-page chart titled Dr. Parry's
4 recommendations, 8-18-99. What is this chart?

5 A. As you had mentioned earlier in the
6 report, there were letters of recommendations A, B
7 through -- I think it was I. And so what this is
8 talking about is --

9 MR. LUNDY: I'm going to interrupt you to
10 make an objection. This is not a document that's been
11 produced, and she's going to testify about something
12 that is a hearsay document that hasn't been produced,
13 and --

14 MR. HALL: That's all you need to say,
15 isn't it?

16 MR. LUNDY: All right. So I object to any
17 questions about the document --

18 MR. HALL: Yeah. The speaking objections
19 don't really -- if you just give the basis of your
20 objection, we'll get through it quicker.

21 MR. LUNDY: All right. I'll do that.
22 It's hearsay. It's contrary to the court's order. It
23 hadn't been produced. It's not Bates-stamped. All of
24 those are my objections.

1 [The pending question was read by the
2 reporter.]

3 Q. (By Mr. Hall) What is this chart, Exhibit
4 82, Dr. Parry -- Dr. Farmer? Excuse me.

5 A. This has Dr. Parry's recommendations of A
6 through -- I think it's I, included on here, and then
7 as we talked about, we continued to work with Professor
8 Parry and look at what data we had that would be
9 responsive to each of those points.

10 Q. Does Exhibit 82 provide in the bold quotes
11 next to letters A through I the actual recommendations
12 by Dr. Parry in August 1999?

13 MR. LUNDY: Same objection.

14 A. Yes.

15 MR. HALL: You can have a standing
16 objection for Exhibit 82 along the lines --

17 MR. LUNDY: All right. Any question
18 regarding this document I'm objecting.

19 MR. HALL: Right, and it's a standing
20 objection to 82.

21 MR. LUNDY: Thank you.

22 Q. (By Mr. Hall) I think you said it
23 includes Dr. Parry's actual language of his
24 recommendations in A through I from August 1999?

1 A. In the bold, yes.

2 Q. And generally what is the information --
3 just to orient us as to the chart, what is the
4 information provided after each of the bold quoted
5 recommendations of Dr. Parry?

6 A. Those were information that was -- studies
7 or information existing at that time that would address
8 that particular recommendation, and then below it you
9 can see that following that there would be -- these
10 studies continued ongoing and they would -- we would
11 have studies that would continually over time still
12 address Professor Parry's recommendations.

13 Q. Let's break that down a little bit. As a
14 general matter after each recommendation, is there --
15 are there listed studies that address the
16 recommendation?

17 A. Yes.

18 Q. And there is for some of the responsive
19 information below the recommendations a line that says
20 that it divides the information, and it has 8-18-99,
21 for example, in response to Recommendation A/B on Page
22 1.

23 A. Yes.

24 Q. What does that line, 8-18-99, indicate?

1 A. So the studies above the line were studies
2 that were available at the time when we were working
3 with Professor Parry that addressed his Endpoints A and
4 B. Those below the line are studies that have been
5 generated over years following with Professor Parry
6 that would still then be addressing his points in A and
7 B.

8 Q. And 8-18-99 indicates the date of Dr.
9 Parry's recommendations?

10 A. That was the August -- yes.

11 Q. Now, who prepared this chart, Exhibit 82?

12 A. I worked with the lawyers on this.

13 Q. Right. Who is -- who put together the
14 content of the chart?

15 A. That would have been me.

16 Q. Did you check each of the entries --

17 A. Yes.

18 Q. -- on Exhibit 82?

19 A. Yes.

20 Q. Let's walk through the chart, and at some
21 level of detail, and you can just describe, please,
22 what the information represents. First, let me ask
23 you -- Recommendations A and B on Page 1 of Exhibit 82
24 are combined together. Why does the chart include both

1 A and B in that first entry?

2 A. It just is representing all of the
3 different studies that are involved in in vitro
4 cytogenetic studies.

5 Q. Were A and B, his recommendations, related
6 to each other?

7 A. Yes. He had -- one was in vitro
8 cytogenetic data on the glyphosate formulations, and
9 then he talks about in vitro micronucleus studies in
10 human lymphocytes, and that's another type of a in
11 vitro cytogenetic study.

12 Q. Did the information that's provided below
13 Recommendations A and B -- it's in three different
14 buckets, it looks like. Cytogenetic assays?

15 A. Yes.

16 Q. In vivo test for chromosomal aberrations
17 in mammals.

18 Do you see that?

19 A. Yes.

20 Q. And studies evaluating DNA damage. Those
21 three areas.

22 Do you see that?

23 A. Yes. Yes.

24 Q. Why the three buckets? What is this

1 information -- how does this information at a general
2 level address Dr. Parry's Recommendations A and B?

3 A. What he was talking about at the very top
4 was cytogenetic data. We can have studies that are in
5 vitro, like we talked about before, and we can have it
6 in mammals. He also talked about he wanted to have --

7 Q. Dr. Farmer, excuse me. Slow down a little
8 bit for our court reporter.

9 A. Oh, pardon -- I'm so sorry.

10 Q. Go ahead. Sorry to interrupt.

11 A. Professor Parry talked about providing in
12 vitro cytogenetic studies, those that are in petri
13 dishes. There are other studies that are in vivo that
14 provide the same information in a whole animal.

15 Q. As a general matter in the field of
16 toxicology, all things equal, is in vitro superior to
17 in vivo evidence, in vivo superior to in vitro, or does
18 it matter? Can you generalize?

19 A. In vivo would be considered a higher order
20 of study.

21 Q. Why is that?

22 A. It's in a whole animal, and whole animals
23 have the ability to do repair as well as everything
24 else, and how the test material might get to them. So

1 it's considered a more robust assay.

2 Q. Than in vitro, which is --

3 A. Than in vitro.

4 Q. -- in a petri dish?

5 A. Yes.

6 Q. Is that why you included in vivo
7 information in response to Recommendations A and B?

8 A. Yes, because we really did more in vivo
9 studies than we did in vitro studies back in those
10 times.

11 Q. All right. Moving to the third page,
12 which is -- includes Recommendations C and D. Do those
13 list below those recommendations work that was
14 available to Monsanto or that Monsanto did addressing
15 those recommendations?

16 A. Yes.

17 Q. Why for Recommendation E of Dr. Parry is
18 there an N/A there?

19 A. Because he did not recommend any
20 additional work for that particular endpoint.

21 Q. What was that endpoint? What do you mean
22 by endpoint?

23 A. He said he did not recommend repeat of any
24 sister chromatid exchange studies. As you see, he said

1 there was -- the data that we provided him will take
2 priority over that.

3 Q. Tell us at a general level what the
4 information following Recommendation F shows.

5 A. So he had recommended doing a COMET assay,
6 and we had other assays that we believed would address
7 the same endpoint. We didn't use a COMET assay, but we
8 had other assays that responded to the same endpoint.

9 Q. Why didn't you use a COMET assay?

10 A. In our experience in that time, the COMET
11 assay didn't have a guideline, it wasn't a robust
12 protocol, there were some -- you could put 30 minutes
13 on a StairMaster and get a positive COMET assay, so we
14 felt that the studies that we had were a better study
15 at that time to respond to his endpoint.

16 Q. What were the studies that you had at that
17 time?

18 A. We --

19 Q. What kind were they?

20 A. Yeah. They -- you can see down there the
21 Shirasu study was one of them, in 1978 had been done
22 before. It's a type of a bacterial assay. And then
23 there was another study in Li and Long that addressed
24 that same endpoint.

1 Q. Were the types of assays used in the
2 information below Recommendation F superior, the same
3 as, or inferior in quality to COMET assays, in your
4 view?

5 A. I would say at that particular time that
6 they were better than.

7 Q. For G and H they is N/A. Is that because
8 in G and H he really didn't have any recommendations?

9 A. Correct.

10 Q. Then on the last page is Recommendation I,
11 which is provide comprehensive in vitro data on
12 surfactants. Describe that information generally and
13 explain to us why you have both in vitro data and in
14 vivo data in Chart 82.

15 A. His recommendation was provide the
16 comprehensive in vitro data on surfactants of which we
17 did have a number of studies, but as we talked about a
18 minute ago, we felt that the whole animal study was a
19 more robust study, and we had a lot of studies -- we
20 had a number of studies on that that we provided for
21 him as well.

22 Q. Does Exhibit 82 contain an accurate
23 summary of testing that is in your view responsive to
24 the recommendations of Dr. Parry that existed both

1 before and after August 1999?

2 MR. LUNDY: Object to asking her a
3 legal -- I mean, an expert opinion on this.

4 MR. HALL: Go ahead.

5 MR. LUNDY: For all the reasons I've
6 stated earlier. That's my objection.

7 A. Yes.

8 Q. (By Mr. Hall) Now, you said Dr. Parry
9 continued -- I'm sorry, Monsanto continued to work with
10 Dr. Parry after September 1999.

11 [Exhibit 83 marked for identification.]

12 Q. Handing you Exhibit 83, which is a
13 two-page series of e-mails, Bates number MONGLY02626553
14 to 6554. These are e-mails from February 2001.

15 Are you familiar with these e-mails, Dr.
16 Farmer?

17 A. Yes.

18 Q. Did you receive them back in February
19 2001?

20 A. Yes.

21 Q. Now, this is about a year-and-a-half after
22 Dr. Parry's August 1999 report?

23 A. Yes.

24 Q. And some two -- more than two years -- is

1 it more than two years after you originally contacted
2 him?

3 A. We started around late 1998, early 1999.

4 Q. And at the top the subject line says
5 meeting Professor Parry, February -- 15 February 2001.

6 Do you see that?

7 A. Yes.

8 Q. And do you recall receiving a report of a
9 meeting with Dr. Parry that Monsanto had back in
10 February 2001?

11 A. Yes.

12 Q. All right. Let's turn to the second page
13 of Exhibit 83, which is an e-mail from Richard Garnett
14 to you and others at Monsanto. Who is Richard Garnett?

15 A. He was our reg affairs manager in Europe.

16 Q. And he's reporting on this meeting with
17 Professor Parry; is that correct?

18 A. Correct.

19 Q. And he -- I want to just walk through this
20 report of this meeting with Professor Parry. He
21 reports to you and others that the overall tone of the
22 meeting was positive after a negative start, because
23 Professor Parry found the tone of the Williams and
24 Cantox paper to be very dismissive of other

1 researchers' work and overdefensive in his attitude.

2 The presentation of the results of the MON
3 3505 study changed the mood because it clarified
4 certain effects found in the Bolognesi and Peluso
5 papers.

6 Do you see that?

7 A. Yes.

8 Q. I want to see if we can translate that
9 from toxicology to language that those of us who aren't
10 toxicologists could better understand. You mentioned
11 earlier this MON 3505 study, and it's referred to here
12 as changing the mood because it clarified effects found
13 in Bolognesi and Peluso.

14 What are Bolognesi and Peluso papers,
15 first of all, referred to there?

16 A. Those are two of the four studies that we
17 asked Professor Parry to review the first time we
18 contacted him.

19 Q. And what did the MON 3505 study -- what
20 was it? Remind us again what is that. Who did it and
21 what is it?

22 A. It's the code, the MON number for our
23 Italian formulation.

24 Q. And the study is a study by whom?

1 A. So this was a study -- we were -- we
2 didn't believe there was --

3 Q. First question, sorry. Who did this
4 study?

5 A. Monsanto.

6 Q. Monsanto did this MON 3505 study?

7 A. Yes.

8 Q. What did the MON 3505 study address?

9 A. We wanted to know how the findings in the
10 Bolognesi study and Peluso studies, how they came out
11 the way they did.

12 Q. And those were two of the four studies
13 that when you saw published in the literature caused
14 you some questions?

15 A. Yes.

16 Q. And at a general level, what was it about
17 those studies that caused you to wonder about them and
18 to reach out to Dr. Parry?

19 A. They were showing there was some evidence
20 of genotoxicity and another endpoint we would call
21 oxidative stress, but the interesting part for us, one
22 we wouldn't normally see those findings, was that the
23 animals were injected this formulation directly into
24 their abdomens.

1 Q. As opposed to eating the material?

2 A. Yes.

3 Q. And why does it matter if the animals --
4 or why did it cause you to wonder about these tests if
5 the animals were injected in the abdomen with
6 glyphosate as opposed to eating it?

7 A. We had always based on the data concluded
8 that glyphosate and the formulations were not
9 genotoxic, and so we felt it was just the conditions of
10 the study, the injection into the abdomen, as to why
11 they were getting the findings that they were getting.

12 Q. And what did the MON 3505 study address as
13 it relates to these Bolognesi and Peluso papers where
14 the animals were injected in the stomach with
15 glyphosate?

16 A. One of the things that we did is we
17 repeated their study. We actually injected the
18 formulation directly into the abdomen of the animals,
19 but what we did also is we did an evaluation of the
20 condition of the animal's abdomen, and we found that
21 there was -- there was gunk, is all I can -- stuff
22 sitting on the livers and the kidneys of the animals.

23 We then did an evaluation of the condition
24 of those livers and the kidneys and found that they had

1 been damaged by that precipitation by this white
2 material that had been sitting on both of those organs.

3 And we believed that the findings that
4 they were getting from the liver and the kidney that
5 were representing genotoxicity were really secondary to
6 the liver and the kidney being hurt by the physical
7 presence of that material just sitting on them.

8 Q. Was that a result of the fact that they
9 had been injected in the abdomen with the glyphosate?

10 MR. LUNDY: Objection. Leading.

11 Q. (By Mr. Hall) Well, explain -- why did
12 you reach the conclusion that it was -- the cell damage
13 observed was the result of the material on the surface
14 of the cell as opposed to something else?

15 A. Because then we repeated the study, and we
16 gave it to them orally and we did not have the same
17 results.

18 Q. There was no genotoxicity as a result of
19 that study?

20 A. We did not see damage of the livers and
21 the kidneys, no.

22 Q. Did you have an understanding why -- well,
23 first of all, the MON 3505 study, was that done as a
24 result of Dr. Parry's recommendations?

1 A. No, we had started doing that prior to
2 Professor Parry.

3 Q. Was he aware that Monsanto was doing that
4 study when he init -- when he provided his
5 recommendations in August 1999?

6 A. I do not believe he was aware.

7 Q. Do you have an understanding of what Dr.
8 Garnett meant when he said the results of that study
9 changed the mood within the meeting with Dr. Parry?

10 MR. LUNDY: Objection. Hearsay. Asking
11 her to testify to the state of mind of another person.

12 A. I think Professor Parry was pleased to see
13 that we had undertaken that study and repeated the
14 study, did a different study, and were able to explain
15 the findings.

16 Q. (By Mr. Hall) Moving down in Dr.
17 Garnett's e-mail. He lists some results, and I just
18 want to ask you about a few of the results, the main
19 ones. When he listed the results of this meeting with
20 Professor Parry, to your understanding what were the
21 most significant ones that you saw here?

22 A. I think the very first one that says
23 acceptance that glyphosate is not genotoxic.

24 Q. What other results of this meeting with

1 Dr. Parry did you find significant, to your
2 understanding of glyphosate?

3 A. The recognition of the difference of the
4 toxicity between the IP or intraperitoneal and oral
5 routes.

6 Q. And that has to do with the MON 3505
7 study --

8 A. Yes.

9 Q. -- 35050 study that you just described?

10 A. Yes.

11 Q. All right. Any other significant results
12 to your understanding as it relates to glyphosate and
13 genotoxicity testing in this summary?

14 A. The last one, no longer requested any
15 studies on the final formulation.

16 Q. All right. And did you understand these
17 results reflected Dr. Parry's views at the conclusion
18 of this meeting?

19 A. Yes.

20 Q. Now, after this 2001 meeting occurred with
21 Dr. Parry, did you happen to see Dr. Parry yourself at
22 any time?

23 A. Yes.

24 Q. Tell us about that.

1 A. I was at a symposia I think around in 2002
2 at Greene's College in the UK. Professor Parry was an
3 invited speaker.

4 Q. He was an invited or was not?

5 A. He was an invited speaker to present on
6 genotoxicity, and I had an opportunity to see him at
7 that meeting.

8 Q. How was your interaction with Dr. Parry at
9 that --

10 MR. LUNDY: I'm going to object insofar as
11 she's going to testify about anything that he said
12 inasmuch as he's dead, it's hearsay and it's not
13 admissible, and in violation of due process as we can't
14 interview him, talk to him, or depose him.

15 [The pending question was read by the
16 reporter.]

17 Q. (By Mr. Hall) How was the -- describe the
18 interaction with Dr. Parry that you had in 2002.

19 A. It was very cordial.

20 Q. Dr. Farmer, I want to ask you now -- in
21 your more than 25 years of working as a toxicologist at
22 Monsanto, how do you assess the quality of the
23 scientific work you have been involved in and seen at
24 the company related to glyphosate and glyphosate

1 products?

2 MR. LUNDY: Object insofar it calls for an
3 expert opinion. She's not qualified. Subject to my
4 objection, she can answer.

5 A. Could you repeat --

6 Q. (By Mr. Hall) I'll ask the question
7 again. Based on your 25 years, more than 25 years of
8 working as a toxicologist at Monsanto, and your many
9 years of working with glyphosate and glyphosate
10 products, I want to ask you to describe for the jury
11 how you assess the quality of the scientific work you
12 were involved in at the company and you saw firsthand
13 as it related to glyphosate and glyphosate products.

14 MR. LUNDY: Same objection.

15 A. I -- we have always acted on believing in
16 sound science and high-quality science, and in looking
17 at it from a broad perspective, I'm very proud of what
18 we've done because I do believe it has been high
19 quality and very solid science.

20 MR. HALL: I have no further questions at
21 this time. Thank you, Dr. Farmer.

22 THE VIDEOGRAPHER: Going off the record?

23 MR. HALL: Yeah.

24 THE VIDEOGRAPHER: We are going off the

1 record at 2:19 PM.

2 [A brief recess was taken.]

3 THE VIDEOGRAPHER: We are back on the
4 record at 2:33 PM.

5 QUESTIONS BY MR. LUNDY:

6 Q. Dr. Farmer, my name is Hunter Lundy. I
7 think we met for the first time back in September of
8 last year when I sat through the first day of your
9 deposition taken by Mr. Brent Wisner. Do you recall
10 that?

11 A. I do now that you mention it.

12 Q. And then there was a second day and then
13 there's today when your counsel has asked you questions
14 up until almost 2:30; is that correct?

15 A. Let me see what time it is. Yes.

16 Q. Between the September deposition and
17 today, how much preparation have you done to answer the
18 questions of your counsel and to prepare for our
19 recross?

20 A. I met with them a couple of times.

21 Q. And you're aware as we're sitting here
22 that Mr. Wisner is next door taking the 30(b)6
23 deposition of Monsanto, who they produced Mr. Bill
24 Reeves as the witness?

1 A. I've been told that.

2 Q. Have you had any conversations with Mr.
3 Reeves in preparation for your deposition?

4 A. No.

5 Q. Have you had any conversations with him
6 about anything since before September and today?

7 A. Kids and family and work, but not about
8 this.

9 Q. Well, work involves Monsanto, doesn't it?

10 A. Yes.

11 Q. And so what is your relationship with Mr.
12 Reeves in your work atmosphere?

13 A. I'm in the product safety center, and he
14 is in the regulatory policy and scientific affairs
15 group.

16 Q. Was that the previous group that you were
17 in before you were moved to the product safety, or is
18 that the -- have you been in the group that you're in
19 now always?

20 A. I had been in the stewardship group
21 before.

22 Q. Is there still a stewardship group?

23 A. Yes.

24 Q. And who heads that up now?

1 A. I believe it's -- the job that I had?

2 Q. Yeah.

3 A. Darrel Armstrong.

4 Q. And was he a Bayer person or a Monsanto
5 person?

6 A. He was a Monsanto person.

7 Q. When you went to the new department that
8 you're in now, was that a promotion or a lateral move?

9 A. It was a slight promotion.

10 Q. I want to go back to a couple of exhibits
11 that you were questioned on. I want to start with what
12 was marked as Exhibit Number 44 originally in the first
13 day of deposition. Let's go to the top of that. Can
14 you see that?

15 A. I --

16 Q. -- part of it where it says --

17 A. I can't read that from here.

18 Q. You can't read it from there?

19 A. No.

20 Q. How's that? Can you read it now?

21 A. Yes. I have a little bit of a difference
22 in my eyes, so I see a little bit of double vision from
23 far away.

24 Q. Can you see it now?

1 A. I can do -- yes, it will take me a while,
2 but I can do it.

3 Q. So there's an e-mail from Alan to you on
4 September the 2nd, 1999.

5 Do you see that?

6 A. Yes.

7 Q. And Alan Wilson was the -- he's the
8 genotoxicologist that you discussed a while ago?

9 A. I'm sorry. Can you repeat that?

10 Q. Is he the genotoxicologist?

11 A. Who?

12 Q. Alan Wilson.

13 A. No.

14 Q. He's not? Who is Alan Wilson? What's
15 his --

16 A. He was our metabolism expert.

17 Q. And so who was the genotoxicologist that
18 you mentioned a while ago?

19 A. Larry Kier.

20 Q. Incidentally, did Larry Kier help you in
21 the preparation of Exhibit Number 82?

22 A. No.

23 Q. So you didn't involve a genotoxicologist
24 in preparing your exhibit that -- one that your lawyers

1 helped you prepare for today?

2 A. I was the one who talked about the genotox
3 studies in there. I did not talk to Larry about that.

4 Q. But you all got Larry involved to interact
5 with Dr. Parry when you were unhappy with his first
6 report; is that correct?

7 A. I wouldn't say that we were unhappy with
8 Dr. Parry's report. We wanted to be sure that we had
9 Professor Parry -- all the information that he needed.

10 Q. Let's read the e-mail. It says if Larry
11 has the time that would be great, but be careful we
12 don't get into another Cantox situation. That could
13 take some time wordsmithing and reaching consensus.

14 Do you see that?

15 A. I see that.

16 Q. So what kind of wordsmithing and reaching
17 consensus did you all have to do in the Cantox
18 situation?

19 A. I don't know what Alan meant by that.

20 Q. So you didn't ask him when you got this
21 e-mail?

22 A. I don't remember.

23 Q. I certainly think it would be valuable to
24 resolve points of clarity. Maybe you should invite

1 Parry to St. Louis to get him more familiarized with
2 the complete database.

3 Do you see that?

4 A. I see that.

5 Q. And neither you nor Alan Wilson knew how
6 to spell Dr. Parry's name, did you?

7 A. This is Dr. Wilson's e-mail.

8 Q. Well, look below. It's yours. You
9 spelled it the same way, didn't you?

10 MR. HALL: She can't -- we're going to go
11 to that? If you're going to refer to another
12 part of --

13 Q. (By Mr. Lundy) Well, if you look at your
14 e-mail that you responded to Alan --

15 MR. HALL: We can't see it, sir.

16 MR. LUNDY: Sorry. How's that? Can you
17 see it now?

18 A. Yes.

19 Q. (By Mr. Lundy) You all didn't know Dr.
20 Parry?

21 A. Yes, we -- I did not know Professor Parry
22 at that time personally, but Mark Martens did.

23 Q. And you agree that both you and Alan
24 Wilson didn't know how to spell his name?

1 A. Unfortunately we did not have the correct
2 spelling of Professor Parry's name.

3 Q. And then in responding to Mr. Wilson's
4 e-mail to you, you said one option, I agree we need
5 someone else to interface with Parry. Right now the
6 only person I think that can dig us out of this
7 genotoxic hole is the good Dr. Kier. Did I pronounce
8 that right?

9 A. No.

10 Q. Is it "Kerr" or "Keer"?

11 A. "Kyer."

12 Q. "Kyer." So I got it wrong just like your
13 counsel did. Other option, I'm concerned about leaving
14 Parry out there with this as the final project, his
15 final impressions. If you remember his report, he was
16 looking for work for a graduate student. I wonder if
17 this evaluation was his or someone else's.

18 Do you see that?

19 A. I see that.

20 Q. What did you find out when you talked to
21 him, whether or not it was his report or a graduate
22 student's report?

23 A. I didn't ask him.

24 Q. Now, you did have a meeting with a number

1 of other scientists with him after he issued the second
2 report, didn't you?

3 A. There were other people that met with him
4 later on, yes.

5 Q. And you never asked him if the first
6 report was from him or a graduate student?

7 A. I was not involved in that meeting.

8 Q. It says maybe -- the next sentence says
9 maybe you, Bill, Larry, Steve, and I can get together
10 to figure out where and how we go from here. Steve's
11 opinion of the report was pretty clear. He also
12 suggested as an opinion (sic) to drop Parry.

13 Do you see that?

14 A. I do.

15 Q. So what was -- what did Steve say?

16 A. About what? I'm sorry. I don't
17 understand.

18 Q. Well, it says Steve's opinion of the
19 report was pretty clear. He also suggested as an
20 option to drop Parry. So what was Steve's opinion?

21 A. I think we read it a little bit, that he
22 wasn't -- he was comfortable with his conclusions but
23 thought that the formatting and the style he wasn't
24 happy with.

1 Q. Are all of Steve's opinions reflected on
2 this e-mail of August 31, 1999?

3 MR. HALL: You know, if you're going to
4 ask her about that, I want to get her a copy of it,
5 because right now she can't see the full e-mail.

6 MR. LUNDY: I'll just hand her that one.

7 Q. (By Mr. Lundy) Are all of Steve's
8 opinions reflected on that August 31, 1999, e-mail?

9 MR. HALL: Objection. Foundation.

10 Q. (By Mr. Lundy) If you know.

11 A. All I have is what Steve wrote here.

12 Q. At the time of your September the 2nd,
13 1999, e-mail to Alan Wilson, Monsanto had already
14 received the second report of Dr. Parry?

15 A. I believe that's what this is referring
16 to.

17 Q. The second report?

18 A. Yes.

19 Q. So you had the first report and you had
20 the second report when you wrote the e-mail that says
21 we need to dig our way out of the genotoxic hole; is
22 that correct?

23 A. That's what I wrote there, yes.

24 Q. Let me ask you about this document here.

1 What lawyers prepared this document for you, this
2 Exhibit Number 82?

3 MR. HALL: Objection. Argumentative.

4 A. They didn't prepare it for me. I worked
5 with them on preparing that.

6 Q. (By Mr. Lundy) And where did you do this
7 work?

8 A. I did it in my office, pulling out the
9 different recommendations and looking what different
10 studies that we had that -- at that time and looking at
11 some e-mails that we had that we discussed what would
12 be meeting the recommendations of Professor Parry.

13 Q. Were they in your office working with you
14 in your office or were you all e-mailing each other
15 back and forth, or how did the creation of this
16 document come about?

17 A. They were not sitting in my office. I
18 have a cubicle, so we would sit together and go over
19 hard copies and discuss it, and I would point out
20 different things and recommend movements and say what
21 fit in what bucket and how it should be displayed.

22 Q. And what lawyers were you working with on
23 this?

24 A. I worked with one of them from

1 Hollingsworth.

2 Q. And who was that?

3 A. Joe Altieri.

4 Q. Anybody else?

5 A. No.

6 Q. Who in your office was working on this?

7 A. No one.

8 Q. Just you?

9 A. Yes.

10 Q. You didn't have any help from anybody
11 else?

12 A. No.

13 Q. Did you type it?

14 A. I had typed an original format, and that
15 has been typed since that time -- retyped.

16 Q. So the original preparation you did, was
17 it edited?

18 A. No, we discussed different formats, and
19 then we came to this decision that this would be the
20 formatting.

21 Q. And so what was your format that you
22 prepared?

23 A. It was more of an Excel spreadsheet with
24 just lines across it versus something like this, but

1 it's an evolution that -- the input of the studies in
2 here, those are mine, and what then is attributed to
3 each of Professor Parry's recommendations is my
4 recommendations for those.

5 Q. Now, Dr. Parry is deceased, is he not?

6 A. Unfortunately, yes.

7 Q. Do you know when he died?

8 A. No, I don't.

9 Q. When did you see him and have this cordial
10 meeting in the UK? When was that?

11 A. I believe it was around 2002.

12 Q. I'm going to go back to -- let me look
13 at -- I'm going to show you Exhibit Number 23.

14 MR. HALL: Excuse me for just a minute.

15 Do you mind if I take these here, then maybe I can find
16 them as you go and show the witness, help you along the
17 way?

18 MR. LUNDY: Okay. That might work.

19 MR. HALL: Is that 23?

20 MR. LUNDY: Yes.

21 [Discussion off the record.]

22 MR. HALL: Go ahead. Thanks.

23 Q. (By Mr. Lundy) And would you go to the
24 first page of Exhibit 23?

1 [Discussion off the record.]

2 THE VIDEOGRAPHER: Okay, good to go.

3 Q. (By Mr. Lundy) In terms of hierarchy, who
4 was Bill Heydens to you?

5 A. Let's see. When was this? 2002? He was
6 probably head of the product safety center at that
7 time. So --

8 Q. Would you -- so he would have been your
9 boss?

10 A. I wouldn't report directly to him. I
11 reported to someone else, and he would have been head
12 of the product safety center.

13 Q. So your boss would have reported to him?

14 A. Bill and I, at times we would go back and
15 forth between reporting to him and not reporting, so if
16 that were the time frame, that would be what would
17 happen, yes.

18 Q. I notice that in the e-mail to you on
19 April the 25th of 2002 from Bill Heydens, he says your
20 last comment hits exactly where I'm coming from. We
21 discussed the situation with Holson and DeSesso and
22 concluded, not surprisingly, that we are in pretty good
23 shape with glyphosate but vulnerable with surfactants.

24 What I've been hearing from you is that

1 this continues to be the case with these studies.

2 Glyphosate is okay, but the formulated product and thus
3 the surfactant does the damage. We had a low-risk
4 strategy to generically deal with the issue but
5 couldn't implement for budgetary reasons.

6 Do you see that?

7 A. So that's --

8 Q. Did I read that accurately, Dr. Farmer?

9 A. I'm sorry. Could you read that again then
10 for me, please?

11 Q. Your last comment hits exactly where I'm
12 coming from. We discussed the situation with Holson
13 and DeSesso and concluded, not surprisingly, that we
14 are in pretty good shape with glyphosate but vulnerable
15 with surfactants.

16 What I've been hearing from you is that
17 this continues to be the case with these studies.
18 Glyphosate is okay, but the formulated product and thus
19 the surfactant does the damage.

20 Do you see that? Did I read it
21 accurately, Dr. Farmer?

22 A. You read it accurately.

23 Q. And what damage were you talking about?

24 MR. HALL: I object because those weren't

1 her words. You just read from someone else's e-mail.

2 Q. (By Mr. Lundy) What damage was Bill
3 Heydens talking about? I'll rephrase the question.

4 A. I think you have to refer down to the
5 last -- you say my last comment, so I think it's
6 important that we put that back into context with that
7 statement that you just read.

8 Q. Well, tell me, what damage was he
9 referring to?

10 A. If you read down below here, these are
11 talking about in vitro petri dish studies with cells.

12 Q. So those studies were reflecting damage
13 caused by the surfactants; right?

14 A. In petri dish experiments, and what we
15 find is surfactants -- as we talked about earlier
16 today, soaps and shampoos do the same thing.

17 Q. I'm only interested in knowing what
18 studies Monsanto did to test the surfactants. And
19 obviously that's what he was commenting on, and
20 obviously these are studies that you all did that
21 you're referring to; am I correct?

22 A. No, they weren't.

23 Q. Who did them?

24 A. If you look down here, you see referring

1 to a sea urchin study, we're referring to a Stocco
2 study. So those are not the studies that he's talking
3 about there. Those weren't our studies he was
4 referring to.

5 Q. That was in 2002; right? This memo that
6 he sent to you?

7 A. This e-mail?

8 Q. Yes.

9 A. Yes.

10 Q. Was it in response to -- and it was in
11 response to your e-mail of April 25, 2002?

12 A. Yes.

13 Q. And he was concerned about studies that
14 showed problems with surfactants?

15 A. These studies in the bottom in my e-mail
16 that were not Monsanto studies that were surfactant
17 studies in petri dish experiments.

18 Q. And this -- the Exhibit 68 that you
19 presented here today that's not Bates-stamped and has
20 never been presented to us before today, you indicated
21 that these were studies conducted on the genotoxicity
22 by Monsanto of surfactants; right?

23 A. May I see it again, what you're referring
24 to? Yes.

1 Q. Okay. So in 2002, Mr. Heydens is
2 concerned about studies being performed by other people
3 that show what I would call adverse effects as a result
4 of surfactants, or as he says damage. Is that correct?

5 A. He's talking about very specific studies
6 in my e-mail that I'm talking about.

7 Q. And in the exhibit that you produced
8 today, 68, shows one study conducted by Monsanto on the
9 genotoxicity of surfactants in the year 2009, and no
10 other studies on this whole chart were conducted after
11 you had gotten a comment from Dr. Heydens, am I
12 correct, about the damage from these other studies?

13 MR. HALL: Object to form.

14 A. I'm sorry. I'm not understanding your
15 question.

16 Q. (By Mr. Lundy) Okay. Well, let me lay
17 the chronology. He's concerned by studies that he sees
18 causing damage from surfactants; am I correct?

19 A. Of these --

20 MR. HALL: Objection. Foundation. Go
21 ahead.

22 A. Of these studies that I'm discussing
23 below, in petri dish experiments, because it's the
24 condition by putting the surfactant on those cells in

1 the petri dish, the surfactant acts on unprotected
2 cells in the petri dish.

3 Q. (By Mr. Lundy) Did you answer my
4 question? He was concerned --

5 MR. HALL: Objection. Argumentative.

6 Q. (By Mr. Lundy) -- about the damage
7 caused by the studies reflecting the surfactants; am I
8 correct?

9 MR. HALL: Objection. Argumentative.
10 Asked and answered.

11 A. In these specific petri dish experiments
12 that he's talking about.

13 Q. (By Mr. Lundy) Okay. And after having
14 received this in 2002, there's only one study shown on
15 Exhibit Number 68 conducted by Monsanto on any
16 surfactant to determine the genotoxicity of the same;
17 am I correct?

18 A. But the endpoints in these studies weren't
19 genotoxicity, and we did another study -- as we talked
20 about, he's referring to my last comment. He said
21 repeat the Stocco study, and one gen with the
22 surfactant was another.

23 So he wasn't talking about genotoxicity in
24 this particular e-mail. These are two separate things.

1 Q. So what other studies has Monsanto done on
2 surfactants, other than the ones that you've produced
3 right here on this list?

4 A. Well, these -- these are genotoxicity
5 studies.

6 Q. Right. And Exhibit 69 was the product --

7 A. That's form --

8 Q. The genotoxicity studies on the product?

9 A. Formulated product.

10 Q. All right.

11 MR. HALL: So is your question what other
12 surfactant studies did Monsanto do other than
13 genotoxicity?

14 Q. (By Mr. Lundy) Let me just rephrase it.
15 Were there any other genotoxicity studies done by
16 Monsanto other than these shown on Exhibit Number 68?

17 MR. HALL: On what?

18 Q. (By Mr. Lundy) On surfactants.

19 A. On the surfactants?

20 Q. Yeah.

21 A. These are our surfactants that we've done
22 our genotoxicity testing on.

23 Q. Are there any others that aren't shown on
24 this chart?

1 A. Not that I'm aware of.

2 Q. The MON 8080 -- is that testing done on
3 POEA?

4 A. No.

5 Q. What testing in there is on POEA?

6 A. POEA, we sometimes interchange that with
7 MON 0818.

8 Q. There's POEA surfactant studies for
9 genotoxicity that are not on that chart, aren't there?

10 A. Not to my knowledge. POEA and MON 0818
11 are used interchangeably. Now, there may be other
12 manufacturers that have done testing on a
13 polyoxyethylene alkylamine that I'm not aware of, but
14 those would represent what we did.

15 Q. Well, I'm only interested right now in
16 Monsanto's. So the MON 0818, is that a POEA study?

17 A. It's -- yes.

18 Q. Are there any POEA studies here that are
19 conducted on rats or mice for genotoxicity?

20 A. Yes.

21 Q. Which one?

22 A. The mouse micronucleus.

23 Q. And you're saying -- you say you use them
24 interchangeably, but -- so this is a POEA study; right?

1 A. A lot of people use it, unfortunately,
2 interchangeably.

3 Q. Is this a -- was the POEA surfactant that
4 was used in Europe discontinued in the U.S.?

5 A. Not to my knowledge.

6 Q. Now, who prepared the chart, 68?

7 A. I worked with the lawyers.

8 Q. The same lawyer as the other chart?

9 A. Yes.

10 Q. And who chose the studies?

11 A. I did.

12 Q. And what did you use as the basis to
13 choose the studies?

14 A. There's a variety of lists that I looked
15 at that I was able to document that those were the
16 studies that we had on the genotox.

17 Q. And you looked -- and say that again.
18 What did you look at to determine that these are the
19 studies that should go on Exhibit Number 68?

20 A. We have a list of all the studies we do
21 with our formulated product, so I was looking for those
22 that had the genotox in the title.

23 Q. Well, this is --

24 MR. HALL: What -- go ahead.

1 Q. (By Mr. Lundy) -- surfactant. I'm
2 looking at 68.

3 A. Oh, I'm so sorry. I have a list of these
4 that I've used for -- I'm sorry. I apologize. Yeah,
5 I've had a list of these that I've used for years, and
6 that's how I came up with these, my list of studies.

7 Q. And when you say you have a list, what
8 kind of list?

9 A. As toxicologists, when we have different
10 studies, we have kind of an Excel spreadsheet that
11 talks about this MON number and what studies we have
12 conducted with that.

13 Q. When did you prepare that chart?

14 A. It's been a few weeks we've been working
15 on this.

16 Q. And how about 82, Exhibit Number 82? When
17 was this generated?

18 A. About the same time.

19 Q. And you knew you were going to use it in
20 conjunction with your deposition today?

21 A. Yes, I did.

22 Q. Same thing as Exhibit Number 68?

23 A. Yes.

24 Q. When did you prepare Chart Number 69 --

1 Exhibit Number 69?

2 A. It was in the same time frame.

3 Q. You knew you were going to use it in your
4 deposition today?

5 A. Yes, I did.

6 Q. And then how did you go about pulling
7 these studies for the formulated product?

8 A. Again, I had a list of the MON numbers
9 that have different studies that are conducted with the
10 formulations, and I could identify the titles of the
11 genotox studies.

12 Q. Neither 68 or 69 reflects a study where
13 you were the author or the study director; am I
14 correct?

15 A. I'm a study monitor. I am not working in
16 a laboratory to conduct the studies. That's the normal
17 process, that you would work with the study director to
18 do those studies.

19 Q. So the answer to my question is no, you're
20 not an author or a study director on any of these two
21 exhibits; am I correct?

22 A. No, I'm not.

23 Q. Now, earlier you said you had -- you
24 believed that you had participated in 20 -- about 20

1 articles that had been published in peer-reviewed
2 literature. Have all of those been while you were
3 employed by Monsanto?

4 A. No.

5 Q. Which ones were when you were not employed
6 by Monsanto?

7 A. Oh, they have been a long time. I don't
8 remember. If you would show me a list, I'd be happy
9 to --

10 Q. Do you have a CV?

11 A. I do have a CV.

12 Q. Did you bring it with you?

13 A. No, I did not.

14 Q. So what studies did you do when you were
15 not working for Monsanto?

16 MR. HALL: Studies or articles?

17 A. I was going to --

18 MR. LUNDY: Articles.

19 A. That were published in peer-reviewed
20 literature?

21 Q. (By Mr. Lundy) Right. Right.

22 A. I really don't remember the articles. I
23 could provide you the subject matter.

24 Q. Were they based upon laboratory studies,

1 the articles that you published?

2 A. Some were, yes.

3 Q. Were you the lead author on these studies?

4 A. No, not always.

5 Q. Have you ever been the lead author on a
6 study where you performed the laboratory work?

7 A. I might have been on one at Wash U. I
8 don't remember.

9 Q. Now, you worked in the lab when you were
10 at Wash U., or did you teach?

11 A. I did a combination of both.

12 Q. More time teaching than lab work, or vice
13 versa?

14 A. Vice versa.

15 Q. And did you teach -- I may be dreaming
16 this, but I thought earlier today that you said you
17 worked -- well, just tell me so I don't have to guess.

18 What area did you teach in when you were
19 at Washington University?

20 A. I was teaching in a sophomore what they
21 called a pathophysiology course.

22 Q. And what kind of lab were you working in?

23 A. It was a basic science lab in the
24 department of OB/GYN.

1 Q. And what kind of lab work were y'all doing
2 in the department of OB/GYN?

3 A. We were taking -- we would go and get
4 placentas after C-sections from moms, and we would take
5 the placentas back to the lab, and we would isolate the
6 cells, and we would put them into culture, and we would
7 evaluate the health of those cells in different
8 conditions.

9 Q. And what kind of conditions?

10 A. They would be under -- if you look at a
11 petri dish, you can coat it with different coverings.
12 So sometimes it would be different types of connective
13 tissue that's in your body, like collagen or fibrin,
14 and look how those cells respond.

15 Q. Now, you indicated your husband was a
16 physician?

17 A. Yes.

18 Q. And what kind of medicine does he
19 practice?

20 A. He's a cardiologist -- nuclear
21 cardiologist.

22 Q. Does he work at Washington U.?

23 A. Yes, he does.

24 Q. And when did he start working at

1 Washington U.?

2 A. 1987.

3 Q. And what were you doing from 1987 to 1991?

4 A. I was at Washington University.

5 Q. Did he get hired first and then you?

6 A. He was -- actually, I was there from 1988
7 to 1991.

8 Q. Did he get hired first and then you got
9 hired?

10 A. He came down here to do a research
11 fellowship in nuclear medicine, and I actually took a
12 leave of absence from my job in Chicago. We thought
13 we'd go back to Chicago and I would pick up my
14 professorship again, and he ended up getting a very
15 prestigious grant, so I made the decision to leave my
16 job in Chicago and remain here in St. Louis.

17 Q. And then how did you migrate to Monsanto
18 from Washington U.?

19 A. I was interested in, as I talked about
20 before, in the science day-to-day laboratory work. I
21 like going beyond laboratory work. I had some
22 colleagues of mine who knew -- I said I would like --
23 looking for a different job in St. Louis, a
24 science-based job, and they recommended Monsanto.

1 Q. Now, you indicated today that you use
2 Roundup around your house maybe three times a year?

3 A. Uh-huh.

4 Q. Where do you buy your Roundup?

5 A. Either at Home Depot or Lowe's.

6 Q. Are you buying a one or two percent
7 glyphosate?

8 A. I'm not very good at mixing and loading
9 all these things, so I buy an RTU, yes.

10 Q. So you're not buying a commercial grade?

11 A. I have had commercial grade, but I don't
12 buy it. I used it one time to try to kill my
13 neighbor's bamboo that was coming up in my yard,
14 because you need a higher concentrate to be able to do
15 that.

16 Q. Where did you get it?

17 A. A colleague of mine. He bought some that
18 I was able to get from him.

19 Q. So where did your colleague get it?

20 A. He bought it.

21 Q. From where?

22 A. It might have been one -- he has a farm.
23 So it would have been out in -- like in a dealership,
24 because you can buy those products out in dealerships.

1 Q. He had a pesticide license?

2 A. He -- no, you can buy that.

3 Q. I'm asking, did he have a pesticide
4 license?

5 A. No.

6 Q. And so you got some commercial grade so
7 you could try to kill some bamboo in your neighbor's
8 yard; right?

9 A. No, not my neighbor's yard. That was
10 coming up into my yard.

11 Q. You indicated earlier that you -- was it
12 your son or daughter's future in-law that needed some
13 instructions on how to use Roundup?

14 A. It was my future daughter-in-law, and it
15 wasn't instructions; it was talking about the product,
16 and how it's used, and how efficient and effective it
17 is on his farm.

18 Q. And when did you have that conversation?

19 A. It was December 22nd.

20 Q. Of this year?

21 A. Uh-huh.

22 Q. Did you tell him about the IARC finding of
23 a probable carcinogen?

24 A. No.

1 Q. Did you tell him about the jury verdict in
2 Los Angeles -- or excuse me, San Francisco -- in the
3 Johnson case against Monsanto for Roundup?

4 A. No.

5 Q. Why not?

6 A. It wasn't a subject that we were talking
7 about. We were talking about the efficacy of the
8 product.

9 Q. Earlier today you said that glyphosate had
10 an LD of -- was it LD50 for glyphosate?

11 A. There is an LD50 for glyphosate, yes.

12 Q. And what is the LD for Roundup?

13 A. It's similar to that for glyphosate.

14 Q. No, what is it? What's the formulated
15 product LD?

16 A. It's around 5,000 milligrams per kilogram.

17 Q. When you say around, is that what it is or
18 not?

19 A. Typically it's greater than 5,000, because
20 the animals, we don't give 50 percent of the animals --
21 lethal dose to 50 percent of the animals with Roundup,
22 so they call it greater than 5,000.

23 Q. And so what's the LD for the surfactant?

24 A. I believe it's around 1,250 milligrams per

1 kilogram.

2 Q. Is that for all the surfactants or just
3 some of the surfactants?

4 A. Right now the one that's coming to mind is
5 the MON 0818.

6 Q. Did you -- since you've been at Monsanto
7 since 1991, have you participated in, other than a
8 project monitor, as an investigator or participant or
9 leader in conducting any of the animal studies, either
10 mice or rat studies or any other type of animal
11 studies?

12 A. I would have been a study monitor on other
13 studies.

14 Q. A study monitor on animal studies?

15 A. Yes.

16 Q. Is a study monitor kind of like a project
17 manager on a construction site?

18 A. No.

19 Q. So what do you do, you just look at what
20 they're doing?

21 A. No.

22 Q. Tell me what you do.

23 A. A study monitor is then going to get the
24 guidelines from the regulatory agencies so we know

1 what -- how the study has to be conducted. We will
2 contact our business group, they will get a contract
3 set up with that laboratory, we will get a proposal for
4 the cost of the study, they will give us a protocol
5 that we will review.

6 And once that is all in agreement then I
7 will work with our test material people to provide --
8 to send the test material to them, work with other
9 chemical analysts to give a certificate of analysis
10 that they have evaluated what test substance we're
11 going to be giving to the laboratory, because it's very
12 important that we know exactly what is being tested in
13 those studies.

14 And then once all that is done, the
15 manager, my director will sign off on the job to do it,
16 because we have expenditure of a lot of money
17 sometimes. I will then sign the protocol, and then the
18 study director at that laboratory will be the one that
19 will pull all of their people and resources together to
20 conduct that study.

21 MR. HALL: I'm sorry to interrupt, but for
22 the court reporter, if you could just try to talk a
23 little slower, Dr. Farmer.

24 A. I'm sorry. I'm sorry.

1 BY MR. HALL: Go ahead.

2 Q. (By Mr. Lundy) So you don't write the
3 protocol; am I correct?

4 A. No.

5 Q. And you don't conduct the study; correct?

6 A. Correct.

7 Q. But you have the right to edit the
8 protocol; am I correct?

9 A. Yes.

10 Q. And you have the right to edit the results
11 of the study; correct?

12 A. We have the -- yes, we can look at it.

13 Q. So you've edited animal studies in years
14 past, haven't you?

15 A. We have commented on them. We have -- as
16 the sponsor, we have the right to review them, and it
17 will be up then to that study director and the
18 pathologist whether they accept our suggested comments
19 or not.

20 Q. I noticed in Exhibit Number 83 -- let's
21 see if I can -- on the results of -- this is
22 Bates-stamped Number MONGLY02626554.

23 Do you recall testifying about this
24 document a while ago?

1 A. Yes.

2 Q. And this is the memo that Richard Garnett
3 did to you and others after y'all had your meeting with
4 Professor Parry?

5 A. Yes. Well, I wasn't in the room with
6 them. That was just Mark and -- Dr. Garnett and Dr.
7 Martens were in the room.

8 Q. It's -- in response to your -- to
9 counsel's questions, you didn't emphasize this as a
10 significant event, but I marked it that accepted that
11 we as an industry cannot undertake testing of the
12 surfactants which are the property of other suppliers.

13 Do you see that?

14 A. Yes.

15 Q. Why was that a point that y'all feel like
16 y'all had to convince him of?

17 A. I don't re -- I wasn't there for the
18 conversations with Professor Parry.

19 Q. Throughout the deposition today you
20 answered questions repeatedly at counsel's request that
21 you -- Monsanto was making its decisions and relying on
22 what these regulatory bodies in the U.S. and even in
23 the European Union were making.

24 And my question is, were you speaking for

1 Monsanto today or were you speaking for Dr. Donna
2 Farmer?

3 A. Could you do me a favor? Could you repeat
4 that question? There was a front part to that that I'm
5 not sure I understood.

6 Q. No, I'll rephrase it. Repeatedly today in
7 response to your counsel's questions you said Monsanto
8 relied upon these regulatory bodies' decisions,
9 particularly on exhibits -- let me find it -- like 76
10 through 81, in believing that their product that they
11 were producing was safe and noncarcinogenic.

12 Do you recall answering those questions
13 today?

14 A. I remember talking about what the
15 conclusions of the regulators came to, that it wasn't
16 carcinogenic.

17 Q. Do you recall answering his questions yes,
18 Monsanto relied on all of those studies in believing
19 that their product was safe?

20 A. It contributed to -- we already believed
21 that, and as I said, it confirmed and supported what we
22 had concluded about glyphosate.

23 Q. And my question is, were you speaking for
24 Monsanto this -- earlier today, or were you speaking

1 for Dr. Donna Farmer?

2 MR. HALL: Objection. Calls for a legal
3 conclusion.

4 A. I was speaking for me.

5 Q. (By Mr. Lundy) So why did you say
6 Monsanto repeatedly if you were speaking for you?

7 A. I didn't say Monsanto.

8 Q. You answered every question that counsel
9 asked you that was prefaced did Monsanto rely on that,
10 you answered them affirmatively.

11 MR. HALL: Objection. Misstates the
12 record.

13 Q. (By Mr. Lundy) In addition to these
14 studies here, did you also rely on the SAP report as
15 well as FIFRA in giving your opinions today?

16 A. The SAP report was included within the OPP
17 revised issue document for glyphosate.

18 Q. But did you review it in preparation for
19 your deposition today?

20 A. Not in preparation for the deposition
21 today, but I have read the SAP report and I've read the
22 revised issue paper.

23 Q. And did you rely on both FIFRA and the SAP
24 report in giving your testimony today?

1 A. I relied on the documents that we were
2 talking about today, the incorporation of the SAP
3 recommendations into the OPP report.

4 Q. Do you agree with the SAP findings?

5 A. Not all of them.

6 Q. Which ones don't you agree with?

7 A. It's been a long time. I don't think that
8 I could identify them one by one.

9 Q. When did you last read the SAP?

10 A. It was a long time ago.

11 Q. Have you had occasion to fly to Canada or
12 Korea or Japan to give your opinions on the safety of
13 glyphosate and the formulated product of Roundup?

14 A. Not all those countries, and not -- only
15 one country did I do that.

16 Q. Which country did you do that in?

17 A. Canada.

18 Q. In Canada?

19 A. Uh-huh.

20 Q. Well, earlier today you said you spoke
21 with Dr. Parry at a conference in the UK where he was
22 talking about genotoxicity. What was that conference?

23 A. It was called I believe agricultural
24 pesticides and cancer.

1 Q. And I believe you said what university it
2 was located at. Do you recall?

3 A. It was at Oxford. It was hosted by Sir
4 Richard Doll.

5 Q. Sir Richard Doll is the epidemiologist who
6 said cigarette smoke doesn't cause cancer; right?

7 A. No. He's the one who actually found the
8 link.

9 Q. Found the what?

10 A. He actually found the link.

11 Q. In your questioning by Mr. Wisner back in
12 September, you indicated that you had -- that you
13 experienced a bad year where you lost a father and
14 another relative to cancer; am I correct?

15 A. I did.

16 Q. What kind of cancer did they die of?

17 A. My father died of metastatic
18 adenocarcinoma, primary tumor unknown to the liver, and
19 my father-in-law was diagnosed three weeks after my
20 father, died six months before my father, of a
21 glioblastoma multiforme brain tumor.

22 Q. Your father-in-law did?

23 A. Uh-huh.

24 Q. How old was your father-in-law?

1 MR. HALL: This is beyond the scope of
2 cross or my direct. Mr. Wisner had a full chance to go
3 through all that.

4 Q. (By Mr. Lundy) Was your father a
5 cigarette smoker?

6 A. He quit.

7 Q. How long did he smoke?

8 MR. HALL: How does this bear on the case?

9 MR. LUNDY: Well, she volunteered it.

10 MR. HALL: Well -- I didn't ask her about
11 it.

12 MR. LUNDY: I mean, she volunteered it,
13 and so I'm following up on it.

14 MR. HALL: Well, I don't know that she
15 volunteered it, but she was asked about it in the
16 original deposition, and it was not within the scope of
17 my direct examination.

18 MR. LUNDY: Are you telling her not to
19 answer the question?

20 MR. HALL: No, but I'm just asking you to
21 respect that --

22 MR. LUNDY: I'm just re -- asking you
23 to -- the same thing you asked me, just make the
24 objection and let me move forward. It will go quicker.

1 MR. HALL: That's what I did. That's what
2 I did, but you started asking me questions about it.
3 If you ask me to explain my position, I'll do that.

4 Q. (By Mr. Lundy) And how long did he smoke?

5 A. I don't remember.

6 Q. Now, is it Tom Sorahan -- is that the
7 pronunciation of his last name, the gentleman from
8 Monsanto that was the advisor to IARC?

9 A. He wasn't from Monsanto, and he wasn't an
10 advisor to IARC.

11 Q. So did he go to the IARC meeting?

12 A. Yes.

13 Q. And what was his position, or why was he
14 at the IARC meeting?

15 A. Which IARC meeting are you talking about?
16 He --

17 Q. In the 2015, when they were evaluating
18 glyphosate.

19 A. He was an observer.

20 Q. And was he observing on behalf of
21 Monsanto?

22 A. Yes.

23 Q. Did you choose him?

24 A. A number of us discussed people to

1 participate, which is a normal process for IARC to have
2 observers there.

3 Q. Who with Monsanto other than you asked him
4 to go?

5 A. I was the one in contact with him, but
6 there were a number of us who discussed Dr. Sorahan
7 participating as an observer.

8 Q. And what is his area of expertise?

9 A. Epidemiology.

10 Q. Has he had a chance to review the Parry 1
11 or the Parry 2 reports?

12 A. I don't know.

13 Q. Did you review them with him before he
14 went over there?

15 A. No.

16 Q. When did you first learn that there was
17 controversy over the "Knevezich" or Knezevich and Hogan
18 mouse study?

19 A. It was really probably just after IARC.

20 Q. So you promoted the safety of glyphosate
21 and the formulated product and the safety of the
22 surfactants from 1991 until 2015, without even knowing
23 about the Knezevich and Hogan study; is that correct?

24 A. I relied on the 1993 RED, and that was the

1 basis of my beginning of my knowledge about glyphosate,
2 and they had put it into Group E, evidence of
3 noncarcinogenicity.

4 Q. When did you learn -- or strike that.

5 When did you learn about Dr. Kushner and
6 the fact that there was another effort to redo the
7 study by slicing the tumors and looking at the subject
8 matter in the control group?

9 MR. HALL: Objection. Argumentative.
10 Misstates the record. Beyond the scope of the direct
11 examination.

12 A. Again, this was prior to when I was at
13 Monsanto.

14 Q. (By Mr. Lundy) Right.

15 A. And so again, my database that I began
16 with was the 1993 RED, because those things had all
17 been resolved by the time that I had taken over as the
18 toxicologist for glyphosate.

19 Q. But you never had a discussion with
20 anybody about it until 2015, is what you're saying?

21 A. Because, again, it had been very
22 consistently reviewed and rereviewed by regulatory
23 agencies around the world, and they all came to the
24 same conclusion that it wasn't carcinogenic.

1 MR. LUNDY: Object to the
2 nonresponsiveness of the answer. It simply requires a
3 yes or no.

4 Q. (By Mr. Lundy) You never had any
5 discussion with anybody in Monsanto about that until
6 after 2015; right?

7 A. No, I did not.

8 MR. HALL: Could we take a short break?
9 We've been going about an hour.

10 MR. LUNDY: Sure.

11 THE VIDEOGRAPHER: We are going off the
12 record at 3:27 PM.

13 [A brief recess was taken.]

14 THE VIDEOGRAPHER: We are back on the
15 record at 3:39 PM.

16 Q. (By Mr. Lundy) Dr. Farmer, I handed you
17 the first 22 pages of the SAP dated -- stamped March
18 16, 2017. This is part of the document that you said
19 you had read some time ago and considered, but you
20 hadn't looked at it in preparation for your deposition;
21 is that correct?

22 A. So this is their meetings and final report
23 of the SAP.

24 Q. Right. And you said there were parts of

1 it that you disagreed with. I'm going to try to speed
2 it up. If you'd turn to Page 16 of the document.

3 MR. JOHNSTON: What exhibit number is
4 that?

5 MR. LUNDY: Page 16 of the SAP. And I'll
6 mark it as Exhibit 84.

7 THE REPORTER: 84.

8 MR. HALL: And is this an excerpt?

9 MR. LUNDY: What's the number?

10 THE REPORTER: Yeah, 84 is correct.

11 MR. LUNDY: 84.

12 A. It's just the first pages. It's not all
13 of --

14 MR. HALL: This is just the first pages?

15 MR. LUNDY: It's just the first 22 pages.
16 We can substitute the whole document later if you want
17 to.

18 [Exhibit 84 marked for identification.]

19 Q. (By Mr. Lundy) The -- on Page 16, it says
20 on the bottom of it, it says based on the weight of the
21 evidence from epidemiological studies and meta
22 analysis, the agency cannot exclude the possibility
23 that observed positive associations between glyphosate
24 exposure and risk of NHL suggest human carcinogenic

1 potential of glyphosate even though study limitations
2 and concerns about potential biases remain.

3 Do you see that?

4 A. I see that.

5 Q. Do you agree or disagree with that
6 statement?

7 A. Epidemiology is not my area of expertise,
8 so I would look that there was also -- other panel
9 members had a different opinion in the next paragraph.
10 So --

11 Q. So you don't have a comment on that
12 statement; is that correct?

13 A. Well, I'm not an epidemiologist. My
14 understanding is that the epidemiology studies that are
15 out there are not supportive of an association.

16 Q. Turn to Page 18. If you look about the
17 third full paragraph, it says some panel members felt
18 that the agency's discounting the statistically
19 significant trends based on the idea that they were not
20 monotonically increased was flawed.

21 The panel noted that a monotonic
22 dose-response relationship is not a criterion for a
23 positive rodent response in the agency's 2005
24 guidelines for carcinogenic risk assessment.

1 Do you see that?

2 A. I see that.

3 Q. Do you agree with that or disagree with
4 it?

5 A. I think, again, this was a split between
6 the members and the panel. So I would agree with the
7 panel that agreed that there wasn't any evidence of
8 carcinogenicity in the animal studies.

9 Q. I guess my question was do you agree that
10 they didn't follow the agency's 2005 guidelines for
11 carcinogenic risk assessment?

12 A. I would not agree with that statement.

13 Q. The next sentence says overall the panel
14 concluded that the EPA evaluation does not appear to
15 follow the EPA cancer guidelines in several ways,
16 notably for use of historical control data and
17 statistical testing requirements.

18 Do you see that?

19 A. I'm sorry. I got lost on that --

20 Q. The very next sentence.

21 MR. HALL: Can you just point to the
22 paragraph? She seems to be lost, please.

23 Q. (By Mr. Lundy) The third -- the fourth
24 paragraph where it says overall.

1 Do you see that?

2 A. Oh, down here. Okay.

3 Q. Overall the panel concludes that the EPA
4 evaluation does not appear to follow the EPA 2005
5 cancer guidelines in several ways, notably for use of
6 historical control data and statistical testing
7 requirements.

8 Did I read that accurately?

9 A. Yes.

10 Q. You agree or disagree with that?

11 A. I would disagree with that.

12 Q. At the last paragraph on the Page 18, it
13 says in the view of some panel members there are
14 sufficient data to conclude glyphosate is a rodent
15 carcinogen using the approaches recommended to
16 interpret the biological significance of tumor
17 responses in EPA's 2005 guidelines for carcinogen risk
18 assessment.

19 Do you see that?

20 A. I see that.

21 Q. Did I read it accurately?

22 A. Yes.

23 Q. You agree or disagree with that?

24 A. I disagree with that, because again it

1 says however, panel members -- other panel members
2 strongly disagree with this conclusion, finding no
3 reliable and consistent evidence that glyphosate
4 induces or promotes tumors in laboratory animals --
5 laboratory rodents.

6 Q. On Page 19, the first full paragraph,
7 starting with the word the panel concluded that EPA
8 needs to clarify its position on results from exposures
9 that exceed 1,000 milligrams per kilogram a day, the
10 limit dose.

11 Do you see that?

12 A. Yes.

13 Q. So the EPA didn't even consider doses that
14 were higher than the 1,000 milligrams per kilogram per
15 day, did they?

16 A. That was the limit dose. They just
17 said -- asked them to clarify their position on that.

18 MR. LUNDY: Let's take a short break.

19 THE VIDEOGRAPHER: We are going off the
20 record at 3:45 PM.

21 [A brief recess was taken.]

22 THE VIDEOGRAPHER: We are back on the
23 record at 3:47 PM.

24 Q. (By Mr. Lundy) On Page 21. Dr. Farmer,

1 under scientific quality. It says the panel felt that
2 the scientific quality of the issue paper could be
3 improved. Some panel members pointed to insufficient
4 study design details, incomplete discussions of data
5 limitations, and use of assessment criteria that did
6 not follow EPA cancer guidelines.

7 Do you see that?

8 A. I see that.

9 Q. Did I read it accurately?

10 A. I'm sorry, could you read it again for me
11 to answer that?

12 Q. The panel felt that the scientific quality
13 of the issue paper could be improved. Some panel
14 members pointed to insufficient study design details,
15 incomplete discussions of data limitations, and use of
16 assessment criteria that do not follow EPA 2005 cancer
17 guidelines.

18 Do you see that? Did I read that
19 accurately?

20 A. Yes.

21 Q. Do you agree with that?

22 A. I know that the -- no, I don't.

23 Q. If you move up under the category that
24 says dose-response and temporal concordance, the

1 Bradford Hill criteria.

2 Do you see that?

3 A. Yes.

4 Q. Down in the middle part of that paragraph,
5 it says some panelists disagree with EPA's assertion
6 that monotonically increasing dose-response
7 relationships were required in order for doses to be
8 considered to be compound-related, and felt that the
9 agency could better explain its reliance on tumor
10 responses in historical, as opposed to concurrent,
11 control groups.

12 Do you see that?

13 A. Could you read that again? I think I lost
14 my place.

15 Q. Starting with some panelists, in the
16 middle of the paragraph. Do you see that?

17 A. Okay. Okay. Yes.

18 Q. Some panelists disagree with EPA's
19 assertion that monotonically increasing dose-response
20 relationships were required in order for responses to
21 be considered to be compound-related, and felt that the
22 agency could better explain its reliance on tumor
23 responses in historical, as opposed to concurrent,
24 control groups.

1 Did I read that accurately?

2 A. Yes.

3 Q. Do you agree with that?

4 A. I agree that the agency could have done a
5 better explanation of some of those things.

6 Q. I'm going to turn to Exhibit Number 77,
7 which is the glyphosate issue paper, the EPA's OPP
8 report of September 12th, 2016. And I'm going to go to
9 Page 141, and I'm going to put it under the -- I think
10 you'll be able to see it.

11 A. I have a hard time seeing that. Can I
12 have a copy?

13 MR. HALL: Yeah, I have a copy.

14 Q. (By Mr. Lundy) Page 141.

15 A. So this is the 2016?

16 Q. Yes, ma'am.

17 A. Whoops. Oops --

18 Q. And the second full paragraph says this
19 analysis integrating multiple lines of evidence
20 highlights the need for mechanistic studies to
21 elucidate the MOA/AOP of glyphosate, as well as
22 additional epidemiology studies and updates from the
23 AHS cohort study to further investigate the
24 carcinogenic potential of glyphosate in humans.

1 Did I read that accurately?

2 A. Yes.

3 Q. The next statement says the evaluation
4 focused on studies on the active ingredient glyphosate;
5 however, additional research could also be performed to
6 determine whether formulation components, such as
7 surfactants, influence the toxicity of glyphosate
8 formulations.

9 Do you see that?

10 A. Yes.

11 Q. So what is -- since this report was
12 issued, what has Monsanto done to research and
13 determine what the formulation of components such as
14 surfactants -- how they influence the toxicity of the
15 glyphosate formulations?

16 A. Well, we already have data that addresses
17 that. We have done studies, for example, with
18 genotoxicity, we've done studies with glyphosate, we've
19 done studies with the surfactant, and we've done
20 studies with the fully formulated product, and they are
21 all nongenotoxic.

22 Q. I don't see any studies on Exhibit 68
23 post-2016 when this memo -- or when this report was
24 issued, and that's on the genotoxicity of surfactants.

1 A. That's because data already existed that
2 could address this question, and there's a lot of other
3 data that we can use to look at this. You use acute
4 studies, where we have acute studies with glyphosate,
5 we have acute studies with the surfactant, we have
6 acute studies with the formulation.

7 So for example, the acute study with
8 glyphosate was around 5,000 for the oral LD50. We had
9 then the surfactant -- like POEA we said was around
10 1,250 milligrams per kilogram. When we did the
11 formulation, it was greater than 5,000 milligrams per
12 kilogram.

13 So we can see there that the surfactant
14 really didn't influence the LD50 of the formulated
15 product.

16 Q. Don't you -- you know we're here talking
17 about carcinogenicity; right? We're not talking about
18 acute exposure; we're talking about chronic exposure
19 that causes cancer; am I correct?

20 A. Correct.

21 Q. So what kind of rodent or mice studies or
22 long-term studies are being conducted to determine
23 whether or not the surfactants affected toxicity of
24 glyphosate formulations?

1 MR. HALL: Well, I'll object to your
2 introduction to that question, because the sentence
3 you're asking about is talking about toxicity. It's
4 not talking about carcinogenicity, and her answer went
5 to toxicity. You may not like that, but your argument
6 is objectionable.

7 Q. (By Mr. Lundy) Well, the sentence is
8 prefaced with the word mechanistic studies, so your
9 answer is totally as to acute studies; right?

10 MR. HALL: Objection. Misstates her
11 answers. She gave multiple answers on this.

12 MR. LUNDY: That's -- I agree. She --

13 MR. HALL: And the sentence you're
14 highlighting is not prefaced by mechanistic. It starts
15 with this evaluation.

16 MR. LUNDY: Highlights the need for
17 mechanistic studies, is what the first sentence in the
18 paragraph says.

19 MR. HALL: But you've been asking her
20 about the highlighted question, this evaluation, and
21 additional research could be performed. That's where
22 you were asking what Monsanto has done since 2016-2017.

23 Q. (By Mr. Lundy) Let me ask you, what
24 long-term chronic studies has Monsanto done or are they

1 doing to determine the adverse effect of the
2 surfactants on the carcinogenicity of their formulated
3 product?

4 MR. HALL: Objection. Incoherent.

5 A. Yeah, could you ask that another way?

6 Q. (By Mr. Lundy) Monsanto still has not
7 done a long-term carcinogenicity study of glyphosate
8 based upon formulations, have they?

9 MR. HALL: Objection. Same objection.

10 Q. (By Mr. Lundy) You can't answer that
11 question?

12 A. I still am confused by what you're asking.

13 Q. Has Monsanto done any long-term
14 carcinogenic studies on mice or rats using the
15 formulated product and/or the surfactants?

16 A. Okay, so the first one. So let's -- what
17 we had talked about earlier today, we have existing
18 data --

19 MR. HALL: When you say the first one, do
20 you understand the question? I'm going to object to
21 the compound nature of the question.

22 A. Okay. I thought he was asking about
23 testing long-term on the formulated product and testing
24 long-term on the surfactants.

1 Q. (By Mr. Lundy) I was.

2 MR. LUNDY: She understood the question.

3 MR. HALL: Okay.

4 MR. LUNDY: I'm sorry you didn't. Go
5 ahead.

6 MR. HALL: I'll object to the compound
7 question.

8 A. So addressing the surfactants, we have --
9 when we looked at the cluster evaluation of the
10 different surfactants, we have done studies that go 30
11 days and 90 days with the surfactants, and we don't see
12 any indication of concern for carcinogenicity in those
13 early studies that would lead us to conduct a chronic
14 study.

15 They're not genotoxic, and all we're
16 seeing, as we talked about earlier, we're not seeing
17 target organ toxicity. What we're seeing is an effect
18 on the gastrointestinal system of the animals. And in
19 fact, as we read earlier, the EPA came to the
20 conclusion that those surfactants were not
21 carcinogenic.

22 Related to the formulated product, as we
23 talked about, to push those really higher doses that
24 have the surfactant in there, we would again be having

1 very high levels of surfactant that would be causing
2 severe irritation to those animals.

3 They would possibly get very sick, and we
4 would not be able then to interpret the results of
5 those studies, as they related directly to any of the
6 components, or just that the animals were generally
7 sick.

8 Q. (By Mr. Lundy) So are all of the studies
9 that are on Exhibit 68 and 69, are they 30-day or
10 90-day studies?

11 A. These are surfactant studies -- these are
12 genotox studies, so they are not those type of studies.

13 Q. What do you mean not those type of
14 studies?

15 A. When you're talking 30 or 60 or 90 days,
16 those are subchronic studies, which is a different type
17 of toxicology testing. These are specifically tests
18 that have been developed for genotoxicity.

19 Q. And how long are these tests run for?

20 A. Well, the in vitro ones are only run for a
21 number of hours, and some of the in vivo ones are only
22 a onetime exposure, because what we're talking about
23 here is genotoxicity, which is very different than the
24 repeat dosing and subchronic studies that you had asked

1 about.

2 Q. So the genotox studies, if they're in
3 vitro, and that's in a -- it's in a petri dish; is that
4 correct?

5 A. That's what in vitro means.

6 Q. Right. It's in a petri dish. And that's
7 only, what, run for a few hours?

8 A. It depends upon the study, but yes, it's
9 usually an hour, sometimes it may be a day or two.

10 Q. And then on the formulated product, the
11 most that any of these studies would be for a few hours
12 are, what, for a onetime exposure?

13 MR. HALL: Objection. Vague.

14 Q. (By Mr. Lundy) I'm trying to understand
15 your last response.

16 A. You're mixing up different kinds of
17 toxicology studies here.

18 Q. Well, I'm asking you about the genotox in
19 68 and 69. What's the length of time of these studies?

20 MR. HALL: Objection. Asked and answered.

21 A. The exposure to the animals in the petri
22 dish could be from hours to maybe 24, because cells
23 don't really survive that long in petri dish
24 experiments. And in the animals, they may get a

1 onetime injection and you may look at them over a
2 period of days.

3 Q. (By Mr. Lundy) Now, going back to the
4 subchronic carcinogenic studies that I asked you about
5 on the formulated product and/or the surfactants. You
6 said the longest you've ever done a study like that was
7 either 30 days or 90 days; right?

8 MR. HALL: Objection. Objection.
9 Misstates the record.

10 MR. LUNDY: No, I think that's what she
11 said.

12 A. You're confusing studies again.

13 Q. (By Mr. Lundy) No, I'm leaving this away
14 now. I'm leaving --

15 A. No, you talked about subchronic
16 carcinogenicity studies. Those are two different
17 studies.

18 Q. Right. I'm not talking about genotoxicity
19 now. I'm talking about carcinogenic studies that you
20 do on animals such as rats or mice for either
21 surfactants or the formulated product.

22 How long have you conducted -- when you've
23 done those studies, how long were they conducted for?

24 MR. HALL: Objection. Vague.

1 A. Could you ask your question again a
2 different way, because I'm confused from your first
3 question to your second question.

4 Q. (By Mr. Lundy) Well, what was the length
5 of time of the study that you performed on an animal
6 for either a surfactant or a formulated product?

7 MR. HALL: Objection.

8 Q. (By Mr. Lundy) You being Monsanto?

9 MR. HALL: Objection. Vague.

10 A. So on surfactant or a formulated product?

11 Q. (By Mr. Lundy) Either one.

12 A. Up to 90 days.

13 Q. Did you participate in the due diligence
14 evaluation by Bayer of glyphosate?

15 A. No.

16 Q. You never talked to any toxicologist,
17 epidemiologist, or scientist with Bayer when they were
18 evaluating the merger or purchase of Monsanto?

19 A. No.

20 Q. And who with your department did the
21 conversations with Bayer?

22 A. I do not --

23 MR. HALL: Objection. Foundation.

24 A. I don't know.

1 Q. (By Mr. Lundy) You don't know?

2 MR. LUNDY: That's all the questions I
3 have.

4 MR. HALL: I'm going to be real brief, if
5 we all just want to sit tight.

6 THE VIDEOGRAPHER: We are going off the
7 record at 4:02 PM.

8 [Discussion off the record.]

9 THE VIDEOGRAPHER: We are back on the
10 record at 4:04 PM.

11 QUESTIONS BY MR. HALL:

12 Q. Dr. Farmer, it's late in the day. It's
13 been a long day. I'll be brief. I just want to ask
14 you a couple quick questions about Exhibit 84 that Mr.
15 Lundy asked you about.

16 And Exhibit 84 is 22 pages out of a
17 document from March of 2017 from the EPA's SAP; is that
18 right?

19 A. Yes.

20 Q. And he was asking you some questions about
21 some statements in those first 22 pages of the
22 document. Now, after March 2017 and the SAP report
23 came out, 22 pages of which are Exhibit 84, did the EPA
24 consider that SAP report?

1 A. Yes.

2 Q. Let me show you Exhibit 78, which you
3 previously identified as the December 2017 EPA OPP
4 report; is that correct?

5 A. Yes.

6 Q. All right. In that EPA report on
7 glyphosate in December 2017, did the EPA -- did the
8 OPP, the Office of Pesticides Programs at the EPA,
9 address the March 2017 SAP report that Mr. Lundy was
10 asking you about?

11 MR. LUNDY: I'm going to object. I mean,
12 this is improper. I guess you'd call it recross --

13 MR. HALL: Just -- how about just stating
14 your objection?

15 MR. LUNDY: That's what I'm doing.

16 MR. HALL: Okay.

17 Q. (By Mr. Hall) Did the EPA in that
18 December 2017 OPP report consider and address the SAP
19 report from March 2017 that Mr. Lundy asked you about?

20 A. Yes.

21 Q. And did the EPA in two thousand -- the OPP
22 in 2017 in Exhibit 78 come to a conclusion about what
23 the science showed as to glyphosate and
24 carcinogenicity?

1 MR. LUNDY: Objection. Hearsay.

2 A. Yes.

3 Q. (By Mr. Hall) And what did the EPA
4 conclude in December 2017 after having considered the
5 March 2017 SAP report?

6 MR. LUNDY: Objection. Leading and
7 hearsay.

8 A. Unlike --

9 Q. (By Mr. Hall) What did they conclude?

10 A. Unlikely to be carcinogenic.

11 MR. HALL: That's all I have. Thank you,
12 Dr. Farmer.

13 THE VIDEOGRAPHER: We are going off the
14 record at 4:07 PM.

15

16 [SIGNATURE RESERVED.]

17

18

19

20

21

22

23

24

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

C E R T I F I C A T E

I, JUDE ARNDT, a Certified Shorthand Reporter and Certified Court Reporter, do hereby certify that prior to the commencement of the examination, DONNA FARMER, PhD, was sworn by me to testify the truth, the whole truth and nothing but the truth.

I DO FURTHER CERTIFY that the foregoing is a true and accurate transcript of the proceedings as taken stenographically by and before me at the time, place and on the date hereinbefore set forth.

I DO FURTHER CERTIFY that I am neither a relative nor employee nor attorney nor counsel of any of the parties to this action, and that I am neither a relative nor employee of such attorney or counsel, and that I am not financially interested in this action.

JUDE ARNDT, CSR, RPR

CSR No. 084-004847

1

2

I, DONNA FARMER, PhD, the witness herein,

3

having read the foregoing testimony of the pages of

4

this deposition, do hereby certify it to be a true and

5

correct transcript, subject to the corrections, if any,

6

shown on the attached page.

7

8

9

10

11

DONNA FARMER, PhD

12

13

14 Sworn and subscribed to before me,

15

This _____ day of _____, 201_.

16

17

18

19

Notary Public

20

21

22

23

24

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

DEPOSITION ERRATA SHEET

Page No. _____ Line No. _____ Change to: _____

Reason for change: _____

Page No. _____ Line No. _____ Change to: _____

Reason for change: _____

Page No. _____ Line No. _____ Change to: _____

Reason for change: _____

Page No. _____ Line No. _____ Change to: _____

Reason for change: _____

Page No. _____ Line No. _____ Change to: _____

Reason for change: _____

Page No. _____ Line No. _____ Change to: _____

Reason for change: _____

SIGNATURE: _____ DATE: _____

DONNA FARMER, PhD